

FEDERAL TRADE COMMISSION

I N D E X

1

2

3

4 WITNESS: DIRECT CROSS REDIRECT RECROSS

5 Heye 3614

6 3730 3752 3794 3805

7 Wagner 3820 3864

8

9

10 EXHIBITS

11

12 NUMBER MARKED ADMITTED WITHDRAWN

13 CX

14 Number 798 3613

15 Number 1420 3730

16 Number 2158 3818

17 Number 2164 3818

18 Number 2828 3850

19 Number 2829 3853

20 Number 2832 3840

21 Number 2833 3827

22

23 DX

24 Number 29 3655

25 Number 30 3742

For The Record, Inc.
Waldorf, Maryland
(301) 870-8025

1 UNITED STATES OF AMERICA

2 FEDERAL TRADE COMMISSION

3

4 In the Matter of:)

5 Rambus, Inc.) Docket No. 9302

6 -----)

7

8

9 Friday, May 30, 2003

10

9:15 a.m.

11

12

13

TRIAL VOLUME 19

14

PART 1

15

PUBLIC RECORD

16

17

BEFORE THE HONORABLE STEPHEN J. MCGUIRE

18

Chief Administrative Law Judge

19

Federal Trade Commission

1 APPEARANCES:

2

3 ON BEHALF OF THE FEDERAL TRADE COMMISSION:

4 M. SEAN ROYALL, Attorney

5 GEOFFREY OLIVER, Attorney

6 ROBERT DAVIS, Attorney

7 Federal Trade Commission

8 601 New Jersey Avenue, N.W.

9 Washington, D.C. 20580-0000

10 (202) 326-3663

11

12 ON BEHALF OF THE RESPONDENT:

13 GREGORY P. STONE, Attorney

14 SEAN GATES, Attorney

15 Munger, Tolles & Olson LLP

16 355 South Grand Avenue, 35th Floor

17 Los Angeles, California 90071-1560

18 (213) 683-9255

19

20

21

22

23

24

25

P R O C E E D I N G S

- - - - -

1
2
3 JUDGE McGUIRE: This hearing is now in order.
4 Counsel, any housekeeping tasks we need to attend to
5 this morning?

6 MR. ROYALL: I believe Mr. Oliver may have
7 something.

8 JUDGE McGUIRE: Okay, Mr. Oliver.

9 MR. OLIVER: Your Honor, there is one exhibit I
10 omitted to move into evidence yesterday afternoon that
11 is CX-798, an email to Richard Barth from Richard Crisp.

12 JUDGE McGUIRE: Any objection?

13 MR. STONE: No, Your Honor.

14 (CX Exhibit Number 798 was admitted into
15 evidence.)

16 JUDGE McGUIRE: Anything else from either side?

17 MR. ROYALL: One other thing, Your Honor, this
18 may have been discussed yesterday, because we are trying
19 to get two witnesses on and off today from the west
20 coast, we were thinking if it would be possible to have
21 a truncated lunch break.

22 JUDGE McGUIRE: Certainly. Certainly.

23 MR. ROYALL: We can decide later, 30 minutes, 45
24 minutes.

25 JUDGE McGUIRE: That's no problem with me,

1 A. Yes, sir.

2 Q. Can you briefly explain the general nature of
3 the business of AMD?

4 A. Yes, AMD's primary business is selling
5 microprocessors into what is referred to as the x86
6 market which includes desktop PCs, mobile computers,
7 which are normally laptops, and server workstations.

8 Q. Does AMD make products other than
9 microprocessors?

10 A. Yes, it does, AMD is also involved in the flash
11 memory business and it just recently acquired a company
12 called Alchemy which makes microprocessors, that's a
13 small business.

14 Q. Is the microprocessor part of AMD's business the
15 largest aspect of AMD's business?

16 A. Yes, that's the predominant business.

17 Q. How long have you been employed by AMD?

18 A. A little over five years.

19 Q. And what is your current title or position with
20 the company?

21 A. My current title is vice president and general
22 manager of the microprocessor business unit.

23 Q. How long have you held that position?

24 A. About two months.

25 Q. And generally speaking, what are your job

1 responsibilities in that position?

2 A. The primary job responsibility is really
3 managing the business of all three segments that we had
4 mentioned earlier which were the desktop, mobile and
5 server divisions. In addition to that, I'm responsible
6 for all the platform engineering associated with
7 delivering the infrastructure to support those three
8 businesses and I'm actually responsible for the
9 engineering infrastructure for the fulfillment as well.

10 Q. And roughly how many employees report to you in
11 your current position?

12 A. A little over a thousand.

13 Q. Immediately before taking this position, and did
14 you say it was only the last few months that you've had
15 the title that you described?

16 A. Yes, sir.

17 Q. And immediately before that, what position did
18 you hold within AMD?

19 A. Vice president of platform engineering and
20 infrastructure.

21 Q. And how long did you hold that position?

22 A. Roughly two years.

23 Q. And how did your responsibilities in that
24 position differ from your current responsibilities?

25 A. My responsibility at that time was focusing just

1 Q. And with what company?

2 A. I joined Digital Equipment Corporation.

3 Q. And how long were you employed by Digital?

4 A. Approximately 11 years.

5 Q. Eleven years you said?

6 A. Eleven years, yes.

7 Q. Digital is no longer in existence today. Is
8 that right?

9 A. That's correct, it's no longer in existence. It
10 was acquired by Compaq, which was acquired by Hewlett
11 Packard.

12 Q. At the time that you worked for Digital, how
13 would you describe the basic nature of the company's
14 business?

15 A. At the time, Digital was the largest
16 manufacturer of minicomputers, in fact they developed
17 the minicomputer market. So, they were pretty much
18 number two after IBM in terms of size of computer
19 makers.

20 Q. And generally speaking, what was the nature of
21 your work for Digital?

22 A. When I joined Digital, they had just begun
23 getting into the semiconductor business, and that was
24 all in-house microprocessors, but I was on the original
25 design team making microprocessors for Digital

1 Equipment's internal minicomputers.

2 Q. You said you were with Digital for 11 years?

3 A. Yes, sir.

4 Q. And you left in the early nineties some time?

5 A. '91.

6 Q. And where did you go from there?

7 A. Apple Computer.

8 Q. To Apple?

9 A. Yes, sir.

10 Q. How long were you employed by Apple?

11 A. Six years.

12 Q. And when you left Apple, was that '97?

13 A. '97, correct.

14 Q. And was it at that time that you joined AMD?

15 A. Correct, yes.

16 Q. In the time that you were employed by Apple
17 during those six years, how would you describe the work
18 of the company or the nature of the company's business?

19 A. Apple had multiple business units, the one I was
20 most familiar with, and was involved with, was the
21 Macintosh computer.

22 Q. And what type of work did you do for Apple?

23 A. When I first joined I was hired as what was
24 called a hardware lead for developing Macintoshes. And
25 Macintosh ironically got cancelled six months after I

1 joined the company, but after that I was in charge of
2 all microdevelopment.

3 Q. Before going on to your work at AMD starting in
4 the late nineties, let's take a moment to focus on what
5 you did at Apple. You just mentioned in your last
6 answer something called Macintosh and most of us
7 probably know what that is, but just to be clear, can
8 you describe what the Macintosh product is or was?

9 A. Sure. The Macintosh was and is a PC that
10 competed with at the time it was euphemistically
11 referred to as the IBM PC market. And the Macintosh was
12 the first to have what is now known as the Windows
13 interface and it's been a relatively niche player, I
14 don't know that it ever surpassed 10 percent market
15 share, but it's always had an avid following.

16 Q. And at the time that you arrived at Apple in
17 the early 1990s, was the company already producing
18 Macintosh computers?

19 A. Yes, sir.

20 Q. I think you said that the highest market share
21 that you recall Apple having in the PC market was did
22 you say 10 percent?

23 A. I believe that to be true.

24 Q. During the six years that you spent at Apple,
25 was your work always somehow related to the Macintosh

1 product line?

2 A. Yes.

3 Q. And what responsibilities did you have with
4 respect to the Macintosh product line?

5 A. When I joined the Macintosh group, they were
6 focusing on -- they were using a microprocessor called
7 the 68,000, and pretty shortly after my arrival, Apple
8 made a strategic decision to stop using the 68,000-based
9 microprocessor and begin to use what is now referred to
10 as the PC -- Power PC microprocessor. And given my
11 background at Digital being a microprocessor designer, I
12 was one of the early members of the technical team that
13 helped define the Power PC microprocessor for Apple.

14 Q. And so, this Power PC microprocessor was at the
15 time that you arrived, it was the processor that was
16 intended to be used in future generations of the
17 Macintosh. Is that right?

18 A. That's correct, yes.

1 processor used in a Macintosh was based off an existing
2 IBM design of a 601. Then IBM and Motorola formed a
3 joint design team which was referred to as Somerset.
4 Somerset was physically in Austin, Texas.

5 The Somerset design team was tasked to generate
6 actually three microprocessors, two for the Macintosh
7 and one for a server. And the two processors that AMD
8 was interested in were called the 603 and the 604. And
9 the products that I would -- the Macintosh that I would
10 be ultimately responsible for designing initially were
11 using the 604 microprocessor, but because of my
12 background, I was one of the initial interfacers from
13 Somerset to Apple. So when they had a question about
14 trade-offs from the 603 to the 604 initially I was one
15 of the people that they turned to for resolution of
16 these questions.

17 I should also mention parenthetically that over
18 time Apple hired eight folks to physically reside in
19 Austin, Texas, and once they built that team down there,
20 I spent less and less time dealing with Somerset.

21 Q. You mentioned the two Power PC microprocessors
22 that you were involved in, 603 and 604.

23 A. Correct.

24 Q. Very generally, how did those products differ?

25 A. Sure, the 604 was targeted for the high end of

1 the Macintosh, this would be used for what we call the
2 power users, and the 603 was targeted for the value
3 segment, and that would be more for educational market
4 and low cost Macintoshes, which at the time was \$2,000,
5 which I hadn't mentioned today.

6 Q. You mentioned that you dealt with or interfaced
7 with the IBM and Motorola people at the Somerset
8 facility in Austin. Were you involved in negotiating
9 the pricing or the terms on which Apple purchased the
10 Power PC microprocessors that they manufactured?

11 A. I was not involved in the price negotiation,
12 however, there are design considerations a person makes
13 prior to the actual manufacturing of the microprocessor
14 that has direct cost ramifications. So, on the design
15 side, I was very influential. On the actual negotiating
16 the price, I was not involved in that.

17 Q. Let me ask you to elaborate on that. You said
18 that you were involved in design decisions that affected
19 cost, the cost of these microprocessors. Can you be
20 more specific about how your involvement in design
21 issues related to cost?

22 A. Sure. First off, cost for a microprocessor is
23 directly proportional to the die size, which is how
24 physically large the chip is. The bigger the chip, the
25 more expensive the chip, the smaller the chip, the

1 cheaper it is. So, what we -- discussions would always
2 be around the trade-offs of performance versus die size.
3 Because typically the more stuff you put in a
4 microprocessor, the better it performs, at the same
5 time, it would be bigger, therefore more costly. So,
6 the trade-offs involved, should I put more stuff in
7 there or should I make it cheaper and thereby take stuff
8 out. And I was involved in the stuff discussions.

9 Q. And from your standpoint representing Apple, did
10 you care about die size issues, was that important to
11 you?

12 A. Absolutely. For example, the 604, we would err
13 on the side of putting more stuff in there, at the
14 expense of cost, while on the 603 we would err on the
15 side of putting less stuff in there to make it cheaper.

16 Q. And is that because of the different market
17 segments that those two microprocessors were targeted
18 for?

19 A. Exactly.

20 Q. And the 603 was targeted more for the low end
21 market. Is that what you said?

22 A. Correct.

23 Q. From Apple's standpoint, was there any down side
24 to decreasing the die size of the Power PC
25 microprocessors?

1 A. Well, the down side would be that the 603 did
2 not have the same performance as the 604. You know, in
3 the perfect world, you want the absolute fastest
4 microprocessor for cheaper cost, although physically
5 that's not allowed to happen. So, you're constantly
6 making business decisions to differentiate do you want a
7 low cost microprocessor with less performance or a high
8 performing microprocessor with more performance. And
9 the judgment of that is what I was involved with, so
10 that's just the standard trade-offs we make.

11 Q. Are you familiar, Mr. Heye, with something
12 called the PCI Bus?

13 A. Yes, I am.

14 Q. Can you explain to us what the PCI Bus is?

15 A. The PCI Bus is the standard bus that is used to
16 interconnect components on a motherboard and it's also a
17 bus used to plug in third party peripheral cards into
18 PCs and laptops.

19 Q. Was the PCI Bus used in the Macintosh computers
20 that you were involved in developing while at Apple?

21 A. Yes. The first PCI Bus used in a Macintosh was
22 the Macintosh that I was designing.

23 Q. And were you personally involved in the decision
24 to use the PCI Bus in that version of the Macintosh?

25 A. Yes, in fact, I drove that decision within

1 Apple.

2 Q. You drove that decision, meaning what?

3 A. Meaning that the team I worked with and myself
4 determined that the PCI Bus was the right solution for
5 the Macintosh. We then presented that to our senior
6 management and got buy-in from our management to proceed
7 down the PCI Bus. Which at the time, by the way, was a
8 rapid departure for Apple.

9 Q. Now, let's talk about that just for a moment.
10 Just to be clear, I think you made mention in your
11 earlier answer, but what precisely, not too precisely,
12 but what is the role that is played or the function of
13 the PCI Bus as it was incorporated into the Macintosh
14 computer?

15 A. So, think about a PC today. So, when you buy a
16 PC today, a lot of times you may want to add, say, to
17 the hard drive, Ethernet, a whole host of possible third
18 party devices, and the question is, well, how do you
19 plug those devices in, and a lot of times that requires
20 plugging in some extra electronics, and those
21 electronics reside in a card which then plugs into the
22 PCI Bus. And so the PCI Bus is referred to as an
23 expansion bus and also which allows a manufacturer PC to
24 put in a bunch of these what are referred to as slots or
25 connectors on the PCB, which then allows the customer to

1 plug in cards.

2 And it's really important to have that, because
3 it's impossible to design a PC that can meet the needs
4 of every individual. So, there has to be some
5 flexibility in the way you configure a PC, both in
6 production and in the after market. And the PCI Bus is
7 the one we chose for future generation Macintoshes.

8 Q. And at the time that you and your team were
9 making that choice, was there an alternative bus that
10 was available to Apple to use in lieu of PCI Bus?

11 A. At the time of the PCI Bus, there was a basic
12 transition occurring in the industry --

13 JUDGE McGUIRE: Okay, we keep talking about at
14 the time of the PCI Bus, what time was this for the
15 record, and what year, what point in time are we talking

1 A. Correct, that's right.

2 Q. When you arrived at Apple in the early 1990s,
3 was there already a plan in place to use this Nubus
4 technology or a new generation of it in future
5 generations of the Macintosh?

6 A. Yes, there was a design team actually working on
7 the implementation and actually a test chip associated
8 with the future generation Nubus.

9 Q. And once you joined Apple, did you at some point
10 in time in the early 1990s develop your own views as to
11 the quality of the next generation Nubus technology?

12 A. From a technical point of view, it was a great
13 design. At that time, in the early nineties, it would
14 have far and away been the most superior next generation
15 PC interconnect bus on the market. It was better than
16 the existing PC Solutions, it was better than the
17 existing Apple solutions, and quite frankly, it was
18 technically better than the PCI Bus.

19 Q. In your mind, as you were considering decisions,
20 design decisions about future Macintoshes, did you see
21 any down sides or drawbacks to Apple using the next
22 generation Nubus technology?

23 A. The biggest drawback I saw was that, you know,
24 Apple was not a majority player in the market, and what
25 you find is that as -- if you're different from the

1 competitor and the competitor has a much, much larger
2 market share, what happens is that it is much more
3 difficult for you, the smaller share person, to get
4 the -- in this case the peripheral cards that you want
5 for your customers, because again, if I'm a card
6 manufacturer, and I have a choice in designing and the
7 manufacturing of a card, am I going to do that card for
8 90 percent of the market, or am I going to do that card
9 for the 10 percent of the market?

10 Well, business would dictate, nine times out of
11 ten you're going to do it for the 90 percent of the
12 market. And so the concern I was having that if the PCI
13 Bus was going to become the dominant industry standard
14 bus, which at that time was not clear at all, by the
15 way, but if it was going to become a dominant industry
16 standard bus, Apple would always get a competitive
17 disadvantage in our ability to get third party solutions
18 for the Macintosh.

19 Q. Now, I believe you mentioned with respect to the
20 PCI Bus that it was a standardized bus. Is that right?

21 A. Well, the PCI Bus was initially developed by
22 Intel, and then Intel formed a consortium around the PCI
23 Bus. So, Intel and nine other companies formed this
24 consortium and they specified the PCI Bus. And then
25 they made the PCI Bus open to anyone in the high-tech

1 community as long as you paid a certain fee, which I
2 don't recall what it was, and you could join the -- they
3 call it PCI SIG, which was for a special interest group.

4 Q. Was Apple a participant in the PCI Bus
5 consortium that you just mentioned?

6 A. Not at the time when we were doing the analysis.
7 And in fact, what had occurred was the following: The
8 PCI Bus consortium that was already founded and they
9 were pretty much closing the specification of the PCI
10 Bus. At that moment in time, that's when Apple decided
11 that we really wanted to get involved in the PCI Bus.
12 Our concern was that we wanted to be involved in the
13 inner sanctum, you know, those select eight companies
14 plus Intel. And the way the bylaws were written was
15 that you had to get voted into this inner sanctum and
16 the votes happened on an annual basis in5 ns nthe way the

1 thinking about, we wanted to know about. That was very
2 important to Apple that we understood every possible
3 direction or nuance that PCI Bus may have in the future.

4 Q. And let me ask you, on that point, why was it
5 important to Apple to have that role or that involvement
6 in the PCI Bus consortium?

7 A. Because at the time Apple designed all of its
8 chipsets for its Macintosh internally. So, even though
9 you don't think of Apple as a silicon -- as a chip
10 designer company, the reality was in the early nineties,
11 every piece of custom silicon in that Macintosh were
12 designed by Apple engineers. And so when you design
13 silicon, you have got to have that information fairly
14 early, as silicon has a long lead time.

15 Q. You mentioned earlier that you drove the
16 decision ultimately to go with the PCI Bus over the
17 proprietary Nubus technology in future Macintoshes.

18 A. Correct.

19 Q. Did you have to get management approval for that
20 decision?

21 A. Yes, I did.

22 Q. And were you able to get management approval for
23 that decision?

24 A. Yes, I was. It was actually contingent on me
25 getting on the PCI executive committee, which I did.

1 Q. Was it at all a controversial decision within
2 Apple?

3 A. Very.

4 Q. Why is that?

5 A. Because it was -- that was one of the first
6 times that Apple conceded being the absolute best versus
7 industry standard. Because they really did have a
8 superior solution, and the engineering people felt, you
9 know, this PCI Bus isn't as good as we're designing, so
10 why would you ever take something that's not as good and
11 bring it to market? And the counterargument is what I
12 just said, which is at the end of the day, being
13 different in a commodity market is a bad thing, and you
14 will actually lose your competitive advantage over time.
15 And so it was more important to be a standard and try to
16 influence the standard as opposed to being different and
17 better.

18 Q. So, would it be fair to say that it was your
19 judgment at the time that it was in Apple's business
20 interest to go with the industry standard over a
21 proprietary technology, even though that proprietary
22 technology was deemed to be superior?

23 A. Yes.

24 Q. And you mentioned commodities.

25 A. Yes.

1 Q. How does the -- does the issue of commodities
2 come into play in your thinking or how did it come into
3 play in your thinking in that time period?

4 A. Well, commodities in particular in this instance
5 might have been a misnomer, but what I'm trying to say
6 is when you look at the entire industry that develops
7 third party cards for PCI Bus, they would take offense
8 to me calling them commodities, but if you look at it
9 from a global point of view, these folks are developing
10 solutions for the mass market, and they're going to be
11 very focused on cost. And market share. And to the
12 extent that's what they're focusing on, they're always
13 going to defer to the higher volume segment before they
14 go to the low volume segment. And in which case, which
15 is a similar characteristic of the commodity market, by
16 the way. Which is why I use the word commodity in terms
17 of defining the PCI cards.

18 Q. During your tenure at Apple, in the mid-1990s,
19 again, I think you said was it '91 to '97?

20 A. Correct.

21 Q. That you were there. During that time period,
22 to your knowledge, did Apple participate in any other
23 standard-setting activities besides the PCI consortium?

24 A. Lots. You know, I couldn't even begin to
25 remember what they all were. I mean, certainly we had

1 members in the JEDEC committee, there were groups --
2 there was a whole set of committees on mobile computing,
3 which I know nothing about, but I know we were involved
4 in the standards there. You know, in the high-tech
5 world, there are standards, you can't turn around
6 without there being a standard-setting body, but I think
7 there were a number of people involved in the IEEE
8 standard body, for example. So we had people in the
9 IEEE, we had folks in JEDEC, and I'm sure there are
10 dozens, quite literally, special interest groups in
11 different subsegments of the PC mobile market that we
12 were involved with.

13 Q. With respect to JEDEC, do you know what aspects
14 of JEDEC's work Apple participated in during the time
15 frame that you were involved with the company?

16 A. Well, certainly we had a member of our -- a
17 member of Apple was involved in the memory JEDEC
18 committee.

19 Q. The memory committee?

20 A. Yeah.

21 Q. Do you have an understanding as to why Apple
22 chose to send a representative to the JEDEC memory
23 committee?

24 A. Yes. And again, a quick background. It's hard
25 to believe right now, but in the early nineties, Apple

1 was the largest consumer of semiconductors in the world
2 outside of IBM. Because at that time, Macintosh was
3 actually from an individual SKU point of view were some
4 of the highest selling individuals in the world. So,

1 reduced memory technology is going to become available.
2 It's important for us to understand when the next
3 generation of new memory is going to be available.

4 Memory comes, each one may have the same number
5 of bits, sometimes they come by form factors, by 2s, by
6 4s, by 8s, and each memory vendor would have slightly
7 different roadmaps. And again, what was important was
8 we had a -- we being Apple, this wasn't so much my job,
9 but we being Apple, from a supply-based management point
10 of view, had to make sure that whatever was needed by
11 Macintosh, that all those memory vendors could supply
12 our needs in the time frame of importance.

13 Q. To your knowledge, was Apple's -- did Apple have

warTjT*was

1 here? I'm losing track and I don't want him simply
2 testifying when I'm not sure what the question is.

3 BY MR. ROYALL:

4 Q. The question, again, was to your knowledge, did
5 Apple participate in JEDEC's memory committee with --

6 JUDGE MCGUIRE: Okay, I think he's answered
7 that. Let's go on to the next question. I mean, I'm
8 not trying to cut you off, Mr. Royall, but I don't want
9 to hear, you know, five-minute answers. I want you to
10 ask him your next question and then perhaps you can
11 still go where you're trying to go.

12 MR. ROYALL: I understand, Your Honor, I just
13 interrupted him to just make clear, he referred to the
14 person who was involved in JEDEC and I wanted to get the
15 name out.

16 JUDGE MCGUIRE: All right, that's fine. All
17 right, go ahead.

18 BY MR. ROYALL:

19 Q. Do you want to complete that answer now that
20 you've identified the name of Mr. Pierson?

21 A. So, Mike had two jobs. One was to work with the
22 supply-based community to make sure that they could
23 procure the parts at the best possible prices and he
24 also would feed information to the engineer so when we
25 designed the next generation chipsets, we would want to

1 know which memory technology we should design our parts
2 for.

3 Q. Now, in your role at Apple, you personally, did
4 you become involved in issues relating to the evaluation
5 and selection of what type of computer memory to use in
6 the Macintosh?

7 A. Yes.

8 Q. Can you explain how you became involved in those
9 types of issues?

10 A. So that, again, my team was responsible for

1 Q. Before designing the chipsets for the Macintosh
2 computers, was it necessary to make a decision of what
3 type of memory to use in those Macintosh computers?

4 A. Yes, you had, in other words, memory design --
5 you had to know what memory design to design those chips
6 for.

7 Q. And if you recall, what type of memory did Apple
8 select for the Macintosh computers that you were
9 involved in designing?

10 A. It was asynch DRAM, I don't remember the exact
11 frequencies.

12 Q. To your knowledge, did Apple during the time
13 period that you were with the company develop its own
14 proprietary memory products for the Macintosh?

15 A. Never.

16 Q. Do you have an understanding as to why Apple did
17 not consider that?

18 A. Even Apple, which would like to design
19 everything themselves, knew that there were certain
20 commodities you just couldn't influence or try to
21 change. So, Apple had no interest in changing the
22 memory standards. You know, our value -- the Apple
23 value-added had nothing to do with memory, so we stuck
24 to the value-added areas that we understood best, which
25 were, you know, user interfaces and hardware.

1 Q. Were you involved while at Apple in decisions of
2 which memory supplier or suppliers to do business with?

3 A. Well, Apple was so big at the time, we had to
4 have a minimum of six suppliers for any given memory
5 technology.

6 Q. And why was that?

7 A. Again, availability is very, very important and
8 when you have a commodity like memory, you know, if you
9 don't get the memory, you can't chip your Mac, you can't
10 chip your Macintosh, you're out of business. And
11 because the volume of memory is so great, Apple thought
12 it was very, very important to have multiple suppliers.
13 Again, the DRAM industry is very cyclical, so there are
14 years when we have tremendous supply glut where you
15 can't give it away and there's some years when there's a
16 supply trough, and when there's a trough, you have to
17 make sure you have enough suppliers.

18 Q. Let's return to your work at AMD, and I think
19 you said you began working for AMD in 1997. Is that
20 correct?

21 A. That's correct.

22 Q. And you also mentioned earlier that the primary
23 business of AMD is the microprocessor business. Just to
24 be clear, can you give a short explanation of what a
25 microprocessor is?

1 A. The best way to think of a microprocessor is the
2 brains of the computer, so when you want to think about
3 how fast you can add, subtract, multiple, divide, that's
4 done by the microprocessor. When people talk about
5 graphic images and watching things spin around and move
6 quickly, a lot of that is all done by a microprocessor.
7 It's just the brains.

8 Q. What types of products are AMD's microprocessors
9 used in?

10 A. Again, they're used in desktops, and in mobile
11 computers, and within the last two or three years, Apple
12 has been involved in the server market.

13 Q. Who are AMD's principal customers?

14 A. AMD sells to every major OEM with the exception
15 of Dell, and we have a large what's called white box
16 market, which is the -- these are guys who sell one,
17 two, three, four, five, six computers and they buy all
18 their microprocessors through distribution channels.

19 Q. Roughly speaking, what portion of your customer
20 base is reflected by the PC, the OEM manufacturers as
21 opposed to the white box manufacturers?

22 A. At this moment in time, about 30 percent of our
23 business is in the white box market.

24 Q. Who does AMD compete with in the sale of
25 microprocessors?

1 A. Intel.

2 Q. Other than AMD and Intel, are there any other
3 firms that have a material presence or share in that
4 marketplace?

5 A. Not a material, there are a few companies, but
6 they're less than one percent share.

7 Q. Less than one percent?

8 A. Yeah.

9 Q. Do you have a rough estimate as of today of
10 Intel's and AMD's respective shares in the
11 microprocessor business?

12 A. We're roughly 20 percent of the desktop
13 business, and about 10 percent of the mobile business.
14 So our average share of all microprocessors sold is
15 probably about 17 percent.

16 Q. In case you didn't make it clear earlier, when
17 you refer to mobile, is that in part in reference to
18 laptop computers?

19 A. Yes, everybody on that -- on these two tables
20 have laptops, those are considered mobile computers,
21 yes.

22 Q. Do you know how the market share splits between
23 AMD and Intel have varied over, say, the past four or
24 five years?

25 A. In the last -- since I've been at Apple, our

1 desktop market share has been pretty consistently
2 increasing, although it's like the stock market, we have
3 good quarters and bad quarters. For example, we're
4 about 19 percent share right now, we've been as high as
5 23, but when I started we were like 14 percent. So,
6 we've been on the desktop pretty much over time
7 increasing share on Intel. And in the mobile work,
8 pretty much flat, we go up or down plus or minus five
9 percent in any given quarter.

1 AMD microprocessors that you've been involved in in the
2 years that you've been at AMD. What was the first
3 generation microprocessor that you had some involvement
4 with?

5 A. The K-6.

6 Q. When you joined AMD in 1997, was the K-6 in
7 development?

8 A. Actually it just started production.

9 Q. So, the development was already complete?

10 A. Yes, sir.

11 Q. And do you know when the development on the K-6
12 started?

13 A. The short answer is we acquired the K-6, so it
14 really wasn't developed by AMD, there was a start-up in
15 the Valley called NexGen and AMD acquired NexGen, and so
16 it was done by acquisition. And I'm not sure quite
17 frankly how long the K-6 was in development with NexGen.

18 Q. Have you heard the term "launch" in connection
19 with microprocessors?

20 A. Yes.

21 Q. What does that term mean to you?

22 A. Launch is when you first start selling your
23 microprocessors into the public.

24 Q. Into the marketplace?

25 A. Into the marketplace, yeah.

1 Q. Do you know when the K-6 product was launched?
2 You may have already said this already.

3 A. April '97.

4 Q. '97. And are K-6 microprocessors still being
5 sold today?

6 A. No.

7 Q. Do you know ballpark when they ceased to be in
8 the marketplace?

9 A. 2000, say 2000, 2001.

10 Q. And did the K-6, AMD's K-6 microprocessor, when
11 it was being marketed and sold, did it compete with any
12 particular counterpart products from Intel?

13 A. Yes, the Pentium II and then the Pentium III.

14 Q. Now, when you joined AMD in 1997, was there any
15 development work being done on another generation of
16 microprocessors beyond the K-6?

17 A. Yes, the K-7.

18 Q. The K-7. Is K-7 known by any other name?

19 A. Yes, in the marketplace it's known as Athlon and
20 Duron.

21 Q. Duron, is that D U R O N?

22 A. Correct.

23 Q. Do you know when the development of the K-7
24 began?

25 A. '95-'96 time frame.

1 Q. And do you know when the development of the K-7
2 product was completed?

3 A. '99.

4 Q. When, if you know, was the K-7 -- AMD's K-7
5 microprocessor launched in terms of being sold in the
6 marketplace?

7 A. It was sold in '99, in the fall.

8 Q. And are K-7 microprocessors still being produced
9 and sold today?

10 A. Yes, sir.

11 Q. And in terms of competition from Intel, are
12 there any particular Intel microprocessors that the K-7
13 competes with or has competed with during its life
14 cycle?

15 A. Pentium III and then the Pentium IV.

16 Q. Now, you mentioned that there were three
17 different generations of AMD microprocessors that you've
18 had some involvement in. What is the third generation?

19 A. K-8.

20 Q. And is the K-8 microprocessor in development
21 today?

22 A. Actually, the K-8 is shipping today. The
23 version of K-8 for the server market is referred to as
24 Opteron and that was launched in April of this year.

25 Q. Opteron is O P T E R O N?

1 A. Correct.

2 Q. And you said it was shipped in?

3 A. April of this year, 2003.

4 Q. And do you know during what time period the K-8
5 microprocessor was under development?

6 A. '98 to 2003.

7 Q. And understanding that this is just beginning to
8 come onto the market, but do you have an understanding
9 as to whether there's any particular Intel-based
10 microprocessor that the K-8 will compete with?

11 A. Yes, it competes with Xeon, X E O N. And
12 Itanium.

13 Q. Itanium?

14 A. Yes.

15 Q. I T A N I U M?

16 A. Yes. There's also a desktop version of K-8, but
17 that has not been announced yet. We publicly stated
18 that it will ship in September, and that will compete
19 against Pentium IV.

20 Q. So, the desktop version of K-8 is something that
21 will be launched some time later this year?

22 A. Correct.

23 Q. Does AMD, to your knowledge, have development
24 work ongoing at the present time relating to any future
25 generations of microprocessors?

1 few extra years and in all actuality they're not out of
2 the marketplace, although they may be selling a few
3 hundred thousand, which is a pretty small number.

4 Q. Over the product life of an AMD microprocessor,
5 does the company -- well, let's take the K-7, for
6 instance.

7 A. Um-hmm.

8 Q. Does the company do anything to enhance
9 performance or to upgrade the product over the period of
10 years that it's out in the marketplace?

11 A. Absolutely. The way to think about it, we have
12 actually two sort of independent efforts. The first
13 effort is we continually improve the technology of our
14 manufacturing process. And what that enables you to do
15 is with the same design, you're able to increase the
16 performance of your microprocessor. So, if you look at
17 both AMD and Intel, for example, we sell Athlon, Intel
18 sells Pentium IV, every quarter we see that they have
19 higher and higher performance. One of the ways you get
20 that is by improving your manufacturing technology.

21 The other way of doing that is we actually make
22 modifications to the design which, again, allows us to
23 tune the design to better enable higher performing
24 parts.

25 Q. Are you familiar with the term "system" as it's

1 used in reference to microprocessors?

2 A. Yes.

3 Q. What does that term mean to you?

4 A. So, again, to me a system is what the end
5 customer buys. So, for example, the PC you buy at the
6 store or the laptops that, you know, folks have on their
7 desk right now, those are end systems.

8 Q. And does AMD during the life cycle of a given
9 microprocessor do anything to update or enhance the
10 system that goes along with the microprocessor?

11 A. Absolutely. So, what is common practice in the
12 industry for both AMD and Intel is that we are
13 constantly working -- in AMD's case with our partners --
14 to develop new technologies that go into the system that
15 enable the overall performance of the system to improve.
16 And that can take its form in terms of different I/O
17 devices, take the form of faster memory, faster front
18 side buses. There's a whole bunch of different changes
19 that you make to assist the microprocessor to perform
20 better.

21 Q. And what is it that drives or motivates AMD to
22 make those types of enhancements or improvements in a
23 microprocessor system over time?

24 A. The best way to describe it is by analogy.
25 Another way to look at the microprocessor, if that's

1 your high performance of the car, and as you continue to

1 Q. Did -- well, strike that.

2 And the K-7 microprocessor, from what you've
3 told us earlier, was under development at the time you
4 joined the company.

5 A. That's correct.

6 Q. Is that right?

7 A. Yes.

8 Q. Did the K-7 microprocessor differ in any
9 material respect from the earlier generation, the K-6?

10 A. It differed in a lot of different areas. The
11 one area that was of most concern to AMD, and the reason
12 I was hired, was that the K-7 did not have the same
13 front side bus as Intel. And the implication of that is
14 as follows: When I take a K-6, I could have walked in
15 any store and had an Intel motherboard or I should
16 phrase it a motherboard that can be used by any Intel
17 processors, I can plug my K-6 into any of those boards
18 into anyplace in the world and it would just work.

19 On K-7, I couldn't do that. So, K-7 was the
20 first microprocessor that was not pin compatible with an
21 Intel-existing microprocessor. So, all that
22 infrastructure and all those companies designing stuff
23 for Intel-based motherboards, which AMD was leveraging
24 in the K-6 days, went away. And we had to generate our
25 own for K-7.

1 Q. And do you have an understanding as to why in
2 the mid to late 1990s AMD made a decision to develop a
3 new generation of microprocessors, namely the K-7, that
4 did not have capability with the Intel infrastructure?

5 A. Yeah. It actually came from a legal document.
6 AMD and Intel were negotiating a cross license patent
7 agreement, and the implication was that AMD was no
8 longer allowed to use Intel front side bus on its future
9 generations of microprocessors. So, we were actually
10 contractually obligated not to use an Intel bus.

11 Q. And I believe you said that when you were hired
12 by AMD in 1997, you were hired to put in place an
13 infrastructure to support the K-7 microprocessor. Is
14 that right?

15 A. That's correct.

16 Q. And is that an infrastructure that did not
17 already exist?

18 A. Did not exist.

19 Q. Just to make sure we're very clear, what we're

1 Q. And would it be helpful to use the easel?

2 A. It would be great. If I may.

3 MR. ROYALL: Your Honor?

4 JUDGE McGUIRE: All right, go ahead, Mr. Heye.
5 Do you want to mark that as DX-29, just for the record.

6 MR. ROYALL: Yes, Your Honor, that's where we
7 are?

8 JUDGE McGUIRE: All right, thank you, Mr.
9 Royall.

10 (DX Exhibit Number 29 was marked for
11 identification.)

12 THE WITNESS: Let's start with the
13 microprocessor. So, initially we have a microprocessor
14 and a microprocessor talks in what's called the front
15 side bus. And a bus, by the way, is just a collection
16 of wires that's used to communicate to other components
17 in the PC. So, if the microprocessor is the brains, the
18 chipset is the central nervous system. And there are
19 two -- and we say it's in chipsets because there are two
20 chips associated with it. And these chips have always
21 been called euphemistically the north bridge and the
22 south bridge.

23 The north bridge has fe eao 31a y 8ycitie

1 talks to the microprocessor on the front side bus. The
2 north bridge talks to memory. The north bridge talks to
3 graphics. And it turns out the way it talks to graphics
4 is there's also another industry standard bus called
5 AGP, it stands for advanced graphics port. And north
6 bridge also talks to the south bridge, and the way it
7 talks to the south bridge is by our friend the PCI Bus.
8 So, the PCI Bus is actually an industry standard in
9 every PC and every laptop you all have has the PCI Bus
10 in it. So, the north bridge talks to the south bridge.

11 Now, the south bridge talks to all the
12 components that everybody here is familiar with on their
13 PC today. So, it talks to the keyboard, it talks to the
14 mouse, most of you have what's called USB ports on your
15 PCs now. If you have a digital camera, you plug it into
16 you USB.

17 The other thing is it has this thing that's
18 called the IDE bus, and I can't remember what that

1 is stored on non-volatile memory. Real briefly, though,
2 all that really means is the following: Here's what
3 it's trying to solve. When the computer is turned off,
4 all the memory is empty. There's nothing there. You
5 turn on the computer, the microprocessor wakes up, and
6 the poor guy goes, what am I supposed to do, who do I
7 talk to.

8 Well, it turns out the microprocessor goes to
9 north bridge, north bridge goes to the south bridge, the
10 south bridge goes to the BIOS, the BIOS then sends
11 information to the microprocessor, it gives the
12 microprocessor enough information to now go like this to
13 the hard drive, the hard drive -- it tells the hard
14 drive, take the operating system, put it into memory and
15 then you're running.

16 It's like when you turn on your PC you see all
17 those weird sentences come up in the beginning, that's
18 the BIOS and then that flashes away and you see the OS
19 logo, and the transition is you're talking to BIOS which
20 is talking to the hard drive.

21 Q. Is all of what you have drawn on this exhibit,
22 which we will mark for identification as DX-30, does
23 that sit on something?

24 A. That sits on a piece of fiberglass, and that
25 fiberglass is called the printed circuitboard, in fact I

1 brought one with me, I can show you in a minute, if you
2 like, but it's just on a printed circuitboard and that
3 printed circuitboard is euphemistically called a
4 motherboard. So, every PC has a motherboard. And let
5 me draw out two little things to make you aware. I'll
6 just make it two little blobs, there's two things that
7 you have to feed the microprocessor with, one is
8 voltage, and it turns out there's a special set of
9 circuitry called VRMs, which are voltage regulator
10 modules, and clocks. Believe it or not, microprocessors
11 have to have a clock. And VRMs and clock chips are
12 microprocessor-specific. So, again, when I'm doing
13 Intel compatible, I can leverage these two, when I do my
14 own microprocessor, I have to develop my own clock chip
15 and VRM.

16 So, all this right here makes up the
17 motherboard. So, when you -- when AMD said, I no longer
18 can use the same front side bus, right here, as Intel,
19 the first thing you have to do is say, I better find me
20 a new chipset, because there's not a single chipset in
21 the world that can talk to my microprocessor. So, the
22 first thing you have to do is somehow get the chipsets.

23 Q. Let me, if you don't mind, just stop you there.
24 You mentioned that you brought some equipment with you.

25 A. Yes, sir.

1 Q. Do you have an actual physical motherboard that
2 corresponds with this?

3 A. Yes.

4 Q. Could you just quickly show us that?

5 A. Yes, sir.

6 MR. STONE: I thought I was supposed to get my
7 copy.

8 THE WITNESS: I beg your pardon?

9 MR. STONE: Yeah, I can take it home, put a case
10 around it and I have my computer.

11 MR. ROYALL: Well, this may be more antiquated
12 technology, I'm not sure you want to do that.

13 JUDGE MCGUIRE: What's your memory at home, by
14 the way?

15 THE WITNESS: I'll tell you, I'll swap, you give
16 me your laptop, I'll give you this beautiful thing.

17 BY MR. ROYALL:

18 Q. If you can just hold that up, just so we can see
19 and you can explain to the judge how the physical
20 motherboard you have in your hand corresponds with the
21 diagram that you drew.

22 JUDGE MCGUIRE: Can we come up here and Mr.
23 Stone, feel free, if you want to, so I can get a better
24 view of it.

25 THE WITNESS: Sure. So, this socket --

1 actually, could you hand me those two black boxes,
2 please.

3 MR. ROYALL: Sure.

4 THE WITNESS: Bring me the cards, too. So, the
5 first thing is, this socket right here, the
6 microprocessor socket, and this is the microprocessor.
7 So, again, in that diagram, it's where you plug in the
8 microprocessor goes there. That's the north bridge,
9 that's the south bridge. So, the north bridge has those
10 four heads. So, first, you see those little wires?

11 JUDGE McGUIRE: Yes.

12 THE WITNESS: Those wires talk to the north
13 bridge, that's the front side bus. These are memory
14 DIMMs, so again, my memory is in here. So, that's my
15 memory, so I have to plug my memory in here. This right
16 here is the graphics port, this is the graphics card
17 right here, so I plug my graphics card like so. Anyhow,
18 you plug your graphics card in there. So, that's the
19 AGP port. There's even more wires that go from here to
20 these slots, that's the PCI Bus. And then right here is
21 your south bridge and the south bridge talks all sorts
22 of stuff, so that little thing right there is the BIOS,
23 and believe it or not, there's a whole bunch of wires
24 that snake itself around and talk to these connectors.

25 Just for clarity, this is your voltage regulator

1 and I believe that's the clock chip. So, that's the
2 whole physical thing. And so, what at the end of the
3 day, I have to generate one motherboard for every
4 microprocessor we sell, but knowing that when you say a
5 motherboard, you're really talking about a whole family
6 of components that go into the motherboard.

7 JUDGE McGUIRE: All right, thank you.

8 MR. ROYALL: Now, if you can hold onto these,
9 and you can take your seat, Mr. Heye. Your Honor, we
10 can deal with this later, but Mr. Heye said that he's
11 happy to leave these, they can be marked as
12 demonstratives at a later point.

13 JUDGE McGUIRE: Okay, fine.

14 BY MR. ROYALL:

15 Q. Thank you for that explanation, Mr. Heye, and
16 going back to my earlier questions, in your initial role
17 when you joined AMD, you said it was to create an
18 infrastructure for the K-7 microprocessor.

19 A. That's correct.

20 Q. And very briefly, how does what you have drawn
21 on the easel relate to the infrastructure that you
22 described earlier?

23 A. So, again, my job is to make sure that that
24 whole easel accepts the microprocessor that's available,
25 so when we started selling microprocessors, all that

1 other stuff was the marketplace. So, if one of those
2 components wasn't in there, you can't sell the
3 motherboard; if you can't sell the motherboard, you
4 can't sell your microprocessor.

5 Q. Of these various components that you have
6 described, motherboards and various other things, how
7 much of that in terms of the physical equipment does AMD
8 itself manufacture?

9 A. Only the microprocessor, and let me -- I should
10 be a little clearer on that. AMD at times is involved
11 in the chipset business. So, we have made our own north
12 and south bridges. In fact, when we first launched
13 Athlon, we actually, AMD manufactured what is designed
14 and manufactured as north and south bridge. However,
15 today, for example, every motherboard that is sold for
16 an AMD microprocessor has a third party chipset on it.
17 We are not in the chipset business for Athlon today.

18 Q. If AMD is not, other than the microprocessor, is
19 not actually manufacturing these various components,
20 does that mean that AMD goes out and purchases these
21 things?

22 A. We don't buy any of those components. What we
23 have to do is we establish an industry-wide business
24 model with many, many partners, and those partners based
25 on the business model would go off and design north

1 bridges, BIOSes, motherboards, clock chips, VRMs, and we
2 call it the -- it's a virtual system.

3 Q. And what do you mean by the term "virtual
4 system?"

5 A. Well, for example, Intel does its own north
6 bridge, it does its own south bridge, it does its own
7 motherboards. So, Intel is not virtual, they're
8 physical.

9 Q. So, you're saying that unlike AMD, Intel not
10 only makes microprocessors, but it makes the north
11 bridge and south bridge which together make up the
12 chipset?

13 A. Um-hmm.

14 Q. And it makes the motherboard itself?

15 A. That's right.

16 Q. Okay.

17 A. And we made a strategic decision that AMD would
18 not do that. Primarily AMD's value-add in the industry,
19 where we have all the smart engineers and we have
20 tremendous, tremendous microprocessor design teams. And
21 that's our value-added. You know, our value-added is
22 not building or procuring or subcontracting out, you
23 know, motherboard manufacturing factories. We made a
24 determination that quite frankly Taiwan does a better
25 job than Intel in manufacturing motherboards. We, in

1 fact, made a determination that third party chipset guys
2 are better than AMD and in some areas better than Intel
3 in doing chipsets. So, if we can work with them as
4 partners, we believe that ultimately we have a lower
5 cost, and the original infrastructure. The risk being,
6 of course, that you really have to have good
7 partnerships with all of these folks, because any one of
8 them can cause you trouble if they don't support you.

9 Q. So, to make sure we understand, when you say
10 that you developed, referring to the K-7 for the moment,
11 the infrastructure for the K-7, by that do you mean that
12 you developed through business relationships with other
13 companies a virtual system that would allow you along
14 with your partners to deliver a motherboard with all of
15 these features to the companies that are your customers,
16 the computer manufacturers?

17 A. Yes, sir.

18 Q. Well, can you walk us through what was involved
19 in that process of putting together that virtual system
20 or that inventory for the K-7?

21 A. Sure. Well, again, like I said, the first step
22 is get the chipsets. So, AMD's chipset business was --
23 had the following strategy: AMD would develop chipsets
24 for technology that did not currently exist in the PC
25 marketplace. So, for example, the K-7 front side bus

1 did not exist.

2 Q. That's this here?

3 A. Yes, FSB.

4 Q. And what you said earlier the K-7 by contrast to
5 the K-6 in earlier generations, this front side bus was
6 no longer compatible with the Intel infrastructure?

7 A. That's correct.

8 Q. So, you had to develop a new front side bus
9 for --

10 A. We developed a new front side bus for our
11 microprocessor, and then we had to make sure that there
12 was a family of chipsets to work with that front side
13 bus.

14 Q. Okay, and can I ask you before -- was there
15 anything other than developing the front-side bus that
16 AMD had to do in terms of building this infrastructure,
17 is there anything else it had to do before developing
18 the chipset?

0se ife-bd.develld

1 MADAM REPORTER: Please slow down.

2 THE WITNESS: I'm sorry, the 8X is really fast.
3 So, what happens is there are different frequencies of
4 the AGP bus, so you have to determine when you do the
5 north bridge, which of these AGP buses to develop.

6 BY MR. ROYALL:

7 Q. Other than the front side bus and the AGP bus,
8 is there any other piece of the equation that you have
9 to figure out before you are able to develop the chipset
10 portion of the infrastructure?

11 A. The next is memory.

12 Q. And can you explain why you have to develop
13 issues relating to memory before you develop the
14 chipsets?

15 A. You always want to make sure you're riding the
16 commodity curve. You don't want to be different from
17 what I call the Intel-based systems. So, for example,
18 in the time frame that we did that north bridge, there
19 was a transition going on. As I said, Apple was
20 designing for asynch memory. There was a transition
21 going that they called synchronous memory, synchronous
22 DRAM, and in the industry it's what is known as PC-100.
23 So, our original north bridge was designed to work with
24 PC-100 memory.

25 Q. Is there a period of time before a new

1 microprocessor is launched that you need to resolve
2 issues about what type of memory to use in the
3 infrastructure supporting that microprocessor?

4 A. There are. It's what I would call second order
5 of effect, reason being the microprocessor doesn't talk
6 memory, but there are some characteristics of memory
7 that you would kind of like to know about if you're the
8 microprocessor, and at the time we designed K-7, the
9 thinking was, quite frankly, that K-7 would go from
10 asynchronous DRAM to actually DDR, and so the block size
11 of the transfers between the north bridge and the
12 microprocessor took into account what we thought the
13 actual block size would be for asynchronous DRAM and
14 DDR.

15 Q. Once you made decisions about these features of
16 the infrastructure, or the front side bus, the graphics
17 or AGP bus, and memory, what is the next step in terms
18 of building out and completing a microprocessor
19 infrastructure or system?

20 A. Well, so once you have the north bridge -- once
21 you have your chipset worked out, you have to work with
22 the BIOS vendors to make sure that they will -- that
23 their software will work with that chipset. You have to
24 work with the clock chip vendors and the voltage
25 regulator vendors to make sure that their chips will

1 work with the microprocessors. But the next really big
2 step is going to the motherboard vendors and saying,
3 hey, why don't you guys build a motherboard that works
4 with the AMD microprocessor, even though historically
5 you've never done that before, we think it would be a
6 great idea if you started. And so that was the next big
7 hurdle to overcome was getting motherboards.

8 Q. And when you say the next hurdle to overcome,
9 are you referring to your actual experience in
10 developing the K-7 infrastructure?

11 A. Absolutely. Again, the difficulty is you go to
12 Taiwan, these guys have been making motherboards that
13 work with both AMD and Intel microprocessors, because at
14 the time they were the same from their point of view,
15 because their motherboards were really either vendors,
16 and that represented 100 percent share of the PC market.
17 And now here comes -- here I come and saying, hey, AMD
18 has 10 percent share, or 14 percent share, some number,
19 but something a lot less than Intel's, and we say we
20 want you to design a motherboard just for that business.
21 And there's still millions and millions there, there's
22 still a business proposition there. That was my job to
23 actually go to Taiwan and convince them to do that. And
24 there was a lot of concerns in Taiwan. We've never done
25 a chipset before. We had never had our own

1 infrastructure before, and it took a lot of control and
2 work on AMD's part and actually a lot on the
3 motherboard's part, because they were encouraged by our
4 competitor not to support AMD and to make motherboards
5 for us.

6 Q. So, were the motherboard manufacturers part of
7 this group of business partners that you mentioned that
8 along with AMD built the virtual system for the K-7?

9 A. Yes, sir.

10 Q. On the memory side, were there other companies
11 that you dealt with that were business partners in
12 building that infrastructure?

13 A. Well, actually, we did a similar thing with like
14 Apple did, we talked to other memory vendors to find out
15 what their roadmaps were, and again, you know, I have a
16 person who reports to me and his job is to keep track of
17 all the memory roadmaps, and so he would -- he was
18 actually the person who told our design team, design for
19 the PC-100 because that's going to be the dominant
20 standard at the time of launch.

21 Q. How many different memory manufacturers did you
22 deal with in building the infrastructure for the K-7, if
23 you recall?

24 A. Roughly six.

25 Q. Did you deal separately with any memory module

1 manufacturers?

2 A. Oh, yeah. We -- I don't know how many, but
3 there's -- there are dozens of memory module guys. If I
4 show you, what you plug into your PC isn't chips, you
5 plug in this little what's called a dim, and every

1 motherboard, it actually goes through a box, that box
2 changes the wall voltage into voltage that operates the
3 electronics on the motherboard. So, you have to make
4 sure all that stuff is available.

5 The good news is, you know, hard drives, CDs,
6 DVDs, we didn't work with those guys because that's an
7 industry standard up and down the line, so we didn't
8 talk to them because that would just work with our boxes
9 and Intel boxes.

10 Q. In terms of the third party companies that you
11 did work with in building this infrastructure, do you
12 have a ballpark as to how many different business
13 partners AMD had in that process?

14 A. Yeah, currently right now, we have roughly about
15 100 partners that are involved in the hardware side.
16 We've got another 100 partners on the software side, but
17 that's a different discussion. But the topic that we're

1 the actual process in terms of design through completion
2 of a physical motherboard of the sort that you talked
3 about? Were there steps that were involved in designing
4 through completion of motherboards that could then be
5 used in the marketplace?

6 A. So, step one is you define your chipset. Once
7 you define your chipset, then you in parallel talk to
8 the motherboard vendors to get them to design the
9 motherboard to use your chipset, and you start
10 talking -- actually to the BIOS vendors to writing
11 software to work with that chipset.

12 So, it's a lot of parallel efforts, but the real
13 sequence of events, the critical path of events is to
14 find the chipset, get the motherboard guy, develop the
15 chipset, manufacture the chipset, put the chipset on the
16 motherboard, debug the motherboard, get the motherboard
17 to market and now you've got a product.

18 Q. And did you have a team of people working with
19 you within AMD in the course of building that
20 infrastructure in terms developing that infrastructure?

21 A. Yeah, I had a team and I had to essentially
22 build up the team.

23 Q. How large was the team?

24 A. When I started it was about 100 and now it's
25 about 500.

1 Q. And how long did it take you and your team to
2 develop the infrastructure to support the K-7
3 microprocessor?

4 A. About two years.

5 Q. You mentioned in an earlier answer the word
6 "debug."

7 A. Yes, sir.

8 Q. Can you explain what that term refers to?

9 A. It's pretty rare that the first time you design
10 and then manufacture a complicated piece of silicon,
11 like a microprocessor, like a north bridge or south
12 bridge, that it works perfectly the first time. Odds
13 are against it. So, what happens is you build your
14 motherboard, you manufacture your silicon, you put it
15 all together, you turn it on, and in all likelihood it
16 won't work the way you expect it to work. So then you
17 have to figure out what's wrong and fix it and then the
18 jargon to be used for figuring out what is wrong and
19 fixing it is called debug.

20 Q. And is the debugging process, is that the same

1 A. Let me define two terms. There's a term called
2 validation and a term called testing. Testing typically
3 refers to ensuring that what you manufactured is
4 correct. So, typically when you manufacture silicon,
5 some of the parts will work, and some of the parts will
6 not work. And you test the manufactured material to
7 determine which parts work and do not work.

8 The term validation typically means I designed a
9 component and the question is does that design work.
10 So, validation validates the design, testing ensures
11 that the manufacturing process was done properly.

12 Q. And do you have any incident of how long it took
13 in connection with the K-7 microprocessor to complete
14 these validation and testing steps that you've
15 described?

16 A. So, again, from the time that the first K-7
17 showed up, to the time we shipped, was roughly a year.
18 So, it took us a year to debug the microprocessor and
19 the associated chipset and motherboards.

20 MR. ROYALL: Your Honor, I am at a convenient
21 breaking point, if it would be all right.

22 JUDGE McGUIRE: Okay, let's take a five-minute
23 break and then come back.

24 MR. ROYALL: Thank you.

25 (Whereupon, there was a recess in the

1 proceedings.)

2 JUDGE McGUIRE: Please continue, Mr. Royall.

3 BY MR. ROYALL:

4 Q. Thank you, Your Honor.

5 Mr. Heye, I asked you about your involvement in
6 memory-related issues at Apple.

7 A. Yes.

8 Q. Now I would like to ask you a little bit about
9 your involvement in memory-related issues since you've
10 been at AMD.

11 A. Okay.

12 Q. Have you, in fact, in your work at AMD been
13 involved in making decisions about which type of memory
14 to use in AMD's systems?

15 A. Yes.

16 Q. And in your role, how have you become involved
17 in those types of issues?

18 A. Given the nature of my job, I'm the focal point,
19 as an executive, for bringing decisions regarding memory
20 to our executive staff. So, and specifically in the
21 time frame we're talking about, you know, there's a lot
22 of transitions going on. They were going from PC-100,
23 that's what we ship with, and then there was an
24 evolutionary change to PC-133, then after that is when
25 we had -- we made a decision initially to use Rambus,

1 and then we made the decision not to use Rambus, and I
2 was involved both in the decision to pick Rambus and I
3 was certainly the driving force in determining not to
4 use Rambus.

5 Q. And I'll come back to a number of these things,
6 but just to make the record clear, when you said that
7 you were involved in the decision not to use Rambus,
8 were you involved in the decision at that point to use
9 something other than Rambus in the K-7 system?

10 A. Yes, using what's referred to as a DDR
11 technology.

12 Q. And you said, I think, in your earlier answer
13 that initially you shipped with PC-100, and when you say
14 shipped, you're referring to the launch of the K-7
15 microprocessor?

16 A. That's correct.

17 Q. And in the initial version of the K-7 system,
18 was it PC-100 memory that was used?

19 A. Yes.

20 Q. And to your knowledge, does the term "PC-100"
21 have any relation to synchronous DRAM?

22 A. PC-100 refers to synchronous DRAM running at 100
23 megahertz.

24 Q. And so the initial versions of the K-7 system
25 that were launched in 1999 used 100 megahertz SDRAM

1 memory. Is that correct?

2 A. Yes.

3 Q. And you said something about PC-133?

4 A. Yes.

5 Q. What is that?

6 A. That's synchronous DRAM running at 133
7 megahertz.

8 Q. So, after the initial launch of the K-7, at some
9 point, did AMD develop another infrastructure for K-7
10 that was supported by the 133 megahertz version of
11 synchronous DRAM?

12 A. Yes, but to be very clear, the chipset we used
13 to do that was not AMD, it was based off the VIA
14 chipset.

15 Q. And then at some point after that version of the
16 K-7 infrastructure was released into the marketplace,
17 was there another version of the K-7 infrastructure that
18 was developed and released that used DDR SDRAM memory?

19 A. Yes.

20 Q. And do you know roughly when that version of the
21 K-7 system was launched or released into the
22 marketplace?

23 A. Roughly October of 2000.

24 Q. Now, going back to the initial decision of what
25 type of memory to use in the K-7 system, you've told us

1 now that the choice was to go with PC-100 SDRAM memory,
2 correct?

3 A. Yes.

4 Q. And were you involved in the decision to use
5 that type of memory in the initial launch of the K-7?

6 A. Yes, again, my -- yes. The design team had to
7 know what to use and we worked with the team to
8 determine which memory would be available in the 1999
9 time frame, and that team worked for me, so yes, I was
10 involved in the decision.

11 Q. Do you recall when that decision was made?

12 A. Probably two years, roughly two years before we
13 shipped. It takes about, you know, from the time you
14 start thinking about a chipset to implementing it,
15 especially when it's brand new like the one for AMD,
16 it's about two years prior to shipping.

17 Q. So, if I'm understanding you, you're saying that
18 the decision of what type of memory to use in the
19 initial version of the K-7 system that was launched in
20 1999, that decision was made roughly two years before
21 the launch of that version of the system?

22 A. Yes.

23 Q. In making decisions about what type of memory to
24 use in support of an AMD microprocessor, do you consult
25 with people within AMD?

1 A. Well, yes, it's a collaborative effort.

2 Q. And what types of people do you talk with or
3 does your team consult with in making the decision --
4 within AMD -- in making decisions of what type of memory
5 to use?

6 A. Well, it's pretty much between the engineering
7 community and again, I have a small team who is
8 responsible for tracking the memory trends, and it would
9 be those two folks, those two teams working together to
10 decide what made the most amount of sense for
11 implementing the memory, the chipset.

12 Q. And is it important to you in your capacity in
13 making judgments about what type of memory to use to
14 have information and input from those types of people
15 within the company?

16 A. Absolutely.

17 Q. And why is that?

18 A. Well, again, somebody in the company has to know
19 what's going on in the memory industry, and again, those
20 folks, it's not the engineers, so again, you have to
21 have a group of folks who track what the memory
22 community is going to be doing, and by community I mean
23 they have to be following the roadmaps of all the large
24 memory corporations, and that information has to then be
25 worked with the engineering team to determine what is

1 the best solution at the time of launch for any given
2 chipset.

3 Q. In addition to consulting with others within
4 AMD, when you and your team make decisions about memory
5 selection for AMD microprocessors, do you also consult
6 with any third parties outside of AMD?

7 A. Again, obviously the memory vendors. We talk to
8 our third party chipset partners, and quite frankly, we
9 look at Intel. You know, Intel has these things called
10 the Intel developer forums, and they also specify memory
11 roadmaps and we look at what Intel is saying as well.

12 Q. Is it important to you in making memory
13 selection decisions to consider the views or the input
14 of memory manufacturers?

15 A. Absolutely.

16 Q. And why is that important?

17 A. Again, we have to track their roadmaps. At the
18 end of the day, it's the memory manufacturers who
19 actually deliver the DRAMs to market, and it's critical
20 to understand what they believe is going to be the
21 commodity part to the marketplace, because quite
22 frankly, they're the ones delivering the parts.

23 So, you know, no matter what AMD and Intel says,
24 at the end of the day, if the DRAM guys don't want to
25 deliver it, they're not going to deliver it. So, you

1 really have to know what they're thinking.

2 Q. And do you have to know what they're thinking in
3 regard to future production of memory?

4 A. Absolutely.

5 Q. And why is that?

6 A. Same argument, it's a two-year lead time. So,
7 if I was designing a chipset today and I looked and said
8 what's shipping today, odds are two years from now it's
9 going to be different. You know it's going to be
10 different. So, the question is, if I need to design
11 something two years from now -- if I need to design
12 something today that's going to ship two years from now,
13 what type is that going to be, and you have to find that
14 out. And the answer is you have to ask, and who do you
15 ask? Memory guys.

16 Q. And in 1997 when you made the decision to go
17 with 100 megahertz SDRAM in the initial launch of the
18 K-7, were you convinced that that would be the dominant
19 commodity product in the marketplace in 1999?

20 A. Yes.

21 Q. And was that based in part on input you were
22 getting from memory manufacturers?

23 A. Yes. That was just very uncontroversial. That
24 was conventional wisdom. Everybody was pretty confident
25 that's the way it was going to go and Intel was making a

1 big push for PC-100, so it was pretty clear in the
2 industry.

3 Q. To your knowledge, when the K-7 microprocessor
4 was developed in the time period that you described
5 earlier, 1995 to 1999, did thoughts about what memory
6 would be used with K-7 influence in any way the
7 development of that microprocessor?

8 A. Yes. Like I said earlier, we -- the design team

1 is within the first year, let's say, after you joined
2 AMD in 1997, did you agree with the assumption that the
3 K-7 microprocessor would start with SDRAM memory and
4 then that the system would be transitioned at some point
5 in the future to DDR memory?

6 A. No. In the '97 time frame, we -- and when I say
7 we, it included myself and my team which included folks
8 in engineering and the folks who tracked infrastructure,
9 the memory guys, we were thinking more and more that we
10 should go Rambus. Intel had come out and said that
11 Rambus was going to be the next generation high-speed
12 memory as opposed to DDR. The memory community was
13 saying that they were going to do Rambus.

14 At the same time, if you -- the DDR standard was
15 not coming together as quickly as people would have
16 thought. So, it was languishing in JEDEC. So, we made
17 the decision that we should go Rambus. The other thing,
18 too, I should point out, is that in dealing with Rambus,
19 one nice thing was that when you purchased -- when you
20 gave -- you had to pay Rambus a fair amount of money to
21 get the license to use the Rambus technology. One of
22 the things you got in return for all those dollars was
23 they actually gave you a design that you could plug into
24 your north bridge. So, we got -- we were able to
25 offload some of our design work from internal design to

1 external design. So, it seemed like a pretty good deal
2 at the time. And I personally negotiated the Rambus
3 contract.

4 Q. Let's step back to make sure we're clear. First
5 of all about time frame.

6 A. Okay.

7 Q. You said that you and your team made a decision
8 at some point in time, if I'm understanding you
9 correctly, that after the initial launch of the K-7 with
10 SDRAM memory, that the next step in terms of memory
11 supporting K-7 should be Rambus memory. Is that right?

12 A. That's correct.

13 Q. And what time frame was it that you and your
14 team came to that judgment?

15 A. Mid-'97. I think I'm pretty sure I signed the
16 contract -- well, I negotiated the contract, actually, I
17 think Atiq Raza signed it, our president at the time,
18 but I believe the contract was completed in December of
19 '97.

20 Q. When you and your team made the judgment that
21 starting with SDRAM that future versions of the K-7
22 system should be supported by RDRAM, was it your belief
23 that in the future RDRAM would become the predominant
24 commodity memory in the marketplace?

25 A. Correct, yes.

1 Q. And what was that belief based on?

2 A. Again, it gets back to the first principles,
3 which is does the end user experience or perceive
4 betterness, you know, improved quality, improved
5 performance, by going to faster memory. And the answer
6 was pretty demonstratively yes. So, it was very clear
7 that both Intel and AMD needed memory that would perform
8 better than PC-133. That was a given, and you could run
9 all sorts of simulations and performance analysis that
10 said, faster memory, better.

11 So, it was pretty clear the industry was going
12 to go that way. The question is do you pick DDR or do
13 you pick Rambus? And given that, you know, Intel, who
14 owns 80 percent of the market, really put his wood
15 behind the arrow, so to speak, on Rambus, you know, they
16 had talked about the customers, well our customers were
17 saying, hey, you ought to use Rambus, and we talked to
18 the memory vendors. And the memory vendors were saying,
19 you know what, Rambus, it's a revolutionary change, not
20 evolutionary, but, you know, that's the way the industry
21 is going, that's the way we're going to go, and Rambus
22 is it. And furthermore, here's something that's another
23 critical component --

24 JUDGE McGUIRE: I'm going to cut you off, Mr.
25 Heye, because I don't want you to just keep going on, I

1 want you to be able to respond to, you know, counsel's
2 question.

3 BY MR. ROYALL:

4 Q. Thank you, Your Honor, and I'll take this time
5 to hand Mr. Heye some water, if I may approach.

6 JUDGE MCGUIRE: Go ahead.

7 THE WITNESS: That would be great.

8 BY MR. ROYALL:

9 Q. Now, going back, Mr. Heye, to what you said in
10 response to an earlier question. When you and your team
11 in '97 made the judgment that you should migrate from
12 SDRAM memory and then in the future to RDRAM memory in
13 connection with the K-7 microprocessor, was that based
14 in part on information you were getting from the memory
15 manufacturers?

16 A. Yes.

17 Q. So, the memory manufacturers were supportive of
18 the idea of moving to RDRAM memory in the future at that
19 time frame. Is that correct?

20 A. Yes.

21 Q. You mentioned in your earlier answer, you
22 mentioned, I believe the term "revolutionary" in
23 reference to Rambus memory. Can you explain what you
24 meant by that?

25 A. If you look at technology trends, let's use

1 PC -- let's use synchronous DRAM going to DDR and
2 contrast that to Rambus. To go from synchronous DRAM to
3 DDR did not require a brand new back end test
4 methodology in the memory groups, in the memory
5 companies. The basic design, the basic architecture,
6 the basic understanding of the mechanism of how memory
7 communicated to front side bus between Sync DRAM and DDR
8 was pretty straightforward. Rambus really, really had a
9 very different architecture. It was quite a rapid
10 departure from the existing Sync DRAM and DDR
11 communication conventions.

12 Q. And did that different Rambus architecture
13 create any complications with respect to designing the
14 rest of the infrastructure that would support the K-7
15 microprocessor?

16 A. Yeah, Rambus was a fairly high performing bus,
17 and the way it was designed was you really, really had
18 to be very careful how you designed both the north
19 bridge, the memory chips, the RIMMs and the motherboard,
20 and if you didn't get that all just tuned out just
21 right, you're going to have some serious electrical
22 problems. It was a really touchy design.

23 Q. Now, by comparison to Rambus memory, was DDR
24 memory more evolutionary?

25 A. It was more evolutionary, yes.

1 Q. And did that mean that it involved less
2 complication in terms of designing an infrastructure
3 that would support that type of memory?

4 A. It was less complicated. It was still, you
5 know, DDR was always faster than Sync DRAM, so that was
6 certainly going to be some investment in terms of
7 training the motherboard vendors and the dim
8 manufacturers how to support the DDR infrastructure, but
9 it was certainly less complicated than Rambus.

10 Q. But even despite the complications associated
11 with Rambus, your initial decision in the 1997 time
12 frame was that it made sense for AMD to use Rambus
13 technology in future generations of the K-7 and not to
14 use DDR. Is that right?

15 A. That's correct.

16 Q. And that was a decision that you made with input
17 from memory suppliers?

18 A. That's correct.

19 Q. Was there anything -- any drawback or particular
20 drawback that in your judgment at that time frame to
21 using DDR as opposed to Rambus?

22 A. Well, the biggest drawback at the time was the
23 standard wasn't a standard. They hadn't -- the memory
24 guys -- the memory companies could not agree on what the
25 DDR standard should be. And because of that, it was --

1 it was languishing in JEDEC, and furthermore, you need a
2 chipset to help debug the memory. Intel was doing a
3 Rambus-based north bridge. No one at the time that we
4 knew of was doing a DDR-based north bridge. So, there
5 was no way you're going to test DDR. So, we thought,
6 well, heck, if Intel is going to throw its money at the
7 problem, and help establish the infrastructure in Taiwan
8 and establish the infrastructure in the rim
9 manufacturers and do all that work, we'll leverage it
10 and go with Rambus.

11 Q. And once the decision was made to go with
12 Rambus, did you say that you were involved in
13 negotiating a license with Rambus?

14 A. Yes.

15 Q. And what did that license cover, if you recall?

16 A. It covered NRE, which is nonrecoverable
17 engineering costs, so basically we gave them, I think it
18 was \$2 million, and for that \$2 million, like I said,
19 you received a piece of technology you could literally
20 plug into your north bridge design that could
21 communicate to memory, and you also just got the right
22 to use the Rambus technology.

23 Q. The piece of technology that you're referring to
24 that you received from Rambus that connected with the
25 north bridge part of the system, what was that Rambus

1 technology called?

2 A. I think it was a rack or a rim. I can't keep
3 track, I can't remember right now. What it was was, it
4 was an actual circuit and layout design in the
5 technology of our north bridge that we could literally
6 drop into our design, and that would communicate
7 directly to the Rambus memory.

8 Q. So, when you signed the license with Rambus, you
9 paid some amount of money up front?

10 A. Yes.

11 Q. Is that right?

12 A. I think it was \$2 million.

13 Q. \$2 million, and did you agree to pay royalties

1 JUDGE McGUIRE: Okay, then that being
2 established we'll leave it in the public record.

3 MR. STONE: I have no objection to it now.

4 JUDGE McGUIRE: I understand your objection. Go
5 ahead, Mr. Royall.

6 BY MR. ROYALL:

7 Q. Mr. Heye, without getting into rates, if you
8 even recall what royalty rates were, I'm simply asking
9 to your recollection did the license that you signed or
10 that AMD signed with Rambus involve payment of or
11 agreement to pay royalties in the future based on
12 production or sale of items by AMD?

13 A. Yes.

14 Q. And do you recall what types of AMD products
15 were subject to that license provision?

16 A. Both north bridges and microprocessors.

17 Q. Now, once the decision was made within AMD to
18 use Rambus technology in the future, and the license was
19 signed, what did AMD do, if anything, at that point to
20 begin to implement RDRAM memory in its designs?

21 A. Well, we mainly started a design team to do a
22 north bridge that would encompass the Rambus technology.
23 And actually the first thing we did was we wanted to
24 build a test chip that would validate the Rambus design
24rSt rpE 19 gAsYse wases we wanted to

1 of design engineers working with a Rambus team to
2 incorporate their design into a test chip which we would
3 then manufacture and validate that the design that
4 Rambus gave us was working well, and then we would
5 continue to roll that into our north bridge and make a
6 product out of it.

7 Q. Do you know or do you have an estimate of how
8 many AMD engineers were working on the Rambus technology
9 in the period during which that was the plan of the
10 company to use Rambus in the future?

11 A. Probably around 10 to 15 engineers, and then
12 there's probably another 10 folks that support the
13 engineering community. So, 20, 25-ish.

14 Q. During that time frame, and just to be clear,
15 we're talking about is it 1998 that we're talking about?
16 Or do you recall?

17 A. Yes. Yeah, it had to be early '98.

18 Q. During that time frame, you said, I believe,
19 that you were working with Rambus, AMD engineers were
20 working with the Rambus engineers. Is that right?

21 A. That's right.

22 Q. Were you working with any other third parties in
23 connection with Rambus such as memory manufacturers?

24 A. Not from an engineering point of view, no. We
25 were talking to them, but no engineering work was being

1 done with it.

2 Q. And what was your purpose for talking to them
3 about Rambus in that time period?

4 A. Just we still -- we were -- while the industry
5 had decided that it was going to go Rambus, and by
6 industry, again, it's the memory makers, the chipset
7 guys, and certainly Intel, it was pretty clear that
8 there were some serious challenges to getting Rambus to
9 the high volume market, and so we just wanted to keep
10 track of, you know, what the heck the memory guys were
11 really doing and making sure that they were on track.
12 Because if they started slipping, then we're going to
13 have a problem.

14 Q. Were you personally involved in that time period
15 in discussions with memory manufacturers relating to
16 Rambus technology?

17 A. Yes.

18 Q. Did you make trips to visit those companies or
19 did they come to see you in that time frame?

20 A. I made trips to visit them, I go to Japan,
21 Korea, Germany.

22 MR. STONE: Your Honor, can we just clarify when
23 he says "in that time frame," what time frame we're
24 talking about here?

25 JUDGE McGUIRE: Mr. Royall?

1 MR. ROYALL: I think he just a few questions
2 earlier had said 1998.

3 THE WITNESS: Early 1998.

4 MR. ROYALL: Early 1998.

5 MR. STONE: Thank you.

6 BY MR. ROYALL:

7 Q. And in your meetings -- strike that.

8 When you met with memory manufacturers in that
9 early 1998 time period to -- and you discussed Rambus --
10 issues relating to Rambus technology, were you meeting
11 with those companies collectively or individually?

12 A. Individually.

13 Q. Was there a reason why you met with them
14 individually as opposed to collectively?

15 A. Well, they would go over their detailed
16 roadmaps, which would include their technology roadmaps,
17 and memory technology roadmaps are extremely
18 confidential. That would be the equivalent of Intel and
19 AMD in a joint session sharing confidential information
20 in front of each other. It just wouldn't happen. I
21 mean, the memory guys are incredibly competitive and
22 there's no way that they would share their technology
23 roadmaps with a competitor in the room.

24 The other thing, too, was they were very open to
25 us in terms of cost. Because the other thing that I

1 would worry about --

2 MR. STONE: Your Honor, I would object that this
3 goes well beyond the question, which is why did you meet
4 with them individually.

5 JUDGE McGUIRE: Sustained, and try to confine
6 your testimony to his questions. Let's try to stick to
7 that and I am going to admonish you as well, Mr. Royall,
8 on that point. So --

9 THE WITNESS: Yes, sir.

10 BY MR. ROYALL:

11 Q. Referring to these meetings that you recall in
12 the early '98 time period with memory manufacturers in
13 which you discussed issues relating to Rambus, do you
14 have any present recollection of input or impact that
15 you received from the memory manufacturers at that time
16 relating to Rambus?

17 A. Yeah, the memory --

18 MR. STONE: Your Honor, this is beyond the
19 answer yes, which I think is all he needed to answer the
20 question that he has a present recollection, if he's
21 going to go into what he was told by the memory
22 manufacturers, that would be hearsay.

23 MR. ROYALL: Your Honor, I have laid a
24 foundation, a very clear foundation that in decisions
25 Mr. Heye made about memory selection, it was very

1 important to him to consider input from a variety of
2 sources, including memory manufacturers. I'm not
3 getting into that for the truth of the matter, but to
4 understand his state of mind and the decisions that he
5 made for his company in that time.

6 MR. STONE: Your Honor, I am quite happy for the
7 evidence to come in, as long as we all understand it's
8 not being offered for the truth of what the
9 manufacturers said.

10 JUDGE McGUIRE: Mr. Royall, is that your
11 understanding?

12 MR. ROYALL: Yes, Your Honor, as stated.

13 JUDGE McGUIRE: All right, you may continue.

14 MR. STONE: Thank you.

15 BY MR. ROYALL:

16 Q. Do you have the question in mind, Mr. Heye?

17 A. Could you repeat it, please.

18 Q. In the early 1998 time period when you met with
19 memory manufacturers and you discussed Rambus
20 technology, do you have any present recollection of
21 input or feedback that you received from those companies
22 during those meetings relating to Rambus?

23 A. Yes. They were concerned -- the cost of Rambus
24 was starting to concern the memory vendors. This is as
25 I understood it, as told to me by them, and it was

1 becoming pretty clear to me that in talking to memory
2 vendors, that some of the initial cost projections of
3 Rambus versus DDR were not coming in line to what had
4 initially been expected, and that it was more expensive
5 than people had thought.

6 In fact, one question I had asked them, I can't
7 remember if it was early or late '98 when I was asking
8 this question, but I had always asked the question as
9 follows: If SDRAM costs one, how much does DDR cost?
10 And the answer was 1.X and X would be different per
11 memory vendor but would always be more expensive than
12 synchronous DRAM. And I would always say, okay, what is
13 Rambus, and the answer would be 1.Y, and again the Y was
14 going to be greater than synchronous DRAM, but what I
15 was also interested in seeing was that Y was always
16 greater than X. In other words, every memory vendor
17 that I spoke to would tell me that Rambus had a higher
18 cost structure on a per part basis than DDR.

19 Q. Now, putting aside input that you were getting
20 from memory manufacturers in this time period, were you
21 receiving any input internally within AMD from the
22 engineers that were working on Rambus memory?

23 A. Yeah, they were getting nervous. The --

24 MR. STONE: Again, Your Honor, this is hearsay.

25 MR. ROYALL: This is the -- it's the same issue,

1 Your Honor, I'm not offering it for the truth, it goes
2 to his state of mind.

3 JUDGE McGUIRE: Let's make that clear at the
4 onset of your inquiry, Mr. Royall, so we don't have to
5 go through this every time.

6 MR. STONE: Thank you, Your Honor.

7 BY MR. ROYALL:

8 Q. Again, the question, Mr. Heye, and again I'm
9 just asking you these questions from the standpoint of
10 what you understood and what you heard and how that
11 influenced your decisions.

12 A. Okay.

13 Q. But in the same time frame that we've been
14 focusing on, early 19 -- early 1998, or thereabouts,
15 were you and your team receiving any input internally
16 within AMD relating to efforts to implement and design
17 around or to design with the Rambus technology?

18 A. Yes, a couple of things were going on. One, the
19 actual folks working on the silicon team were starting

1 on a -- we were doing some analysis on the motherboard,
2 and if I may, let me just show you this motherboard.

3 The motherboard is the piece of -- this is
4 fiberglass, the board itself is made out of fiberglass,
5 and this is nothing but fiberglass, a layer of copper,
6 fiberglass, layer of copper. And in Taiwan, in the PC
7 industry, all motherboards have four layers, that's it.
8 You can manufacture boards with 100 layers, it's
9 technically feasible, but in the high volume segment
10 it's four layers.

11 The other thing to look at is the back side. If
12 you look on that back side, and this is a motherboard
13 that was made probably some time in the last six to nine
14 months, there's nothing on the back side. Which means
15 it's what they call single-sided manufacturing, which
16 means the board goes on the top and they put it in a box
17 and they ship it. Again, can you manufacture the back
18 side? Absolutely. In fact, that's what Apple did. But
19 it's more expensive.

20 So, one of the standard things you're always
21 trying to do is single-sided -- single-sided four-layer
22 motherboards. The concern that we were starting to have
23 was that it looked like that you may have to go to your
24 multiple layers, your out of bunch capacitors for the
25 back side of the board, and that would add cost to

1 product, and we were concerned about that.

2 Q. And that was a concern that was raised to you
3 internally within AMD. Is that correct?

4 A. Yes.

5 Q. In this same time period, roughly early 1998,
6 did you have any interaction with memory module makers
7 relating to Rambus?

8 A. Yes. The other thing that was occurring was --

9 Q. And let me just to make clear, I am not -- I am
10 interested in understanding what, if anything, you may
11 have learned from memory module makers relating to
12 Rambus to understand your state of mind and how this may
13 have influenced your thinking in that time period.

14 A. Right. You know, first clarifying, we keep
15 saying early '98, I would like it to expand to like the
16 first six months of '98, because it was kind of a
17 continuum for this. There was a bunch of different data
18 points coming in. But the other thing we were starting
19 to hear was that the RIMMs, and again the RIMMs in
20 accord with the DIMMs that Rambus --

21 Q. Can I stop you there just to make clear what you
22 said. RIMMs is a reference to is it the memory module
23 that goes with the Rambus memory?

24 A. Correct.

25 Q. As oppose to dim, which is the memory that's the

1 name given to the memory modules with synchronous or DDR
2 memory. Is that right?

3 A. That's correct.

4 Q. Continue.

5 A. And so the RIMMs were also had some issues, one
6 of which for example, in the heat syncs. If you looked
7 at the dim that we looked at earlier on today, there are
8 no heat syncs. If the rim has heat syncs, that's more
9 expensive. The other thing again, the layout of the
10 RIMMs, how you physically hook up to Rambus on those
11 RIMMs was extremely expensive. Again, even in DDR, you
12 have to be very -- there are strict rules on how you lay
13 these things out and there are standards and all, but
14 Rambus was just more difficult. And we were starting
15 to, again, you know, hear, I admit that, that there were
16 inneroperability problems with Rambus. We didn't have a
17 Rambus design in-house, Intel was the only one who had
18 their own chipset, AMD didn't have their own chipset
19 inside.

20 JUDGE McGUIRE: Again he is going on and on
21 again, I would ask you to confine yourself only to the
22 question that's being asked, otherwise we'll be here all
23 day and we cannot afford to do that.

24 THE WITNESS: Sorry.

25 BY MR. ROYALL:

1 Q. Now, the other issues that you heard described
2 within AMD from memory makers and memory module makers
3 concerning Rambus, did you or your team make any effort
4 to work with Rambus to try to resolve or improve these
5 issues?

6 A. Yes.

7 Q. What can you tell us regarding the efforts that
8 AMD made to work with Rambus to resolve the sorts of
9 issues and concerns that you've described?

10 A. Again, I was not part of the engineering team
11 working with Rambus, but I know we had, you know --

12 MR. STONE: Your Honor, I'm going to object. If
13 the prior testimony about his concerns was not being
14 offered for the truth, then we don't have any foundation
15 that there was any basis for these concerns. They were
16 just things that were expressed to this witness. If he
17 now says I didn't have any personal involvement in the
18 engineering team, he's going to be again expressing his
19 view based on hearsay and he lacks personal knowledge or
20 foundation for this testimony and I object to that, Your
21 Honor.

22 JUDGE McGUIRE: Mr. Royall, response?

23 MR. ROYALL: I can lay a better foundation for
24 the question, Your Honor.

25 JUDGE McGUIRE: Okay, go ahead.

1 BY MR. ROYALL:

2 Q. During the 1998 time period and focusing on the
3 first half of 1998, did you have any personal knowledge
4 of efforts relating to the work of the AMD engineers
5 that were working on Rambus, efforts on their part to
6 work with Rambus employees relating to the design and
7 implementation issues concerning Rambus?

8 A. I knew that we had -- that there were meetings
9 taking place between the two companies on
10 non-engineering issues.

11 Q. Now, you mentioned earlier that at some point in
12 time, a decision was made to go with DDR memory in
13 future versions of the K-7 infrastructure and not with
14 RDRAM. Is that correct?

15 A. Yes.

16 Q. Do you recall when that decision was made within
17 AMD?

18 A. Probably around late summer/fall time frame.

19 Q. Of 1998?

20 A. '98, yes.

21 Q. And were you involved in that decision?

22 A. Yes.

23 Q. And how were you involved in that decision?

1 that decision through AMD?

2 A. Through all the information I was collecting
3 throughout the industry, it was my personal belief that
4 Rambus was going to fail as a commodity part, and that
5 ultimately even Intel would have to go DDR, and that AMD
6 should be the first -- should drive the DDR standard and
7 not get tied up with the Rambus memory.

8 Q. What caused you personally to come to the
9 conclusion that AMD should substitute DDR memory in its
10 future infrastructure in place of Rambus memory?

11 A. Again, as I testified from information that I
12 was hearing from memory vendors in terms of cost, from
13 information that I was hearing from my own design team,
14 saying that they were having concerns about the
15 technology, the other thing that was happening in
16 parallel on DDR was the JEDEC committee was actually
17 getting more focused and was starting to get some
18 closure on DDR. They hadn't closed on it yet, but they
19 were getting there.

20 MR. STONE: Your Honor, I believe this witness,
21 he's gone beyond the question, I think, but if he
22 hasn't, he has no foundation to testify what JEDEC was
23 doing. I don't know that he ever attended a JEDEC
24 meeting and I don't think any foundation has been laid.
25 I object on that basis.

1 MR. ROYALL: Your Honor, I don't think it does,
2 in fact, go beyond the question, but I believe I can lay
3 a better foundation.

1 MR. STONE: As long as it's not being offered
2 for the truth. All we have is a witness who is
3 testifying just --

4 JUDGE McGUIRE: And let's try to, if that's
5 going to be the case, then let's try to put that up
6 front, Mr. Royall, so we don't have to spend time going
7 back through this same, you know, objection time and
8 time again.

9 BY MR. ROYALL:

10 Q. Yes, Your Honor, thank you.

11 Now, first of all, Mr. Heye, let me just go back
12 and ask you, and a yes or no answer would be fine, if
13 you can give such an answer, was your decision to go
14 with DDR memory in the K-7 architecture in place of
15 RDRAM memory in future versions of K-7, was that
16 decision based in any part on information or knowledge
17 that you had relating to developments with the DDR
18 standard-setad rehlodfss ?

18 A Yes,

1 you and that you considered in that time frame.

2 A. It was my understanding that they were -- that
3 the JEDEC community was closing in on a formal DDR
4 standard.

5 Q. And again, in your decision-making process to
6 substitute DDR memory for RDRAM memory in future
7 versions of the K-7 infrastructure, did that information
8 have some bearing on your decision?

9 A. Yes.

10 Q. And in what way? How did it bear on your
11 decision?

12 A. Per my earlier testimony, you can't design a
13 chipset to take advantage of a memory device if the
14 memory device isn't specified. So, before I was going
15 to go to our senior management and tell them to go DDR,
16 I had to have a pretty good belief that ultimately there
17 would be a DDR standard, otherwise we couldn't design a
18 DDR chip and we would have failed.

19 Q. You mentioned going to your senior management
20 relating to the choice of DDR, did you, in fact, go to
21 senior management relating to that issue?

22 A. Yes, sir.

23 Q. Who within senior management did you raise that
24 issue with?

25 A. Jerry Sanders, CEO.

1 Q. And what did you -- what did you say to him or
2 what proposal did you make to him?

3 A. I basically proposed that AMD stop doing its
4 work on the Rambus north bridge, immediately begin work
5 on a north bridge that would work with DDR, and that AMD
6 would be the first company to propose DDR as a industry
7 standard in the PC industry.

8 Q. And did you receive approval for that decision
9 at that point in time?

10 A. Yes.

11 Q. Was that decision to go to DDR from Rambus in
12 that time period in terms of your future plans for K-7,
13 was that a decision that involved any potential risk to
14 AMD?

15 A. Huge risks. If I was wrong, we would have --
16 AMD would have been at a huge, huge competitive
17 disadvantage to Intel.

18 Q. And why is that?

19 A. Because if I was wrong, the dominant commodity
20 part for memory would have been Rambus, which was
21 clearly higher performing than PC-133, the best AMD
22 would have had would have been PC-133, and Intel would
23 have just owned the performance space of the PC
24 industry, and that means that's where your high priced
25 processors are going, we would have been out of that

1 market and that would have absolutely killed us.

2 Q. Once the decision had been made to use DDR
3 memory in future versions of the K-7 infrastructure as
4 opposed to RDRAM, what, if anything, did you and your
5 team at AMD do to prepare the company for that step in
6 the future?

7 A. Well, there was actually multi-avenues we had to
8 attack. We had to first attack the -- first you had to
9 get the specification completed, you had to then work
10 with the memory vendors to ensure that they actually had
11 a supply of DDR parts out there. In parallel, we
12 started this effort called Team DDR, because don't
13 forget, at that time frame, Intel was spending lots and
14 lots of money and energy telling the entire world that
15 Rambus was the way to go. And we had to establish the
16 feasibility in the mind of our partners and our
17 customers and the analysts that DDR was real. And so we
18 established this notion of Team DDR to help us do that.

19 Q. Let me stop you there. You mentioned something
20 called Team DDR. What is or was Team DDR?

21 A. Team DDR was a group of partners which AMD led
22 which represented a valid infrastructure around DDR so
23 that Team DDR would consist of memory vendors, dim
24 vendors, chipset vendors, and motherboard vendors, and
25 the idea was that, again, we needed to establish the

1 feasibility of this infrastructure, because even our
2 customers were telling us that Rambus was the way to go.
3 And we had to say no, there's a viable alternative. And
4 so Team DDR was one directed at analysts and the press
5 saying, hey, DDR is real, and getting partners on board
6 and ultimately telling our customers, because we had to
7 tell our customers, hey, we have a live technology, we
8 have a live alternative and it's going to be successful
9 in the marketplace. So, that was Team DDR's function.

10 Q. In terms of your role in building a K-7
11 infrastructure, were there any complications created by
12 your decision to change from the plan of going with
13 RDRAM to the plan of going to DDR?

14 A. Well, it's just like what I just testified, I
15 mean, we had to establish a whole brand new memory
16 infrastructure, which was something that AMD had never
17 attempted, and for all those cases that I had just
18 rattled off, we had to address all that, and
19 furthermore, we had a team that was designing the Rambus
20 interface, we had invested quite a bit of dollars in
21 that team, all that work was discarded and we had to
22 start doing DDR.

23 Q. At the time that you made that judgment, were
24 the various business partners that you worked with in
25 developing an infrastructure, were they prepared to

1 develop products, their own products, in the way that
2 would be compatible with a DDR-based infrastructure?

3 A. Yes, we -- yes. We got them to agree with us.

4 Q. I'm sorry, you got them?

5 A. Yeah, they ultimately agreed to us and were
6 willing to work with us, yes.

7 Q. Did AMD need to do anything in terms of training
8 or education to help its business partners be prepared
9 to support DDR?

10 A. One thing we did is in addition to designing our
11 own chipset, we designed what we call a reference board.
12 So, actually, AMD designed and built a motherboard that
13 had DDR on it, and we went around with the feasibility
14 saying, look, this thing really works. We also went to
15 Taiwan and said, okay, Taiwan, we want you folks -- you
16 folks -- we want these large corporations to build
17 motherboards that supported DDR, and again, DDR was
18 faster than Sync DRAM, it's technically more
19 complicated, and it works --

20 JUDGE MCGUIRE: Okay, you have answered the
21 question.

22 BY MR. ROYALL:

23 Q. And from the point at which you made the
24 judgment to go with DDR in future versions of the K-7
25 infrastructure, how long after that decision was made

1 did it take you and your team to put in place the
2 virtual system or infrastructure that would support DDR
3 memory with a K-7 microprocessor?

4 A. We launched our first DDR product in October of
5 '99 -- no, October of 2000.

6 JUDGE McGUIRE: So, then, how long did it take?

7 THE WITNESS: It -- well, we changed to it about
8 a little over -- about 18 months. Yeah, that's 12 to 18
9 months, in that time frame.

10 BY MR. ROYALL:

11 Q. Well, you said you launched the DDR version of
12 K-8 in -- did you say October 2000?

13 A. Yes.

14 Q. And the decision, you said earlier, the decision
15 to go with DDR as opposed to RDRAM was made in did you
16 say late '98?

17 A. Yeah, mid to late -- I don't know exactly, but
18 I'm assuming mid to late '98, so it would be a year,
19 maybe 15 months.

20 Q. Now, we touched very briefly earlier on the K-8
21 microprocessor.

22 A. Yes.

23 Q. Which you mentioned is also known in the server
24 marketplace as I believe Opteron.

25 A. Correct.

1 Q. Were you involved in any decisions relating to
2 what memory to use in support of the K-8?

3 A. K-8 offered a radical departure --

4 JUDGE McGUIRE: Wait a minute, sir, that's not
5 the question. He asked you if you were involved.

6 THE WITNESS: Yes. I'm sorry.

7 JUDGE McGUIRE: Okay, now, next question.

8 BY MR. ROYALL:

9 Q. Thank you, Your Honor.

10 And how were you involved in decisions relating
11 to memory selection of K-8?

12 A. My team offered feedback to K-8 on what the
13 dominant -- what we thought the dominant memory would be
14 in the time frame of its launch.

15 Q. And what type of memory was selected for use in
16 the initial launch of the K-8?

17 A. DDR.

18 Q. And has there been any decision about any future
19 version of K-8, what type of memory will be used in the
20 future versions of K-8?

21 A. The expectation is we will use DDR2.

22 Q. And can you very briefly explain what reasons
23 caused AMD and your team to choose DDR and in the future
24 DDR2 memory for the K-8?

25 A. Well, if you look at that chart, I mean, we

1 started developing K-8 in the '98-'99 time frame and
2 that's just the time frame that we had made the
3 determination that DDR was the memory of choice for the
4 commodity infrastructure, so that was our decision.

5 Q. And when you say memory of choice for the
6 commodity infrastructure, does that mean that the memory
7 that you in your judgment believed will be the dominant
8 commodity memory at the time of those versions of the
9 K-8 infrastructure was introduced into the marketplace?

10 A. Yes.

11 Q. Now, relating to JEDEC, you mentioned a few
12 things about JEDEC, to make it clear for the record,
13 have you, sir, ever attended a JEDEC meeting?

14 A. No.

15 Q. Does anyone on your team at AMD attend JEDEC
16 meetings to your knowledge?

17 A. Yes.

18 Q. And who is that?

19 A. Steve Polzin, and I know Steve has some people
20 working for him who I think also attend JEDEC meetings.

21 Q. And do you know what portion or aspect of JEDEC
22 your team members are involved in?

23 A. Specifically, I know they're involved in the
24 memory aspect of it.

25 Q. Do you personally, I'm asking for your personal

1 views, if you have any, do you personally have any views
2 regarding the value, if any, that AMD derives from
3 participation in JEDEC?

4 A. AMD spends a lot of time -- AMD works
5 collaboratively with the memory vendors through JEDEC to
6 ensure that the memory standards going forward can be
7 implemented both by the chipset vendors and the memory
8 vendors.

9 Q. You mentioned earlier the various business
10 partners that you work with in building an
11 infrastructure to support AMD's microprocessors.

12 A. Yes.

13 Q. Are any of those business partners involved in
14 JEDEC as well?

15 A. Well, I know for certain that the memory vendors
16 are involved in JEDEC. I don't honestly know who all
17 the -- I don't know who all the participants are in the
18 JEDEC meetings.

19 Q. In addition to memory-related standards, are
20 there other areas of AMD's business in which the company
21 relies on industry standards?

22 A. Yes, when we have, again, there are IEEE
23 standards we're involved with, AMD is involved in the
24 PCI standard, similar comments when I was at Apple,
25 there are dozens of standards in the high-tech industry

1 and AMD is involved in many of those standard-setting
2 bodies.

3 Q. And are you familiar with the term "open
4 standards?"

5 A. Yes.

6 Q. What does that term mean to you?

7 A. Open standards is a term that says that there's
8 a standard that is available to be used in the industry
9 that is -- that effectively is royalty free, that if you
10 follow the standard, one, you will be able to interact
11 with other folks using that standard, and that there's
12 basically no royalties associated with it.

13 Q. And does AMD have any position or view to your
14 knowledge as to -- well, strike that.

15 Do you personally have any view about the value,
16 if any, that AMD derives from open standards relating to
17 its business?

18 MR. STONE: Objection, relevance, Your Honor. I
19 don't think this is a trial about whether open standards
20 are good or bad or proprietary standards are good or bad
21 and this witness's view on the value of open standards
22 doesn't seem relevant to any issue in this case.

23 JUDGE McGUIRE: Overruled. He can answer the
24 question.

25 MR. ROYALL: Thank you, Your Honor.

1 A. 2000, maybe 2001.

2 Q. Do you recall how you learned of ADT? Or the
3 existence of ADT?

4 A. We heard about it through our memory partners,
5 they told us they were doing that.

6 Q. Do you have any understanding concerning how or
7 why the ADT consortium was formed?

8 A. Again, my understanding was that it was formed
9 to address next generation memory interface, you know,
10 somewhat in response to the fact that JEDEC is not --
11 does not close on issues quickly, so this was supposed
12 to be a more expedient mechanism to get the standard to
13 market.

14 Q. And when you refer to next generation memory
15 interface, are you talking about types of memory designs
16 beyond --

17 A. Beyond DDR.

18 Q. Beyond DDR?

19 A. Beyond DDR, yes.

20 Q. Do you know who formed the ADT consortium?

21 A. I honestly don't know which initiated it.

22 Q. Do you know whether the work of ADT had anything
23 to do with Rambus?

24 A. I heard rumors, but I wouldn't say that it was
25 formally -- no, to answer your question, so I won't get

1 objected on it.

2 Q. And when you heard of ADT, did you on behalf of
3 AMD have a desire to participate in the ADT consortium?

4 A. Yes.

5 Q. And why is that?

6 A. It goes back to my previous testimony that, you
7 know, Intel is a formidable competitor. The fact that
8 Intel was getting information defining the next
9 generation memory interface before AMD put AMD at a
10 distinct disadvantage to Intel.

11 Q. And did you make an effort to have AMD join and
12 participate in the ADT consortium?

13 A. Yes. The ADT consortium rules were that you had
14 to get a unanimous vote with the six memory vendors plus
15 Intel to allow us to get on what I'll call the executive
16 committee. Actually, I personally called Pat Gelsinger
17 at Intel to try to elicit his vote to try to get AMD on
18 the executive committee. For the record, he was
19 noncommittal. But yeah, we tried really hard, we talked
20 to the memory vendors as well to try to get us on the
21 committee, and there were votes taken and we lost the
22 vote. And the votes were held in confidentiality, so I
23 have no idea who voted for us and who voted against us.

24 Q. So, you were not -- in your efforts, you were
25 not successful in your efforts to join ADT. Is that

1 what you're saying?

2 A. We were not successful in our efforts to join
3 the executive committee of ADT.

4 Q. To your knowledge, does ADT still exist today?

5 A. No.

6 Q. Do you have any views as to whether AMD suffered
7 any type of disadvantage as a result of not being
8 permitted to participate in the executive committee of
9 ADT?

10 A. I don't think we suffered any damages.

11 Q. And why not?

12 A. The span of the technology didn't go anywhere.

13 Q. Now, you mentioned earlier that AMD entered into
14 a license with Rambus at the time that you and your team
15 made the decision to pursue use of Rambus technology in
16 future versions of the K-7 infrastructure. Is that
17 right?

18 A. Yes.

19 Q. And you were personally involved, I believe you
20 said earlier that you were personally involved in
21 negotiating that license with Rambus. Is that correct?

22 A. Yes.

23 Q. Did you have meetings with Rambus
24 representatives relating to that license or the
25 negotiation of that license?

1 A. Yes.

2 Q. Do you recall how many meetings you attended
3 with Rambus representatives relating to that license
4 negotiation?

5 JUDGE McGUIRE: Mr. Royall, how is that
6 pertinent at this point?

7 MR. ROYALL: I'm just laying a foundation, I
8 think it will become clear, Your Honor.

9 JUDGE McGUIRE: Okay, proceed.

10 THE WITNESS: I have no idea exactly how many
11 meetings they had, it was certainly greater than three
12 and less than 100, I mean, we were negotiating.

13 BY MR. ROYALL:

14 Q. Well, no, I'm sorry, the question, maybe I
15 misstated it, was not how many meetings there were, but
16 do you recall how many meetings that you participated
17 in? Or roughly speaking, I don't mean precisely. I
18 mean, was there more than one?

19 A. Definitely more than one, yes.

20 Q. And during those meetings, whatever the number
21 may be, but the meetings that you personally
22 participated in with Rambus, do you recall Rambus making
23 any presentations relating to its intellectual property?

24 A. Not specifically its intellectual property, no.

25 Q. Were there other meetings of AMD employees with

1 Rambus relating to this license negotiation that you did
2 not attend?

3 A. Yes, many.

4 Q. And were these other AMD employees, were they --
5 that participated in those meetings, were they part of
6 your team?

7 A. They didn't all report to me. You said my team,
8 I'm not sure exactly what that means. There was a
9 negotiating team from AMD which included lawyers,
10 business development people and people on my staff. And
11 that was the negotiating team for the contract. I was
12 the lead executive on it.

13 Q. And before AMD signed a license with Rambus
14 relating to RDRAM, do you recall ever hearing that
15 Rambus had or might have intellectual property relating
16 to JEDEC's SDRAM standard?

17 A. No.

18 Q. Did you at some point in time learn that Rambus
19 had or might have or claimed to have intellectual
20 property relating to JEDEC's SDRAM standards?

21 A. Yes.

22 Q. Do you recall when you first heard that?

23 A. It was in 2000, spring, early summertime period.

24 Q. Do you recall how you first learned that Rambus
25 claimed to have intellectual property relating to

1 JEDEC's SDRAM standards?

2 A. Yes, they started suing memory vendors.

3 Q. And how did you learn of that, if you recall?

4 A. I read about it.

5 Q. Where did you read about it, if you recall?

6 A. On the web.

7 Q. And was that the first -- well, strike that.

8 At some point in time, did you have a meeting
9 with or discussion with anyone from Rambus relating to
10 intellectual property, Rambus intellectual property in
11 its relation to the JEDEC standards?

12 A. Yes.

13 Q. Do you recall when that was?

14 A. Again, spring or early summer-ish of 2000.

15 Q. Do you recall one such meeting or was there more
16 than one such meeting in which you interacted with
17 Rambus representatives relating to JEDEC intellectual
18 property?

19 A. Just one meeting.

20 Q. And do you recall where this meeting occurred?

21 A. At AMD.

22 Q. Do you recall what led to that meeting being
23 scheduled?

24 A. Yes, the Rambus representative called me and
25 said that she wanted to have a meeting with me and she

1 also said she was bringing her attorney. Which is their
2 way of saying I should bring my attorney, so I did.

3 Q. And who was the Rambus representative who called
4 you, if you recall?

5 A. Laura Fleming.

6 Q. And in the meeting that later took place, did
7 Laura Fleming attend on behalf of Rambus?

8 A. Yes, Laura attended.

9 Q. Do you recall whether anyone else from Rambus
10 attended?

11 A. Yes, there was an attorney there, and I think
12 there were a few other folks from Rambus there as well.

13 Q. At this meeting, which I believe you said you
14 recall being in the spring of 2000. Is that right?

15 A. Spring/early summer, yes.

16 Q. At this meeting with Laura Fleming and others
17 from Rambus, did anyone else other than you attend on
18 behalf of AMD?

19 A. An AMD attorney.

20 Q. And do you recall the name of that attorney?

21 A. No, I don't, I'm sorry.

22 Q. Anyone else other than the one AMD attorney and
23 yourself from AMD?

24 A. No.

25 Q. Did Rambus at this meeting make any formal

1 presentation to yourself and the AMD attorney?

2 A. Yes. A PowerPoint presentation.

3 Q. Did they leave you with a copy of that
4 PowerPoint presentation, or do you recall?

5 A. I believe they did, yes.

6 Q. Do you recall anything, without getting into any
7 details or substance, but do you recall anything about
8 the PowerPoint presentation?

9 A. Yes.

10 Q. You do?

11 A. Yes.

12 Q. Again, without getting into details, what do you
13 recall?

14 A. Well, there was sort of two big topics they
15 wanted to cover in that, one was our current status of
16 our contract we have with Rambus, and the second one was
17 they were -- they wanted to share with us the fact that
18 they claimed that they had IP related to synchronous
19 DRAM and DDR, they had patents in regard to those two
20 technologies.

21 MR. ROYALL: Your Honor, at this point, I would
22 like to show the witness a document, and it's a document
23 that has been marked by Rambus as in camera and I gave
24 Rambus notice that I planned to do this, but it is I
25 believe an in camera document.

1 MR. ROYALL: Under the protective order, I'm not
2 certain, so maybe as a caution.

3 JUDGE McGUIRE: If you're not certain, then he
4 is going to have to leave. So, I'm sorry, sir, but
5 that's just the way we're going to have to do this. So,
6 Mr. Royall, does everyone else in this courtroom at your
7 table and behind you have access to this evidence under
8 that order, that protective order?

9 MR. ROYALL: One point of clarification, the
10 protective -- the reason I wasn't sure is the protective
11 order refers to outside counsel, but I believe that's
12 outside counsel of the parties. There is one provision
13 of the protective order that was just drawn to my
14 attention that says and such other persons authorized by
15 the producing party. So, if Rambus had no objection to
16 Mr. Heye's attorney being here, he could be present, but
17 that's up to them.

18 JUDGE McGUIRE: Well, it's not really their say.
19 That's fine if he has no objection, Mr. Stone, but it's
20 not his say as to whether it's okay. I'm going to ask
21 you to certify to me that everyone behind you has access
22 under the terms of that order to hear evidence. If you
23 think he is, then he can come back in, but that's up to
24 you.

25 MR. ROYALL: I understand, Your Honor, and

1 everyone in the courtroom on our side is authorized and
2 the only question is I'm just asking whether Rambus
3 would have any objection to Mr. Heye's company's
4 attorney being present, and if they don't have an
5 objection, I think under the protective order he can
6 come in. If they do have a reason to object to that,
7 then --

8 JUDGE MCGUIRE: Mr. Stone, do you have an
9 objection?

10 MR. STONE: The problem, Your Honor, is Mr.
11 Heye's attorney is with a firm that represents Hynix,
12 and I'm opposed to that firm in the private Hynix
13 litigation, and so because of that, I hate to put him in
14 a position where he has ethical constraints on what he
15 and his firm can do.

16 JUDGE MCGUIRE: All right, let's make it very
17 clear.

18 MR. STONE: So I can't agree to it.

19 JUDGE MCGUIRE: From here on out we're treating
20 anything that's being in camera, if it's not clear, then
21 the individuals who we're not sure about, they're going
22 to be excused. Just so there's -- if we're going to
23 err, it's going to be on the side of any caution.

24 MR. ROYALL: Yes, Your Honor, I perfectly
25 understand that and I obviously have no dispute with

1 their position on this.

2 JUDGE McGUIRE: Okay, so are we ready to go
3 then?

4 MR. ROYALL: Yes, Your Honor.

5 (The in camera testimony continued in Volume 19,
6 Part 2, Pages 3883 through 3923, then resumed as
7 follows:)

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 AFTERNOON SESSION

2 (1:27 p.m.)

3 JUDGE MCGUIRE: This hearing is now in order.
4 You may proceed at this time with your inquiry, Mr.
5 Royall.

6 MR. ROYALL: Thank you, Your Honor. Actually,
7 before I go any further, I think at this time I would
8 like to offer into evidence the exhibit that we dealt
9 with in camera, CX-1420.

10 JUDGE MCGUIRE: Objection?

11 MR. STONE: No objection, it just needs to
12 remain in camera, Your Honor.

13 JUDGE MCGUIRE: Right, so entered on that basis.

14 MR. ROYALL: Thank you.

15 (CX Exhibit Number 1420 was admitted into
16 evidence.)

17 FURTHER DIRECT EXAMINATION

18 BY MR. ROYALL:

19 Q. Mr. Heye, did you at some point in 2000 learn
20 that Rambus had commenced asserting patents against
21 memory manufacturers that were business partners of AMD?

22 A. Yes.

23 Q. And how did you learn of that?

24 A. Initially through reading it on the web.

25 Q. When you learned that Rambus was enforcing

1 patents relating to -- and just let's be clear about
2 this, we're talking about Rambus asserting patents
3 against memory manufacturers relating to SDRAM and DDR.
4 Is that right?

5 A. Yes.

6 Q. And when you learned that Rambus was seeking to
7 enforce or was enforcing patents against those memory
8 makers relating to SDRAM and DDR SDRAM memory, did that
9 cause you any concern from the standpoint of AMD's
10 business?

11 A. Yes.

12 Q. I would like, if we could, if you could explain
13 to me or identify for me what, if any, concerns you had
14 from the standpoint of AMD's business relating to Rambus
15 asserting patents against memory manufacturers, and if
16 you could, identify whatever concerns there were, and I
17 can come back and ask you about each separate concern.

18 A. Sure. So, again, to your point there are
19 multiple concerns. The overarching concern was time to
20 market. The second concern was a possible cost
21 disadvantage we might incur in the infrastructure due to
22 the incremental royalty fees.

23 Q. I'm sorry, you say cost disadvantage, what was
24 it you said after that?

25 A. Due to incremental royalty fees.

1 Q. Okay. Any other concerns that you had?

2 A. There was some discussion of possibly changing
3 the specification to work around some of the Rambus
4 patent claims.

5 Q. And when you refer to specification, are you
6 talking about the JEDEC DRAM standards?

7 A. Yes.

8 Q. SDRAM standards?

9 A. Yes.

10 Q. Okay.

11 A. And if that were to occur, that would introduce
12 just a whole host of problems which would have been a
13 major, major concern for AMD.

14 Q. And if I can follow up on that. Other than what
15 you've mentioned, time to market, cost disadvantage,
16 potential for changing the JEDEC specifications, and I
17 understand there's some more you have to say on that,
18 but are there other concerns that you had that you
19 wanted to identify that we could come back and talk
20 about?

21 A. No, because they would be covered as you go
22 through each bullet.

23 Q. Why don't we start actually with the last of the
24 issues that you identified. And again, what I'm asking
25 you about is what concerns did you personally have, if

1 any, and you have identified some concerns, relating to
2 the assertion by Rambus of patents against memory
3 manufacturers relating to SDRAM and DDR SDRAM, and you
4 mentioned that one of those concerns had to do with
5 potential changes in the JEDEC SDRAM standards. Is that
6 right?

7 A. Yes.

8 Q. And can you explain why that was a concern to
9 you, and again, if there are multiple issues and you
10 want to lay them out and identify them, I can come back
11 and ask you about them separately.

12 A. Sure. So, the concerns around that would have
13 been first, it would have taken time to establish the
14 new standards; depending upon what they were, you would
15 have had to change the memory component, the north
16 bridge, possibly both, you would possibly have to change
17 the motherboard. You may possibly have to change the
18 dim, once you've made all those changes, you would have
19 to implement them, of course, then you would have to
20 test -- well, you have to debug them, because again, now
21 you're talking about multiple vendors with multiple
22 different components. That would take time.

23 You would have to revalidate all those modified
24 DIMMs, and again, by DIMMs, I mean both the actual PCB
25 and the memory chips against the north bridge, and by

1 the way, that is a really big deal. We spent a lot of
2 time and effort trying to get that right in the first
3 go-round. And you certainly had potential inventory
4 issues. You had opportunity cost issues.

5 Q. Okay, if I can follow up on what you've said,
6 and if you think of something else, and you identify it
7 for me, I can ask you about that.

8 A. Sure.

9 Q. Now, before we go into these separate concerns,
10 let me ask you this: Were your concerns relating to
11 Rambus asserting patents against memory manufacturers in
12 the 2000 time period?

13 A. Yes.

14 Q. Were those concerns in any way related to AMD's
15 own plans in terms of marketing or launching
16 microprocessors in that time frame?

17 A. Yes. I mean, to the extent that we -- our
18 microprocessors are going to rely on DDR-based systems.
19 Absolutely, the K-7, to take full advantage of the K-7
20 capabilities, you needed to have high performing memory,
21 which DDR was the critical component for that.

22 Q. And I think you said earlier, but the K-7
23 microprocessor which was initially launched in '99 was
24 later -- a later version of the K-7 infrastructure that
25 is the system, virtual system supporting K-7 was

1 launched in did you say some time in 2000, or with DDR
2 memory?

3 A. Yes, the first DDR-based systems came out in

1 specification, and I know that given the relationships
2 the memory vendors have with one another, it's hard to
3 get a consensus of change, and while they may all agree
4 that changes are in the works, odds are against you that
5 it's going to be the same change and you have to try to
6 figure out which change they can all accept, and all of
7 that takes time, and time is something that you don't
8 have in this market.

9 Q. One of the things you mentioned in your answer,
10 and I don't recall your exact words, but it had
11 something to do with given how the memory manufacturers,
12 did you say relate to one another?

13 A. That's a good word.

14 Q. And what do you mean by that?

15 A. Well, they're extremely competitive, and, you
16 know, were -- for example, I'm making this up as I go
17 along, but there could be a -- they could make a
18 change -- a memory vendor could actually have a change
19 that would take let's say two weeks to implement, that
20 change might take ten weeks for the other guy, and vice
21 versa. You know, they may have change B that has a
22 reciprocal problem.

23 So, neither one of them will give ground,
24 because if they give ground to the other and give them a
25 competitive advantage, that's unacceptable to them. So,

1 the memory guys are very, very competitive. Time to the
2 market is very critical to them as it is to AMD and
3 Intel. They don't want to give up any potential
4 competitive edge to one another. It's an extremely
5 competitive market.

6 Q. Now, in terms of changing the JEDEC standards in
7 response to Rambus's patent claims were to take time,
8 and without specifying how much time that might take,
9 but to the extent that were to take some period of time
10 to do.

11 A. Yes.

12 Q. Would that in any way adversely affect your
13 company AMD?

14 A. Absolutely. And again, this is all supposition,
15 so the supposition path we're going down is, again, this
16 was happening in the middle of 2000. We were planning a

1 And one thing we thought of we took all the DDR
2 memory from all the different vendors and we took all
3 sorts of mixing and matching to make sure that all
4 combinations were going to work with our north bridge,
5 and that's a lot of work and a lot of dollars and we
6 were in the process of doing that.

7 And as soon as you change that standard, and I
8 don't know what, you know, because we use the word
9 change, it has to be material, otherwise no one is going
10 to know it's a change, that would impact some level of
11 that whole inertia.

12 Q. Now, let me ask you this: You mentioned earlier
13 that one of your concerns in terms of Rambus asserting
14 patent rights against memory manufacturers had to do
15 with something that you referred to as time to market.

16 A. Yes, sir.

17 Q. What did you mean by that?

18 A. Well, again, our plan was to launch DDR in the
19 fall time frame. At that time frame, at that point,
20 Intel was coming -- I don't know the exact time, but in
21 that time frame, which would be, you know, late 2000,
22 Intel was coming out with their Rambus part, and we knew
23 that that Rambus part with an Intel processor --

24 MR. STONE: Objection, Your Honor, I think this
25 goes beyond the question of what did you mean by that

1 when you said time to market. That was the question.

2 JUDGE McGUIRE: Sustained.

3 MR. ROYALL: Well, I understand, Your Honor --

4 JUDGE McGUIRE: If you want to restate another
5 question, I might let you do that, but he is clearly
6 beyond what the inquiry was.

7 THE WITNESS: I'm sorry.

8 BY MR. ROYALL:

9 Q. Let me ask, Mr. Heye, as best as you can, can
10 you explain to us what you mean by the term "time to
11 market" and just as precisely and clearly as you can
12 explain what you mean by that term.

13 A. Time to market just simply states how long it
14 takes you to get to market with a new product or
15 feature.

16 Q. I'm sorry, how long it takes?

17 A. How long it takes you to bring to market a new
18 product.

19 Q. Okay. Now, why is it that Rambus enforcing
20 patents against memory manufacturers in the 2000 time
21 period relating to DDR and SDRAM caused you to have
22 concerns about AMD's time to market with its
23 microprocessors?

24 A. In the time frame of interest, as I just stated,
25 you know, AMD had -- and the industry had a lot of

1 momentum and were finalizing -- actually finalizing the
2 manufacturing processes to bring us to production in
3 four or five months. Anything that would cause a slip
4 in any of those components would have thereby
5 necessitated that the overall system was going to slip
6 out in time. And thereby impacting our time to market.

7 Q. Okay. And so by that, are you saying that a
8 change in the DDR standard, for instance, JEDEC's DDR
9 standard could disrupt your efforts to release a new
10 version of K-7 supported by DDR memory in October 2000
11 as actually occurred?

12 A. Yes.

13 Q. And how -- do you have any estimate of how long
14 a change in the DDR standard might have delayed the
15 release of a K-7, a new version of K-7 that was
16 supported by a DDR-based infrastructure?

17 MR. STONE: Objection, lacks foundation, calls
18 for speculation.

19 JUDGE McGUIRE: Overruled.

20 BY MR. ROYALL:

21 Q. Thank you.

22 A. A matter of months. Let me give you a quick
23 data point. We typically state that if you change one
24 transistor in let's say a chipset, it's going to take
25 you four to six weeks to get that change to the market.

1 So, it doesn't matter what you're doing, because when
2 you change just one transistor, again, not trying to
3 figure out what the heck we're talking about in terms of
4 actual content, but to change anything, not only does it
5 require the design guys to change something, then you
6 have to generate on the silicon side, you go from there,
7 you develop a what they call a mass set, which is used

1 Q. My next question, Mr. Heye, is focusing on the
2 infrastructure that you laid out for us earlier in DX --
3 I don't know if we said this on the record, but I marked
4 it as DX-30.

5 JUDGE MCGUIRE: Right, DX-30, noted.

6 (DX Exhibit Number 30 was marked for
7 identification.)

8 BY MR. ROYALL:

9 Q. If the DDR memory standard were to change.

10 A. Yes.

11 Q. What other parts of the infrastructure
12 supporting the K-7 microprocessor might also have had to
13 change in response to a change in the DDR memory
14 standard?

15 A. Again, the north bridge may have to change, the
16 physical dim may have to change.

17 Q. And the dim, again, you're referring to the
18 module, memory modules?

19 A. The module that plugs into the motherboard. The
20 motherboard itself may have to change.

21 Q. Anything else?

22 A. Let's see, memory, chipset, dim, motherboard,
23 that's it.

24 Q. What about the BIOS?

25 A. It may have, it depends on the change, it may

1 have to change, that's a good point.

2 Q. I assume that all of this would depend on the
3 precise change?

4 A. The precise change, correct.

5 Q. Now, once those changes were made, would there
6 need to be any debugging process of the sort that you
7 described earlier?

8 A. Absolutely. You just can't -- yes. You would
9 have to debug it.

10 Q. What about the validation process you described
11 earlier?

12 A. You would have to revalidate the whole matrix.

13 Q. Based on your experience and your work at AMD
14 since 1997, do you have any understanding of what the
15 cost of validating a new -- or a revised infrastructure
16 are?

17 A. I would get out to the millions, because you in
18 all likelihood would have to procure a bunch of new
19 silicon, and then it really, it took us months to do the
20 entire check-out of every possible combination of
21 memory, and that's a huge concern.

22 Q. Now, you mentioned earlier when you listed or
23 itemized for me the possibility of the JEDEC standards
24 changing in response to Rambus's patent claims. One of
25 the things which you mentioned was inventory.

1 A. Yes.

2 Q. Can you explain what you meant by that?

3 A. Well, again, the time frame we're talking about,
4 again, if you recall it takes about three and a half to
5 four months from the time a manufacturer starts the
6 silicon to the time it hits production. So, if you want
7 to ship in October, that means you have to start
8 production wafers four months back, and four months from
9 October is June. So, starting eo7Bcs-D

1 Q. Can you explain what you meant in saying that
2 opportunity cost was one of the types of concerns that
3 you had?

4 A. Sure. As I discussed earlier, the change would
5 require a lot of engineers to get involved, and involved
6 in the changing of the JEDEC standard, and that would
7 bring no real value to the industry. They're changing
8 the standard not to make it better, they're changing the
9 standard to avoid litigation with Rambus. Those
10 engineers would actually do what I would consider
11 value-added work to actually improve performance and
12 provide features to the customers.

13 Q. Are you familiar with the term "backward
14 capability?"

15 A. Yes.

16 Q. Does the concept of backward capability, did
17 that have anything to do with the concerns that you had
18 about Rambus enforcing patents against DDR and SDRAM
19 memory?

20 A. Well, absolutely, yes.

21 Q. And can you explain the nature of that concern?

22 A. Well, in terms of SDRAM, there are literally
23 hundreds of millions of systems in the industry. I
24 mean, I -- Intel and AMD-based platforms, over 100
25 million were sold per year for multiple years had

1 synchronous DRAM in there. The expectation of the user
2 who buys that BC is that at some time in the future they
3 want to upgrade their memory, they can go buy some
4 PC-100 memory and plug it in. If you change that
5 standard, and that memory no longer works for your
6 existing PC, that's a problem.

7 Q. Now, are you finished?

8 A. I'm done, yes.

9 JUDGE McGUIRE: Yes.

10 BY MR. ROYALL:

11 Q. Now, one other thing that I wrote down that you
12 said earlier, in terms of concerns that you had in how
13 AMD could be impacted by a change in the DDR memory
14 standard in 2000 had to do with cost disadvantage. And
15 then you mentioned something about increased royalty
16 fees. Do you recall that?

17 A. Yes.

18 Q. Can you explain what you meant by that?

19 A. Well, again, it's just you're adding incremental
20 cost to your base -- to the commodity part. And
21 typically system vendors have a certain cost they're
22 going to pay for the hardware and if one component
23 commodity starts going up, they try to take the cost of
24 other components, like microprocessor pricing. So, you
25 know, the goal is to always maintain the lowest possible

1 cost structure of the system.

2 Q. Are you saying that you had the concern with the
3 potential for Rambus to charge royalties and thereby
4 raise the cost of memory manufacturers?

5 A. Memory manufacturers and also the chipset
6 manufacturers, because they were -- there's a double
7 taxation.

8 Q. What do you mean by double taxation?

9 A. It means you had to pay for the rights to the
10 Rambus on both ends of the bus, which means that the
11 chipset vendors had to pay more, had to pay the royalty,
12 and the microprocessor -- and the memory manufacturers
13 had to pay royalty. So, you had to pay royalties on
14 both sides.

15 Q. And did your concerns about Rambus asserting
16 patents against DDR and SDRAM have anything to do with
17 AMD's competitive position compared to Intel?

18 A. Yes.

19 Q. And in what way was that a factor in your
20 thinking relating to this issue?

21 A. Well, it gets back to time to market, anything
22 that impacts time to market would put it -- would mean
23 that Intel would come out before we did with a
24 competitive platform, which again would put us at a
25 competitive disadvantage vis-a-vis Intel and its

1 platforms.

2 Q. Now, after you learned that Rambus was asserting
3 patents against memory manufacturers relating to SDRAM
4 and DDR SDRAM.

5 A. Yes.

6 Q. Did you at any point express the sorts of
7 concerns that you've testified about this afternoon
8 directly to the memory manufacturers that AMD worked
9 with?

10 A. Yes.

11 Q. When was that?

12 A. Summer or fall time frame.

13 Q. Of 2000?

14 A. 2000, I don't remember the exact time.

15 Q. And did you meet collectively with memory
16 manufacturers to talk about this issue?

17 A. No.

18 Q. You met individually with memory manufacturers?

19 A. Yes.

20 Q. Which ones?

21 A. Elpida, Samsung, Infineon, those were the three
22 that I personally talked to.

23 Q. And you expressed concerns about the way in
24 which changes to the DDR or SDRAM standards could impact
25 AMD. Is that your testimony?

1 A. Yes.

2 Q. Did you ask these memory manufacturers to do
3 anything or did you encourage them to do anything
4 relating to this issue?

5 A. Well, my number one concern was to make sure
6 that they maintained -- I'm trying to form it -- I
7 wanted to make sure that in October of 2000 there would
8 be a supply of memories in the market, and I went around
9 to find out from an AMD perspective that we were going
10 to do the AMD chipset that worked with DDR, we were
11 still working with motherboard vendors to do DDR-based
12 motherboards and it was my expectation that there would
13 be a viable infrastructure for DDR in the fall of 2000.
14 And I wanted them to participate in that infrastructure.

15 Q. Now, as we've been discussing these various
16 concerns, we've been primarily focused on DDR, what
17 about SDRAM, I don't mean to go back over the whole
18 territory, but did you have concerns also about the
19 potential for Rambus's patent claims to result in
20 changes to the SDRAM standard as opposed to DDR?

21 A. Yes.

22 Q. And did those concerns differ in any way from
23 the concerns you had about potential changes in the DDR
24 standard?

25 A. Yes.

1 Q. How did they differ?

2 A. PC-100 was in existence, it was -- it was a huge
3 installed base. There was a huge -- the infrastructure
4 was alive, well, and established. The DDR
5 infrastructure was in its infancy, it was just
6 beginning, it hadn't been established in the
7 marketplace, and the concern I had was that this could
8 be a defocusing activity to actually establish DDR in
9 the marketplace.

10 Q. How many microprocessors does AMD, in terms of
11 current numbers, manufacture and sell today, if you
12 know?

13 A. Roughly seven and a half to eight million
14 microprocessors per quarter.

15 Q. Seven and a half to eight million per quarter?

16 A. Yes.

17 Q. Do you know what percentage of those seven and a
18 half to eight million AMD microprocessors today use the
19 DDR standard established by JEDEC?

20 A. 100 percent.

21 Q. If the JEDEC standard for DDR were to change
22 today, would that have any impact on AMD, or do you have
23 any concerns that it might have an impact on AMD?

24 A. It would have a very big impact on the whole PC
25 industry, including AMD, yes.

1 Q. And how would that compare, if you have any
2 views on this, to the nature of the impact that there
3 would have been on AMD had the DDR standard been changed
4 in 2000?

5 A. Now it's more the conversation of the PC-100
6 spec, because Intel right now has also adopted DDR as
7 its preferable memory choice, so again, you have the
8 vast majority of all PCs sold in the world today use DDR
9 as its memory, and now you do have a huge installed
10 base, now discussions of backwards capability come into

1 rigorous and test the whole microprocessor in case you
2 made a mistake. So, it would take a lot of time and
3 effort. It would cause a disruption for K-8.

4 MR. ROYALL: Your Honor, I don't have any
5 further questions at this time.

6 JUDGE MCGUIRE: All right, thank you, Mr.
7 Royall. You may cross examine, Mr. Stone.

8 MR. STONE: Thank you, Your Honor.

9 CROSS EXAMINATION

10 BY MR. STONE:

11 Q. Good afternoon, Mr. Heye.

12 A. Good afternoon.

13 Q. Earlier when we were in the in camera session, I
14 asked a couple of foundation questions that I said that
15 I would come back to and put on the record when we
16 weren't in camera.

17 A. Yes, sir.

18 Q. The first one was the license agreement between
19 Rambus and AMD, you signed that document, didn't you?

20 A. Yes, sir.

21 Q. And you had a meeting, I think you testified
22 before we went into the in camera session, with Rambus
23 representatives in April of 2000?

24 A. Yes.

25 Q. And it was shortly prior to that meeting that

1 you notified Rambus that AMD had made the decision to
2 use DDR, correct?

3 A. It was --

4 MR. ROYALL: Your Honor, I don't know that
5 there's foundation for that question.

6 MR. STONE: Your Honor, I --

7 JUDGE McGUIRE: Overruled. Go ahead.

8 BY MR. STONE:

9 Q. Do you have the question in mind, Mr. Heye?

10 A. Yeah. I'm not sure about the word shortly, but
11 we had informed Rambus, I don't know exactly the time
12 frame relative to when.

13 Q. Within a few months prior to that meeting?

14 A. Months, yes.

15 Q. And as soon as you -- within a few months, then,
16 after you told Rambus that you were going to use DDR
17 instead of RDRAM, Rambus came to you and said, well, we
18 think that would infringe on some of our patents, didn't
19 they?

20 A. Yes.

21 Q. When you made the decision to go to DDR, that
22 was some time in the fall or summer of 1998, correct?

23 A. Yes.

24 Q. And you met with Mr. Sanders at that time?

25 A. Yes.

1 Q. And he was the CEO?

2 A. Yes.

3 Q. And the two of you made a decision in the summer
4 or fall of 1998 to go to DDR and not use RDRAM, correct?

5 A. Correct.

6 Q. And you waited a year and a half or so to tell
7 Rambus of that decision, correct?

8 A. I -- I'm not sure of the question, I did say
9 months, but here's what I do not remember. I do not
10 remember precisely when I told Rambus of our decision to
11 go to DDR. It had to be -- they would have known about
12 that decision as soon as we transitioned from doing the
13 north bridge for supporting Rambus to DDR, so they would
14 have to know within months of me making that decision
15 that we were changing our minds, because we would
16 have -- so, after going through the time line with you
17 right now, you're correct, I told Rambus within a couple
18 of months, it had to be within a couple of months of
19 that decision that we were going DDR, because of the
20 engineer effort.

21 Q. I'm not sure that now I understand. I want to
22 make sure we're clear on this.

23 A. Yeah.

24 Q. You told me earlier that it was some time within
25 a few months of your meeting in April of 2000 that you

1 told Rambus that you were going to go to DDR and Rambus
2 then came to you and said that the use of DDR infringes
3 on our patents.

4 A. I misspoke.

5 Q. So, you're now telling me that you told Rambus
6 much earlier than that?

7 A. I'm telling you that -- and I'm hesitant to give
8 you months, because I'm not clear. I can tell you in
9 terms of sequence of events. Upon making the decision
10 to go to DDR, we transitioned the team from doing a
11 Rambus-based north bridge to a DDR-based north bridge.
12 That event would have triggered us telling Rambus of
13 that decision, because at that point, you know, they're
14 meeting with the engineering team quite a bit. Those
15 meetings terminated.

16 Q. Well, didn't you have two separate teams?
17 Didn't you have one team working on the DDR north bridge
18 and one team working on the Rambus north bridge?

19 A. We had -- we had one team working on -- we
20 had -- we kept on going with the test chip for Rambus to
21 keep on -- we kept on going on the technology thing just
22 quite frankly the test chip -- I'm hesitant -- what I'm
23 hesitating on is the term "design team." We had a
24 full-fledged design team for the north bridge for DDR.
25 We had some design engineers working -- if you recall I

1 said the first thing we were doing was a test chip for
 2 Rambus. That test chip team didn't disappear when we
 3 started focusing on the north bridge activity on DDR.
 4 We spent some time on that test chip. Now, I have to be
 5 honest with you, I don't remember exactly what we
 6 terminated that test chip activity.

7 Q. But it was not until after you terminated the
 8 test chip activity that you told Rambus of your
 9 decision, right?

10 A. I don't remember. I honestly don't. I'm sorry,
 11 I don't remember.

12 Q. Let me make sure if I can get one date accurate.

13 A. Sure.

14 Q. You did make the decision to go from Rambus to
 15 DDR in the summer of 1998?

16 A. Yes.

17 Q. And Rambus came to you some of time after you
 18 notifying them of your decision in April of 2000 and

19 htmFron in Aprithat you tol-pT* t,t onnfrur ur , c ec 10

17 Q. 2ou did make the dc uour tobackf til998 you somhat, c

1 Q. You could negotiate a license with Rambus,
2 correct?

3 A. Yes.

4 Q. And you could go to the memory manufacturers and
5 see if they thought it was going to be a problem?
6 Right?

7 A. I could go and see if what was a problem, I'm
8 sorry?

9 Q. To see if the fact of the use of DDR might
10 infringe on Rambus patents was going to be a problem.

11 A. Yes.

12 Q. And so the first thing you did was you went and
13 met with three memory manufacturers yourself, correct?

14 A. The first thing I did, as opposed to the other
15 two?

16 Q. Yeah, you didn't try to negotiate a license
17 agreement with Rambus, did you?

18 A. For DDR, no, I did not.

19 Q. And you didn't switch back to the RDRAM.

20 A. So then we did a -- right, so we -- correct, I
21 went around to the memory vendors, that's right.

22 Q. And you went and met with Elpida.

23 A. Yes.

24 Q. And Samsung?

25 A. Yes.

1 Q. And Infineon?

2 A. Yes.

3 Q. And in the course of those meetings, did Elpida
4 tell you that they had a license from Rambus for DDR so
5 there weren't any problems, they would manufacture DDR
6 as long as you wanted it?

7 A. No, my -- my recollection of the timing of that
8 meeting was that Elpida was considering, although had
9 not decided, at that moment in time, if they were going
10 to sign a license agreement for getting the DDR IP.

11 Q. And they later did, correct?

12 A. They later did, yes.

13 Q. And you were pleased when they signed the
14 license agreement?

15 A. I was ambivalent.

16 Q. Okay. And what did Samsung tell you? Did they
17 tell you that they had signed a license agreement?

18 A. No, at the time, they hadn't signed it either.

19 Q. But they told you they expected to?

20 A. They didn't tell me that either. They said they
21 were considering it.

22 Q. And they ultimately did sign?

23 A. Correct.

24 Q. And Infineon, to your knowledge, did not sign,
25 correct?

1 A. At the time we talked to Infineon, they said
2 they disagreed with some of the IP claims and they were
3 going to challenge them in court.

4 Q. And Infineon told you that they thought the
5 patents were invalid, didn't they?

6 A. I'm not sure they used those words. Again, I
7 don't recall the specifics of the conversation. The
8 specifics I take away was they ha4(r n't

4 Q10 And Infineon to nAa9jfs1 wi court.

1 MR. ROYALL: Do you have a copy?

2 MR. STONE: I do not, because it was not scanned
3 by complaint counsel when they gave it to us, so all I
4 can do is show it on the ELMO.

5 MR. ROYALL: This is, I take it, not on the
6 exhibit list?

7 MR. STONE: It's on complaint counsel's exhibit
8 list, CX-2164.

9 BY MR. STONE:

10 Q. Do you recognize the cover of this as the AMD
11 annual report for 2001?

12 A. Actually, the picture I'm seeing it looks like
13 an annual report cover, I can't see, you're on it, so I
14 can't really say that it's on 2001.

15 Q. Let me zoom down on the year and show you.

16 JUDGE MCGUIRE: Be careful there, Mr. Stone.

17 THE WITNESS: Yes, it says Annual Report 2000,
18 so I'm sure that's it, yes.

19 BY MR. STONE:

20 Q. Let me go back, I want to turn you to one
21 provision of this, the one I've labeled with patents,
22 and you'll see, I'm going to give you my hard copy.

23 A. Thank you.

24 Q. But I just want to show you where it is on this,
25 so we all have it, it's page 23 of the document.

1 A. Right.

2 Q. And it starts in the lower, left-hand column,
3 "We may not be able to adequately protect our technology
4 or other intellectual property in the United States and
5 abroad through patents, copyrights, trade secrets,
6 trademarks and other measures." Do you see that?

7 A. Yes, sir.

8 Q. And then on the carry-on up here, it says, what
9 I want to direct your attention to, is the paragraph
10 that begins, "From times immemorial, from
11 times immemorial we have been notified that we may be
12 infringe intellectual property rights of others. If
13 any such claims be supported against us, we may seek
14 to obtain a license under the third party's intellectual
15 property rights. When we cannot assure you that all
16 necessary licenses can be obtained on satisfactory
17 terms, if at all. When we could decide in the alternative
18 to resort to litigation to challenge such claims. Such
19 challenges could be extremely expensive and
20 consuming, and could have a material adverse effect
21 on us. When we cannot assure you that litigation related
22 to intellectual property rights of others will
23 always be avoidable or successfully concluded,
24 Do you see the language?

25 A. Yer.

1 Q. Now, did you in 2000 --

2 JUDGE McGUIRE: You may approach, Mr. Stone.

3 MR. STONE: I'm sorry, Your Honor.

4 I just wanted to let you see in case you wanted
5 to see the language.

6 THE WITNESS: Thank you.

7 BY MR. STONE:

8 Q. If it helps you. Did you in April of 2000 or
9 thereafter seek to obtain a license from Rambus for any
10 of its intellectual property in the event that anything
11 AMD was doing might infringe on Rambus's patents?

12 MR. ROYALL: Could I ask for clarification
13 whether this is a question as to what AMD did as opposed
14 to what Mr. Heye individually did?

15 MR. STONE: Yes, it is about what AMD did.

1 against AMD, AMD was going to litigate?

2 A. I don't know.

3 Q. Did you make a decision one way or the other to
4 either seek a license or choose to litigate?

5 A. No.

6 Q. What decision, if any, did you make after Rambus
7 notified you about their patents?

8 A. I mean, our counsel, you know, our lawyers were
9 given those patent numbers and were looking into it, and
10 then after that, that was on the part of our general
11 counsel office.

12 MR. ROYALL: Your Honor, I would just caution
13 the witness to be careful about privileged issues.

14 MR. STONE: I am glad that he is represented by
15 his own lawyer and Mr. Royall to caution him.

16 MR. ROYALL: Your Honor, I would ask that that
17 statement be stricken from the record, and I do not
18 appreciate those type of statements.

19 MR. STONE: Your Honor, I am not seeking
20 anything that's attorney/client from this witness.

1 AMD might be infringing on Rambus's patents.

2 A. Yes, sir.

3 Q. Did AMD suffer any adverse economic
4 consequences?

5 MR. ROYALL: I object as vague and ambiguous as
6 to whether these are consequences relating to that or
7 something else.

1 Q. When the K-7 was introduced, it was introducing
2 SDRAM, am I right?

3 A. The initial chipset that supported Athlon was
4 SDRAM-based, yes, sir.

5 Q. And it was a PC-100?

6 A. PC-100, that's correct.

7 Q. And then later you switched from the use of a
8 PC-100 to DDR, correct?

9 A. That's correct.

10 MR. ROYALL: Your Honor, I believe that
11 misstates the record, I believe the witness testified
12 earlier today that there was PC-133.

13 MR. STONE: Whatever the witness thinks is the
14 truth is what I would like.

15 MR. ROYALL: Well, I object, it misstates the
16 record.

17 JUDGE MCGUIRE: Well, if it misstates the
18 record, restate.

19 MR. STONE: I don't know whether it does.

20 JUDGE MCGUIRE: Well, we can ask Mr. Heye
21 whether he can so indicate and whether that was your
22 true and accurate testimony on that point.

23 BY MR. STONE:

24 Q. Let me do it this way, Mr. Heye.

25 A. Sure.

1 Q. When the K-7 was introduced, it used SDRAM?

2 A. Let me refresh my memory, when you're referring
3 to it as opposed to the K-7, that's a misnomer, if you
4 go back to the chart, the very first systems that
5 supported K-7 were based off PC-100 memory, yes, that's
6 correct.

7 Q. And who designed the memory system that was used
8 with the K-7?

9 A. The first north bridge for K-7 was by AMD and
10 that was PC-100 based, that's correct.

11 Q. And who designed the second north bridge?

12 A. The second north bridge came from a company
13 called VIA and that supported both PC-100 and PC-133.

14 Q. And who designed the third north bridge?

15 A. Either ALI or SiS, I don't know which one.
16 Capital S, smrte randnietal 3.

7 Q. m, I correcd that theonlyd north bridge that was
8 designed by AMD for us with the K-t was designed or us
9 PC-10e?

205 A. Noat theonlyt, the firs3.

211 Q. Okayt, the firs,A and then yo lather designed
212 anoither one?

235 A. Yes3.

214 Q. And when was thae?

252 A. That was the--7 w launchend thatptroduts i 6

1 October of 2000 and that was the north bridge that
2 supported DDR memory.

3 Q. Okay. So, the first north bridge designed by

Atoberatjcoveay y.croingberrst nortingbupj

3.033767

1 through the time it's introduced into the market?

2 A. Yes, through the design, yes.

3 Q. And the next one that was designed by AMD for
4 use on the K-7 with the DDR north bridge was started
5 when?

6 A. In the '98 time frame.

7 Q. Oknf'

1 A. That is correct.

2 Q. And when you switched from the design for a
3 PC-100 compatible north bridge to the design for a
4 DDR-compatible north bridge, you had to switch some
5 things other than just the north bridge, didn't you?

6 A. From a system point of view?

7 Q. Yes.

8 A. Oh, absolutely, yes.

9 Q. So, you undertook to change the motherboard?

10 A. Yes.

11 Q. And what else did you change?

12 A. Again, I just want to caution, when you say you
13 changed, I would like to say, the changes that were
14 required to implement the infrastructure, which is
15 different than Rich Heye or AMD changing, but the
16 memory, obviously the DDR memory is different from
17 PC-100 memory, so the memory vendors had to have
18 different memory. The dim standard is different for
19 synchronous DRAM versus DDR, the motherboard is
20 different and the north bridge is different. So, those
21 all had to change and we facilitated that as opposed to
22 actually doing.

23 Q. Now, when the change was made in the north
24 bridge used with the K-7 to go from a PC-100 compatible
25 product to a PC-133 compatible product, which you said

1 was done by VIA.

2 A. Yes.

3 Q. What changes were necessitated by that?

4 A. The VIA chipset required a different
5 motherboard. That is the same -- the dim structure and
6 the sockets were the same for PC-100 and PC-133.

7 Q. So, a new north bridge and a new motherboard?

8 A. Correct.

9 Q. One of the things you told us -- may I approach,
10 Your Honor?

11 JUDGE MCGUIRE: Yes.

12 BY MR. STONE:

13 Q. One of the things you talked about with Mr.
14 Royall was whether the BIOS had to change. Do you
15 recall that?

16 A. Yes, I did.

17 Q. Did the BIOS change when VIA designed the
18 PC-133-compatible north bridge?

19 A. The BIOS had to change with the VIA chipset,
20 that's correct.

21 Q. And did the BIOS change again when AMD designed
22 the DDR-compatible north bridge?

23 A. Yes.

24 Q. And you told us earlier today, I think, that
25 BIOS stands for binary input output software, correct?

1 you. It's to enable these connectors, that's right.

2 Q. And the PCI Bus that you persuaded Apple to use,
3 you told us you had to get management approval at Apple
4 to do that.

5 A. Yes, sir.

6 Q. Because Apple was in the process of making a big
7 change while you were there from doing everything on an
8 Apple proprietary system to trying to become more PC
9 compatible, correct?

10 A. No. No, what I said was, I didn't say that at
11 all.

12 Q. No, I didn't say you said that, I said while you
13 were at Apple, Apple was in the process of making the
14 change from relying on Apple proprietary systems to more
15 PC compatible systems.

16 A. No, I didn't say PC compatible, what I would say
17 is that Apple was on its peripheral card strategy, was
18 adopting the same interconnect as the PC industry so it
19 could leverage the PC -- the PC infrastructure for its
20 I/O cards. The Mac is not very PC-centric.

21 Q. And it was trying to make the peripherals a part
22 of that product more PC compatible. Is that a fair
23 statement with which you could agree?

24 A. Yeah, the way I would phrase it is that, like I
25 said before, the way I would phrase it, I guess we can

1 A. It was important to me at the time that the PCI
2 Bus was part of an open standards body.

3 Q. And it was important to you at the time that you
4 would also have inneroperability for a lot of products
5 with that bus, correct?

6 A. That's correct.

7 Q. And when you went to get management approval at
8 Apple, who did you go?

9 A. A gentleman by the name of Eric Haslem
10 [phonetic].

11 Q. And was that as high as you had to go? Did you
12 have to go to -- was Mr. Skully in charge of Apple at
13 the time?

14 A. Yes, Mr. Skully was in charge of the company,
15 and I never personally talked to Skully about that
16 decision. Whether Eric Haslem did or not, I have no
17 knowledge.

18 Q. You described earlier the PCI consortium as
19 something that you wanted to be part of the inner
20 sanctum. Do you recall that?

21 A. Yes, sir.

22 Q. And your concern during the time you were at
23 Apple was that if you weren't part of that consortium
24 that the group might do things that were contrary to
25 your competitive interests, correct?

1 A. Yes.

2 Q. One of the things that you were afraid was that
3 they would know things about the design or specification
4 before you would?

5 A. Yes.

6 Q. And when you agreed with Rambus to license the
7 Rambus technology, did you get access to all of that
8 technology?

9 A. Define "all that technology," please.

10 Q. If I can approach.

11 JUDGE MCGUIRE: Yes.

12 BY MR. STONE:

13 Q. You told us earlier that Rambus gave you what
14 you needed to plug into the north bridge design so that
15 the interface with the Rambus DRAM would work, correct?

16 A. Yes.

17 Q. And they provided you with that technology,
18 correct?

19 A. Yes.

20 Q. In the form of essentially drawings or a
21 computer data that could be used in the design, right?

22 A. Yes.

23 Q. And you also understood that that information
24 had been made available to Intel, correct?

25 A. Yes.

1 Q. And between AMD and Intel, that accounts for,
2 what, 90 percent of the microprocessor market?

3 A. Yes.

4 Q. So, did you understand at the time that the two
5 players in the microprocessor market both had access to
6 the Rambus technology that they needed to design north
7 bridge portions of the chipset to work with the RDRAM
8 product?

9 A. I understood that Intel -- actually, I didn't
10 know that Intel had, I made that -- Rambus would not
11 tell me precisely what was given to Intel, so it's hard
12 for me to tell you what Intel had, and the concern that
13 I had was always that Intel had more than I did.

14 Q. You were concerned that Intel might have more
15 than you and you were concerned that Intel might somehow
16 be paying less for it than you were?

17 A. Yes, sir.

18 Q. And the concern that Intel might be getting a
19 competitive advantage over you was a big worry?

20 A. It was a worry, yes.

21 Q. And one of the reasons you wanted to go out and
22 support what you called Team DDR was that you wanted to
23 have a product where you were part of the inner sanctum?
24 Correct?

25 A. No, the real reason I went with DDR, quite

1 manufacturers would show the level of detail and
2 specificity of their roadmaps that they shared with me
3 to the general public. All had roadmaps on the web pages
4 so of course the roadmap is out there in the public
5 domain, but the level of detail that they were sharing
6 with AMD was greater than the public statements.

7 Q. And you didn't think that the DRAM manufacturers
8 would get together and share cost information either,
9 did you?

10 A. Again, it's all the level and degree. I knew
11 that there were manufacturers -- it's all degree. First
12 of all, I have no idea what DRAM manufacturers' state of
13 mind are, so that's the short answer, I just don't know.

14 Q. And earlier when you told us that you understood
15 that the memory manufacturers were competing with each
16 other, you don't know whether or not the memory
17 manufacturers, in fact, have agreed on certain things,
18 do you?

19 MR. ROYALL: Your Honor, I object to this on
20 relevance grounds. The witness had mentioned something
21 about why he chose to meet with memory manufacturers on
22 an individual basis, he did not get into the sorts of
23 issues that Mr. Stone is now attempting to probe in his
24 cross examination.

25 JUDGE McGUIRE: Mr. Stone, response?

1 MR. STONE: Yes, Your Honor. The witness has
2 said that he views the market as competitive. He has no
3 foundation or basis for saying that. I want to bring
4 out that he doesn't know whether they're competing or
5 whether they're conspiring. I don't expect that he
6 knows either way, but his testimony shouldn't stand that
7 he uses them --

8 JUDGE McGUIRE: Overruled, Mr. Royall, I will
9 hear the question.

10 THE WITNESS: I'm sorry.

11 BY MR. STONE:

12 Q. Do you have the question in mind?

13 A. Please rephrase it, please.

14 Q. Let me see if I can ask it this way: You don't
15 know, do you, Mr. Heye, whether memory manufacturers
16 have gotten together and agreed on anything that might
17 impact the sale of memory products one way or the other?

18 A. That's correct, I don't know first hand that to
19 be true.

20 Q. Was there a K-7 product or an Athlon product
21 known as the XP?

22 A. Yes.

23 Q. And what was distinguished that from other
24 Athlon products?

25 A. Let's see, the XP had -- I believe that's when

1 we first came out with 333 megahertz front side bus, I
2 think that's the most distinguishing factor. It had a
3 333 front side bus -- I mean, one of the biggest
4 differences on the XP was that it is a first-time we
5 changed our nomenclature from megahertz to model
6 numbers, so that was the way we -- that was the way we
7 measured our performance change in the XP.

8 Q. And what memory would the Athlon Xp port with?

9 A. DDR.

10 Q. And was that a chipset designed by AMD?

11 A. When XP came out, there were chipsets from AMD,
12 VIA, I think SiS and I believe nVidia at that time, all
13 had DDR memory. I'm not sure about nVidia, they have
14 memory today, I'm not sure when that came out.

15 Q. The RDRAM product that you signed the license
16 agreement with Rambus to license their technology, you
17 knew at the time that that was not standardized by

18 JEDEC, ceprtRolobggensXp port co thaupway we -- thss2hnosTi chip

1 A. Yes, sir.

2 Q. And you knew at the time that you were at Apple
3 that much of the Apple system was proprietary to Apple
4 and not standardized by JEDEC, right?

5 A. Absolutely.

6 Q. And the microprocessor that is designed by AMD
7 today, it's proprietary to AMD, isn't it?

8 A. Yes.

9 Q. It's not standardized by anyone?

10 A. It's proprietary.

11 Q. And it's covered by AMD patents?

12 A. And a cross patent license, yes, and a cross
13 patent license.

14 Q. And if Intel, for example, tried to come out
15 with an identical version of the microprocessor that AMD
16 is selling today, that is something that you would think
17 they are not entitled to?

18 A. That gets down to the fact that we have a cross
19 patent license with Intel and I am not an expert on that
20 license, so I am the wrong person to have on the stand
21 with respect to that question.

22 Q. I'm not going to push that if you don't know the
23 answer. You told us earlier that the reason why you
24 switched from producing Intel compatible
25 microprocessors, correct?

1 A. We have to be real clear on the English on that
2 one.

3 Q. If I can go back and if I can approach and just
4 point you to this.

5 JUDGE MCGUIRE: Yes.

6 BY MR. STONE:

7 Q. I think when we were talking on Mr. Royall's
8 examination of you about the K-6 as a product that was
9 or was not one that could be just put into a motherboard
10 in place of an Intel microprocessor.

11 A. That's correct.

12 Q. It could be?

13 A. The K-6 could be.

14 Q. Okay. And so what I'm saying, I'm probably
15 using the words compatible the wrong way.

16 A. Yes, you're making that -- again, the K-7 front
17 side bus was not compatible with the Intel front side
18 bus at the time, whereas the K-6 was, correct.

19 Q. And the reason AMD moved to the use of a front
20 side bus that was not compatible at Intel, was because
21 of patent litigation and other intellectual property
22 litigation with Intel, correct?

23 MR. ROYALL: Objection, Your Honor, I believe
24 this misstates the witness' prior testimony and there's
25 no foundation for it.

1 BY MR. STONE:

2 Q. Sure, let me -- I don't mean to misstate, let me
3 just ask. Why was it, to your knowledge, if you know,
4 that when AMD went from the K-6 to the K-7, they went to
5 a front side bus which was not compatible with Intel's?

6 A. It had to do with our cross patent license. I'm
7 not sure there was any litigation involved. I mean, we
8 had a -- it was a license negotiation and part of that
9 negotiation was that the requirement was that we could

10 was aD0wsn2o aDudsenN 9 negotit 10 nh our

1 mi crN 9 negotit Grmt8te 7 not not not notthadrcOk

1 A. Chipset, no.

2 Q. Motherboard?

3 A. The motherboard, that's true.

4 Q. Let me show you, if I can, a couple of documents
5 on the ADT issue that you were asked about earlier.

6 May I approach, Your Honor?

7 JUDGE MCGUIRE: Yes.

8 BY MR. STONE:

9 Q. Let me show you RX-1879.

10 A. Thank you.

11 Q. And if you can bring up whatever you can of the
12 header part of this. Do you see at the top that this is
13 a email that bears the date of August 17th, 2001 and
14 it's addressed to Levi Murray and Richard Heye?

15 A. Yes, sir.

16 Q. And Levi Murray is someone who works with you,
17 correct?

18 A. Yes, sir.

19 Q. And who is it from?

20 A. Quddus Mian.

21 Q. I was hoping that you could pronounce it and I
22 could avoid it.

23 A. No.

24 Q. Mian Quddus?

25 A. I'll take your word for it.

1 Q. What I want to direct your attention to is it
2 refers down below, if we can go back to the document,
3 there's a heading, Attendees.

4 A. Yes.

5 Q. And it shows, Attendees, AMD, Richard Heye, Levi
6 Murray and Samsung, that's what SSI stands for, right,
7 Samsung?

8 A. Yes.

9 Q. Dieter Mackiewicz and Mian Quddus. Anyway, we
10 see the names.

11 A. Sure, I see the names.

12 Q. And right under the summary, if you could go to
13 the summary and just pull up that first paragraph under
14 summary. It reports in this email that "Richard Heye
15 stated that AMD has about 25 percent of the CPU market
16 and their target is to be approximately 30 percent
17 soon." Is that a correct statement of what you would
18 have said or did say about AMD's market share in August
19 of 2001?

20 A. Yes.

21 Q. And then help me just reconcile that with the
22 numbers you gave us earlier that AMD's market share I
23 think you told us earlier was over desktops and mobile
24 about 17 percent.

25 A. Right. So, my comments would have been in

1 reference to desktop, although they didn't capture the
2 desktop in their summary. And the only thing, too, was
3 I was being a bit bullish, AMD can get about as high as
4 20 percent desktop and it has gotten down to about 15
5 percent now. So, I was being somewhat rounded to 25
6 percent. I wasn't sworn to -- I was being a bit

1 A. Absolutely.

2 Q. And that's why you made a strong effort to get
3 into ADT as a developer, right?

4 A. Yes.

5 Q. And you wanted to -- they finally let you on the
6 board, did they, or not?

7 A. No. Never did.

8 Q. Never let you in?

9 A. No.

10 Q. One other document I want to show you with
11 respect to ADT, if I can, and this is RX-2106.

12 May I approach, Your Honor?

13 JUDGE MCGUIRE: You may.

14 BY MR. STONE:

15 Q. And you'll notice this document talks about an
16 ADT meeting with Intel, and it shows certain attendees
17 and not you. Do you see that?

18 A. Yes.

19 MR. ROYALL: Well, Your Honor, if I can
20 interject, I would ask that Mr. Stone, before he asks
21 any questions about this, establish a foundation that
22 this witness has seen this document before. Otherwise,
23 I don't think it's appropriate to be asked about it
24 along the lines of what I understand the questions have
25 been recently.

1 JUDGE McGUIRE: Lay a foundation, Mr. Stone.

2 BY MR. STONE:

3 Q. I will, Your Honor.

4 And you'll notice that it's addressed to
5 distribution.

6 A. Yes.

7 Q. But there's no distribution list attached to
8 this document.

9 A. Yes.

10 Q. At least I couldn't find one. Is this a
11 document that in the ordinary course at AMD you would
12 have seen?

13 A. Well, I've got to read it a little more. I
14 mean, in general, Levi had gone to dozens and dozens of
15 meetings that I didn't always get the minutes.
16 Depending upon the comment and what they wanted me to
17 see, they could have sent it to me. Let's put it this
18 way: I don't have a recollection of reading this
19 document. I'm not saying I never got it, I just don't
20 remember reading it. So, I would have to make a

1 thing.

2 Q. Sure, whatever time you need.

3 A. Can I ask who sent this memo? There's no from
4 either.

5 Q. I don't know. It was produced to us by AMD.
6 You'll notice the AMD-0137 number.

7 A. Yes.

8 Q. But I can't tell you beyond that.

9 A. Okay.

10 Q. Is that jogging your recollection at all, Mr.
11 Heye?

12 A. Well, let me get back, to answer your question
13 is this something that they would have sent me, this is
14 a -- I'll give it a 50/50, something they could have
15 sent me. Something out of the -- it's not so mundane
16 where if they sent it to me -- it's not something so
17 mundane that would be sent to a vice president. At this
18 level ADT stuff, they may have sent it to me,
19 absolutely.

20 Q. But you don't have any recollection one way or
21 the other?

22 A. I certainly have read documents about ADT, so it
23 certainly may be a document that I have seen.

24 Q. Independent of this document, let me ask you a
25 couple of questions.

1 A. Sure.

2 Q. Was it your understanding that if -- that if AMD
3 was not permitted to join ADT.

1 my knowledge, and in fact he mentions here, that, you
2 know, royalties was not part of ADT, that ADT was
3 explicitly trying to get around the royalty of the
4 Rambus patent. So, I can read that if you give me just
5 one second. It said, i.e. did not like the Rambus
6 model.

7 Q. Right.

8 A. So, royalty wasn't an issue, it was time to
9 market.

10 Q. Let me ask you if you would to just look to see
11 if it jogs your recollection, at the bottom of page 3,
12 question number 10, and the top of page 4, answer number
13 10. You'll see where it says, "Royalty bearing, license
14 to AMD versus non-royalty bearing (AMD's license) uneven
15 treatment of IP." And then the answer, on the top of
16 page 4, "Participants agree to license their technology,
17 blocking IP at no charge. Developers won't pay
18 royalties, participants could pay royalties if not
19 cross-licensed with other developers (DRAM vendors)."

20 Does that at all refresh your recollection or
21 your understanding that one of the things that you were
22 trying to avoid that just being a participant in ADT
23 could pay royalties?

24 A. Yes, they wanted us to be a developer is my
25 recollection, so participants, you know, for those who

1 don't have the document in front of them, board of
2 directors is the highest level, developers is the second
3 ring down and participants was the third ring down. You
4 know, we had actually been asked to join the developer
5 level, so my expectation was that in the absolute worst
6 case scenario, should ADT take place, and we couldn't
7 get into the -- I forget what it's called, excuse me a
8 moment, if we couldn't get into the board of directors,
9 we would probably have joined the developers to not
10 participate in any royalty arrangements, gotten actually
11 the IP, done the -- you know, done the ADT development
12 on our own.

13 Q. And when you said you voted on the membership,
14 you didn't know what it was, and it had to be unanimous

1 A. Yes.

2 Q. And that press release announcing to the world
3 that you had chosen Rambus came after or at the same
4 time that you had already decided to go with DDR, didn't
5 it?

6 A. I'm sure it was before, because we would have
7 said -- we would have done the press release when we
8 signed the contract, which was in December of '97, so
9 I'm guessing, although I don't have the press release in
10 front of me, although you may, but I'm guessing that the
11 press release would have come out within weeks of us
12 signing the agreement. You're shaking your head.

13 Q. October of 1998 is when it came out, Mr. Heye,
14 isn't it?

15 A. If you say so. You may have the documents to
16 back it up, I'm just explaining to you that I don't
17 recall.

18 Q. This is a document that I pulled off the website
19 last night from AMD. Do you have it up on the screen?
20 Do you see the date on this press release, Mr. Heye?

21 A. Yes, I do.

22 Q. October 8, 1998.

23 A. Yes, I see it.

24 Q. You announced to the world in October of '98
25 that you had chosen Rambus, correct?

1 A. That's what the press announcement says, yes.

2 Q. And you have told us time and time again today
3 under oath that in the summer or fall of '98 you had
4 already decided to go with DDR, haven't you?

5 A. Yes, I did.

6 MR. STONE: I have no further questions of this
7 witness, Your Honor, thank you.

8 JUDGE MCGUIRE: Mr. Royall, redirect?

9 MR. ROYALL: If I could just have a moment, I
10 need to locate an exhibit.

11 JUDGE MCGUIRE: Go ahead.

12 MR. ROYALL: I'm sorry, Your Honor, something
13 that his very last question raised prompted the need for
14 an exhibit.

15 JUDGE MCGUIRE: Go ahead.

16 MR. ROYALL: That I had a hard time finding.

17 REDIRECT EXAMINATION

18 BY MR. ROYALL:

19 Q. Mr. Heye, in his questions of you just this
20 moment, Mr. Stone drew attention to the fact that you
21 have said today that from your recollection, your fact that you

1 analysts or the public of our intentions. So, to the
2 extent this press release came out in October of '98,
3 and I am sure that at this time frame we did not -- we
4 did not make the DDR decision, I must be incorrect in my
5 time reference in terms of when we made the DDR
6 decision.

7 Q. Now, I would like to approach, Your Honor. I
8 don't have multiple copies of this exhibit, because it
9 just came up, but we'll flash it up on the screen.

10 Could I approach?

11 JUDGE MCGUIRE: Go ahead.

12 BY MR. ROYALL:

13 Q. Mr. Heye, I have just handed you what's been
14 marked for identification as CX-2158. I'll give you a
15 moment, but I guess my first question is do you
16 recognize this document?

17 A. I remember seeing this document in the
18 documentation prior to coming here, but I didn't
19 remember this document prior to this other than looking
20 at it a few days ago.

21 Q. Now, let me ask you if it refreshes your
22 recollection, do you see the subject line of this email,
23 CX-2158, which was written by Steve Polzin, or it's from
24 Steve Polzin, the subject line is "DDR and Rambus
25 HistorycsrS ehyou see the a

1 A. Yes.

2 Q. And do you see, then, the discussion below on
3 the first page of CX-2158, where it says, about roughly
4 halfway down, "decided to stick with SDRAM PC-100." Do
5 you see that language?

6 A. Yes.

7 Q. And do you see, then, that there's a reference
8 below that to Rambus license signed and then question
9 mark.

10 A. Right.

11 Q. And then below that, do you see IGR4 started in
12 earnest in September 98. Do you see that?

13 A. Yes.

14 Q. And do you have an understanding of what IGR4
15 refers to?

16 MR. STONE: Your Honor, if I can object. Mr.
17 Royall objected earlier to my questions on the grounds
18 that I hadn't laid a foundation that the witness had
19 seen the document before. Now, the witness just said I
20 never saw this document at the time, that it was shown
21 to me this past weekend before I came here. That's his
22 testimony just now. That's not a foundation for showing
23 him the document, if the rules are going to be applied
24 the way Mr. Royall asked they be applied to me.

25 Now, I know we've used documents that witnesses

1 haven't seen before in efforts to refresh their
2 recollection, but Mr. Royall is asking what words in the
3 document mean, and that's not to refresh the witness'
4 recollection, that's to get him to testify about a
5 document for which he has not laid a proper foundation.

6 JUDGE McGUIRE: Mr. Royall, response?

7 MR. ROYALL: Your Honor, I am happy to move on,
8 I am seeking simply to refresh his recollection with the
9 document and I will focus him now on specific language.

10 JUDGE McGUIRE: Don't ask him what that word
11 means.

12 MR. ROYALL: I will withdraw that question.

13 JUDGE McGUIRE: Noted.

14 BY MR. ROYALL:

15 Q. Now, going further down in the document it says,
16 "Between summer '97 and fall '98 JEDEC-DDR turned
17 around.

18 A. Yes.

19 Q. And then there's more language and below that it
20 says, "In late 1998 AMD traveled to Micron, PC in Minn
21 to see their DDR chipset." Do you see that?

22 A. Yes.

23 Q. Now, let me ask you, does that language refresh
24 your recollection at all as to when it was that AMD made
25 the final decision to go with DDR as opposed to Rambus?

1 A. Yeah, yes, it does. I just -- I misspoke. I'm
2 frustrated with my memory right now. The reality is as
3 I'm looking at this, again, the sequence of events which
4 I remember but I got the dates wrong was we started
5 working on the -- so, we started working on the
6 Rambus-based chipset in October of '98, which was
7 exactly when that press announcement was in October, so
8 that presence announcement is consistent with what AMD
9 did, which is inconsistent with my memory. So, I
10 apologize for my bad memory and I apologize to the
11 court.

12 Q. So, once you started on that work in October of
13 '98, how long did you continue down the path on
14 developing an infrastructure for RDRAM before you made
15 the decision to go with DDR instead?

16 A. It was months, but I don't know how many months.

17 Q. So, you mean months after October '98?

18 A. Yes.

19 Q. Okay. Thanks. Now, when you first started
20 dealing with Rambus, with the possibility in mind of
21 licensing Rambus's technology for use in conjunction
22 with AMD microprocessors.

23 A. Yes.

24 Q. Do you know whether it was known to Rambus

1 was stated to Rambus that AMD had considered or even was
2 considering the possibility of using DDR memory as
3 opposed to Rambus?

4 A. I honestly don't know who told me that or not.

5 Q. You don't know?

6 A. I don't know.

7 Q. Now, Mr. Stone asked you whether the -- if I
8 recall this correctly, whether the assertion of patent
9 rights by Rambus over the JEDEC standards DDR and SDRAM
10 standards had had any actual adverse consequences to
11 AMD. Do you recall that?

12 A. Yes.

13 Q. In his questioning. And I believe you said that
14 it did not have any actual adverse consequences. Is
15 that right?

16 A. Yes.

17 Q. Would it have had adverse consequences on AMD if
18 in response to Rambus's patent claims the JEDEC
19 standards had been changed?

20 A. Yes.

21 MR. STONE: Objection. Calls for speculation.
22 Lacks foundation.

23 JUDGE McGUIRE: Sustained.

24 BY MR. ROYALL:

25 Q. Were you concerned, sir, in terms of your own

1 concerns about AMD's business and the aspects of the
2 business that you are responsible for that changes in
3 the JEDEC standards might adversely impact your company?

4 A. Yes, I was concerned.

5 Q. Now, Mr. Stone also asked you about changes that
6 had been made in various chipsets supporting the K-7
7 microprocessor. Do you recall that?

8 A. Yes.

9 Q. And he asked you about the change going from
10 PC-100 to PC -- was it 133?

11 A. Yes.

12 Q. And he asked you about potential changes to the
13 BIOS as well as the chipset. Do you recall that?

14 A. Yes.

15 Q. Now, in any of these changes that have actually
16 been made in the life cycle of the K-7 infrastructure,
17 from one chipset to another or one memory to another,
18 have any of those changes been detrimental to AMD in
19 terms of time to market?

20 A. Could I ask you to restate that question
21 slightly different to make sure I understand the
22 question that you're asking me.

23 Q. Let me withdraw the question, it was a little
24 unclear. In the changes that have been made to the
25 chipsets that have supported K-7 infrastructure.

1 A. Yes.

2 Q. During its product life cycle, has AMD been
3 forced to make any changes that were outside of what it
4 was planning to do in terms of its own roadmaps for the
5 development of that chipset program or, I'm sorry, that
6 microprocessor program?

7 A. No, I mean, we weren't forced to make any
8 changes. I mean, we continuously made improvements to
9 the platform which would cause us to change the
10 platforms, but --

11 Q. And was that consistent with your preplanning
12 for future versions of the infrastructure?

13 A. Yes.

14 Q. And had you ever had to make changes to the K-7
15 infrastructure in a way that was at odds with what you
16 were planning to do in a material way?

17 A. Give me a moment to think. No, we never had any
18 changes that were at odds. The reason I'm hesitating is
19 at times we would have goals of having infrastructure
20 available at a certain time and for engineering reasons
21 it would slip out. So, we would sometimes not be able
22 to accomplish our goals, so to the extent we would have
23 technologies in the market longer than we had liked,
24 that did occur, so it wasn't like we executed falsely to
25 our roadmap, but to the extent that we had a roadmap and

1 be caused by having to redesign chipsets and delays in
2 validation and time to market and competitive
3 disadvantages and other things. Do you recall that?

4 A. That's correct.

5 Q. Now, when the actual changes were made in the
6 chipset supporting K-7 to go from PC-100 to PC-133 and
7 to DDR.

8 A. Yes.

9 Q. Did any of those adverse consequences that you
10 talked about in your earlier testimony, did they --
11 did -- was AMD forced to suffer any of those adverse
12 consequences when it made the change, the evolutionary
13 change from PC-100 to 133 to DDR?

14 A. No, we never had a -- we did not suffer any
15 consequences. If I may, the key here is that there's no
16 question that you have to change things when you go from
17 let's say PC-100, PC-133 to DDR. The thing is you want
18 to manage it, in other words you want to work with all
19 your partners because, you know, they're right, you
20 know, DDR is different from synchronous DRAM, you did
21 need a different chipset, you did need a different
22 memory solution. The trick is to work with all of your
23 partners in lining it all up such that you put a lot of
24 engineering effort and validation effort such that when
25 you do make that transition, it is not a major

1 disruption to the supply chains to your customer, i.e.
2 the ones manufacturing PCs. That's your goal. And if
3 you manage it and properly communicate with all your
4 partners, the plans, you can manage change, because
5 change does occur. The trick is all of the sudden when
6 you're almost ready to launch and then you change
7 something unexpectedly, then you have to go back and
8 redo those plans and that's the adversity that I was
9 referring to would occur.

10 Q. And that is a major disruption to the supply
11 chains in response to an unexpected change, is that the
12 type of concern that you had with respect to the
13 potential of the DDR and SDRAM standards being changed
14 in response to Rambus patent claims?

15 A. Yes.

16 MR. ROYALL: No further questions, Your Honor.

17 JUDGE MCGUIRE: Mr. Stone, recross?

18 MR. STONE: Yes, thank you.

19 RE CROSS EXAMINATION

20 BY MR. STONE:

21 Q. You still have Exhibit 2158 in front of you?
22 The one that Mr. Royall showed you just a moment ago.

23 A. From --

24 Q. The Steve Polzin email.

25 A. Yes, I do.

1 Q. And that's addressed to Dirk Meyer as well as
2 Levi Murray, correct?

3 A. Levi, yes.

4 Q. Levi Murray. Who is Dirk Meyer?

5 A. Dirk Meyer is my boss.

6 Q. If we can use this now, if we have a new set of
7 dates to work with, I just want to go back.

8 A. Sure.

9 Q. And see if we can get this down once and for
10 all.

11 A. Okay.

12 Q. You made the decision to switch from Rambus to
13 DDR after you made the visit to Micron, correct?

14 A. Yes.

15 Q. After you visited the PC -- the other DRAM
16 manufacturers, correct?

17 A. Yes. Well, say that again once more, I'm sorry.

18 Q. After you visited the other DRAM manufacturers.

19 A. Yes.

20 Q. And after a complete design team freed up in
21 early '99. That reference is on the second page of the
22 document.

23 A. Unfortunately I don't --

24 Q. Mr. Royall only gave you the first page?

25 A. Yes, sir, I apologize, I only have the first

1 page.

2 Q. Let me give you the second page, if I can
3 approach.

4 A. Thank you, sir.

5 Q. Take a look at the second page of the document.

6 A. Yes.

7 Q. Can we bring it up? Yeah. Do you see where it
8 says, "A complete design team freed up in AUS (EPD) in
9 early '99?"

10 A. Yes.

11 Q. And so you didn't make the decision, then, to
12 switch to DDR based on this document, at least, and let
13 me ask if that refreshes your recollection, until some
14 time in '99?

15 A. Early '99, yes.

16 Q. Okay. And you were able to design a new
17 chipset, a new set of DIMMs, a new memory controller,
18 and a new motherboard after you made the switch
19 beginning in early '99 in order to power up by December
20 '99, correct?

21 A. Your question had a lot of -- just take it back
22 a step.

23 Q. Let me back up. One of the things that you had
24 to do when you switched from the Rambus plan to the DDR
25 plan was you had to design DIMMs to replace the RIMMs.

1 A. Again, this is where we have to be real clear.
2 It depends who we is. We would include me, which is not
3 me. In other words, the we in the context you're
4 talking about are the memory vendors and the dim
5 manufacturers. So, when you ask the question did -- AMD
6 doesn't design DIMMs, and there was clearly work,
7 according to Steve's memo, that Micron had DDR DIMMs up
8 and running in late '98. So, that doesn't surprise me
9 that any modifications that they would have had to make
10 those DIMMs between late '98 and late '99 could occur,
11 yes.

12 Q. Okay, so let me --

13 A. So, on the dim side.

14 Q. I understand the problem with my question, and
15 let me ask it this way: Certain changes needed to be
16 made by someone.

17 A. Yes.

18 Q. When AMD made the decision to switch from RDRAM
19 to DDR?

20 A. Yes, sir.

21 Q. One of those changes was to make sure that you
22 had DIMMs to replace the RIMMs?

23 A. Yes.

24 Q. One change was to redesign north bridge.

25 A. Yes.

1 Q. One change was to redesign the motherboard.

2 A. Yes.

3 Q. And one change was to possibly modify the BIOS.

4 A. Yes.

5 Q. And Mr. Royall asked you about all those changes
6 earlier.

7 A. Yes.

8 Q. And those changes were accomplished so that you
9 could power up a K-7 DDR design by December of '99,

1 MR. ROYALL: Objection, vague and ambiguous.

2 BY MR. STONE:

3 Q. Let me rephrase. When you made the decision to
4 go from RDRAM to DDR, you did it voluntarily?

5 A. Yes.

6 Q. Nobody said, we're going to sue you if you use
7 RDRAM?

8 A. That is correct, no one said those words.

9 Q. And it cost you money to make the change.

10 A. Yes, sir, it did.

11 Q. And you would have spent less money if you had
12 continued to use RDRAM?

13 A. No. I don't believe that.

14 Q. Okay, because you believe in the long-term, you
15 would have had issues?

16 A. That's correct.

17 Q. And when you made the change to go from RDRAM to
18 DDR, you started it some time in early '99, correct?

19 A. Yes.

20 Q. And you were powered up by December of '99,
21 correct?

22 A. Yes.

23 Q. And you were shipping product in 2000, late
24 2000?

25 A. Late 2000, yes.

1 Q. And if there were any of the issues about
2 opportunity costs and inventory and the other issues
3 that Mr. Royall asked you about, those costs weren't
4 ones that AMD incurred as the result of switching from
5 RDRAM to DDR, correct?

6 A. The context of that question versus the context
7 of his question was different.

8 Q. Okay.

9 A. So, that's not a yes/no question, from my
10 perspective.

11 Q. Explain it, please.

12 A. So, again, you know, we kept on saying, if you
13 look at from the time we were talking about it, which
14 was early '99, to the time we ship, which was late 2000,
15 again, that's about an 18-month window from let's do
16 this to the time we're actually doing it. So, that's
17 the 18-month cycle. And it's also consistent that it
18 takes about, in this case, December to October, so about
19 ten months from the power-on to actually getting the
20 system out there.

21 And, you know, there's no lost opportunity cost
22 here because this is real -- this is bringing real value
23 to the customer. You know, no one's -- I don't think

1 question in that time frame was, with Rambus versus DDR
2 and for the last five hours my testimony has been that
3 DDR was better. So, when we spent all those 18 months,
4 that wasn't to me lost opportunity cost, that was good
5 old-fashioned business acumen and engineering.

6 Opportunity costs would have said, we've done
7 this for let's say 15 months and then three months
8 before you ship, now I have to -- again, we're going
9 down this whole supposition path, three months before I
10 ship now you've made a change which has no real end user
11 benefits but to get around these patents we had to make
12 these changes and that slips everything out. Now I'm
13 using the engineers not to finalize and get all this
14 stuff to market, I'm spending it and moving on to next
15 generation projects.

16 The opportunity cost now is that any time after
17 October these engineers are working on the problem,
18 that's the opportunity cost question which is different.
19 So, that's why opportunity cost versus doing the actual
20 business doesn't make sense.

21 The same with inventory, again, when you're
22 three months prior to shipping a product and you're a
23 semiconductor manufacturer, not a motherboard, but a
24 semiconductor manufacturer, you have already started
25 wafers, production wafers. So, again, like I said

1 earlier, the whole thing with doing infrastructure
2 change, it's hard, I'm not going to deny it was a change
3 to the industry, it was a change, it was gosh darn hard,
4 quite frankly, a lot easier than Rambus, but it was hard
5 and you have to plan it and manage it and it takes 18
6 months for everything from Team DDR to engineering stuff
7 to make that happen.

8 When you do all that work and then you change
9 last minute, that's where all the problems are and
10 that's where your inventory hits. And so that's why we
11 asked for the context, pre-18 month loss is a very
12 different discussion of just engineering 101 and getting
13 everything ready for planning versus now I'm about to
14 ship and changing everything on you. I'm sorry.

15 Q. I appreciate that. And for a DRAM manufacturer
16 before they go to silicon to avoid inventory costs,
17 they're going to need at least three months lead time,
18 right?

19 A. Three months prior to shipping they must start
20 production of wafers.

21 Q. Just one more set of questions. Did you make
22 the trip to Micron in Minnesota that's referred to in
23 this document?

24 A. No.

25 Q. Do you know if the people who visited Micron

1 that's referred to in this document were told by Micron,
2 well, you know, one issue is, Rambus has told us that
3 there may be some patents that Rambus has that relate to
4 SDRAM DDR?

5 A. I don't know if they were told that my Micron
6 and if they were, they certainly didn't tell me.

7 Q. And did your JEDEC representative at any time
8 after you joined AMD tell you that he learned at JEDEC
9 meetings about Rambus patents?

10 A. Not to my recollection, no.

11 Q. When you looked at this document earlier today
12 when Mr. Royall showed it to you and it allows you to
13 conclude right away that you had not told Rambus -- you
14 had not made the decision to go to DDR in the summer or
15 fall of '98, correct?

16 A. Yes.

17 Q. All you had to do was pick up this document and
18 say I can see now that summer and fall of '98 is not the
19 right date.

20 A. Yes.

21 Q. And you saw this document most recently when?

22 A. A couple of days ago, which I probably didn't
23 read it that carefully because I had figured out the
24 dates already.

25 Q. And who did you see it with?

1 A. As is common practice, you get a set of
2 documents that might be shown, so you look at them.

3 Q. Who put the set of documents together for you?

4 MR. ROYALL: Your Honor, I would caution the
5 witness if there are any attorney client issues, his
6 lawyer can speak if there are, but I just would do that.

7 JUDGE MCGUIRE: Well, his attorney is here, he
8 can speak for his client.

9 MR. BEDDO: Well, I can make the same caution
10 not to disclose attorney/client privilege.

11 JUDGE MCGUIRE: Sir, what is your name again for
12 the record?

13 MR. BEDDO: B E D D O, David Beddo.

14 JUDGE MCGUIRE: Okay, thank you.

15 BY MR. STONE:

16 Q. Let me ask it this way, Mr. Heye, let me
17 withdraw that.

18 JUDGE MCGUIRE: He is going to withdraw the
19 question.

20 BY MR. STONE:

21 Q. Let me ask you, was it your understanding that
22 you were given a set of documents to review over the
23 weekend that you understand might be shown to you by
24 complaint counsel when they examined you during the
25 course of this proceeding?

1 A. Those words were never said. I mean, I think --

2 Q. I don't want to know the words, I don't want to
3 know the words that were said, I just want to know your
4 understanding. Wasn't it your understanding that these
5 were documents that complaint counsel might show you
6 during their examination of you?

7 A. Actually, it was more --

8 MR. BEDDO: Your Honor, I would like to caution
9 the witness again not to disclose attorney/client
10 communications.

11 JUDGE McGUIRE: All right, he has been
12 cautioned. So, we don't need to stand up and say I want
13 to caution him again. He's been cautioned. So --

14 THE WITNESS: I've been cautioned. I don't know
15 who I ask this question to, but when you have a
16 conversation with your lawyer, that's attorney/client
17 privileged issues and I'm not supposed to --

18 BY MR. STONE:

19 Q. I am not asking you what was said to you by your
20 lawyers at all. I just want to know was it your
21 understanding that the set of documents that you were
22 given to look at were documents that you understood
23 complaint counsel might show you and ask you about in
24 this proceeding?

25 A. Actually, to be quite honest with you, it was

1 doable. I am going to urge both sides for the sake of
2 everyone concerned that we attempt to expedite each

1 JUDGE McGUIRE: Oh, are you still looking for
2 the RX?

3 MR. OLIVER: I was going to try to pull it up
4 while the proceedings are going on.

5 JUDGE McGUIRE: Then you may proceed at this
6 time, Mr. Davis.

7 MR. STONE: I should clarify, that was the press
8 release, Your Honor, that we showed him. RX-2302 would
9 be the hard copy press release that I used.

10 MR. OLIVER: Perhaps if we could look at that.

11 JUDGE McGUIRE: Let's go off the record for a
12 moment so all this chatter doesn't get on.

13 (Discussion off the record.)

14 JUDGE McGUIRE: On the record, you may call your
15 next witness, Mr. Davis.

16 MR. DAVIS: Thank you, Your Honor. Complaint
17 counsel calls Mr. Barry Wagner.

18 JUDGE McGUIRE: Mr. Wagner, would you approach
19 and you will be sworn in by the court reporter.
20 Whereupon--

21 BARRY WAGNER
22 a witness, called for examination, having been first
23 duly sworn, was examined and testified as follows:

24 JUDGE McGUIRE: If you could have a seat over
25 there, Mr. Wagner.

1 All right, Mr. Davis, proceed.

2 DIRECT EXAMINATION

3 BY MR. DAVIS:

4 Q. Thank you, Your Honor.

5 Please state your name for the record.

6 A. Barry Wagner.

7 Q. And where are you currently employed?

8 A. NVidia.

9 Q. And what is your current position?

10 A. Manager of technical marketing.

11 Q. And how long have you been at nVidia?

12 A. About six years.

13 Q. Could you describe your educational background,
14 please.

15 A. Bachelor of science in electrical engineering
16 and a minor in computer science.

17 Q. Thank you. We are going to talk a little bit
18 about nVidia's background now, for the next set of
19 questions. What is nVidia's line of business?

20 A. We make reference processors and chipsets for
21 the PC workstation in game console markets.

22 Q. What is a graphics processor?

23 A. A graphics processor is the piece of silicon
24 that's generally put on a graphics card and it's what
25 ultimately you see on the displays of the computer.

1 Q. Does nVidia fabricate the graphics processors
2 they design?

3 A. No, we do not.

4 Q. And who fabricates those?

5 A. We have a source of graphics, TMPC and we have
6 relationships with IBM.

7 Q. And who validates the graphics?

8 A. A graphics card, primarily.

9 Q. What is a graphics card?

10 A. A graphics card is it's a PC board, it plugs
11 typically into a graphics slot in the motherboard, and

12 then ultWha 36.0001 734.3999 TmiF1 1 T* processors)TjT* hr

manufactureintsA. A graphics card is it's a PC bTMPC and we havemanufactureis

will buildT the 0001 sell itnd . A graphicsss from(mgraphics card?)Tj

1 for identification as CX-2833.

2 Your Honor, that's a document that's not in the
3 database, it's a glossy brochure. May I approach?

4 JUDGE McGUIRE: You may.

5 BY MR. DAVIS:

6 Q. Do you recognize this document?

7 A. Yes.

8 Q. And what is CX-2833?

1 the column with the title Memory, what does that refer
2 to?

3 A. That's the memory that is on the graphics card.

4 Q. And what kind of memory is used in these
5 graphics cards?

6 A. Most of them are DDR, one of them says SDR.

7 Q. And who buys these products?

8 A. Typically customers for our workstation graphics
9 cards are engineers that need to produce some sort of
10 hardware to model it on a computer. That's the kind of
11 market that we sell the workstation products to.

12 Q. And what is a workstation?

13 A. A workstation is basically a PC, it's usually a
14 higher performing PC. It often times will have multiple
15 processors in it, things to improve its performance, and
16 other than that it's very much like a PC.

17 Q. If you can turn three pages later to the page
18 with the table titled nVidia Consumer Graphic Solutions?

19 JUDGE MCGUIRE: What page is that, Mr. Davis?

20 MR. DAVIS: Unfortunately, the document doesn't
21 have any page numbers, that's why I'm sort of flashing
22 it. It's about six pages into the document.

23 JUDGE MCGUIRE: I've got it.

24 BY MR. DAVIS:

25 Q. What's being described in this table?

1 one of these segments for how much memory should go onto
2 the graphics card, and that's typically what the user in
3 that market segment would expect to find if they bought
4 a graphics card in that class of product.

5 Q. Well, in the lower right-hand corner of the
6 document is a -- there's a picture in the lower
7 right-hand corner of the page, can you describe what
8 that is?

9 A. That's a picture of a graphics card.

10 Q. And I think everyone has probably seen a number
11 of graphics cards and other cards, we'll speed up.
12 Could you describe what some of the blocks on those --
13 on that video card are?

14 A. The chip in the middle of the card with our logo
15 on it, that's our graphics processor. To the right
16 there's four memory devices that are all on the graphics
17 card.

18 Q. Is there a memory controller on the video card?

19 A. Part of our graphics processor has the
20 integrated memory controller, that hooks up to the
21 memory interface.

22 Q. Could you turn three more pages to the table
23 entitled nVidia Platform Processor solutions.

24 A. Okay.

25 Q. What's being described on this table?

1 A. It's another list of products that are
2 available, in this case this is for our chipset
3 business. We have essentially a north bridge/south
4 bridge kind of function, and again, it's some features
5 and functionality for each of those platforms.

6 Q. Do you need me to indicate what north bridge and
7 south bridge are?

8 JUDGE MCGUIRE: No, I'm sorry, I've had enough.

9 BY MR. DAVIS:

10 Q. In the second to the last column of the table is
11 a column titled Memory Controller. Do you see that?

12 A. Yes.

13 Q. In the first two rows of that column are entries
14 that include the parenthetical, "Dual 64 bit DDR." Do
15 you see that?

16 A. Yes.

17 Q. Could you describe what that means?

18 A. These product lines have 128 bit memory bus, and
19 the data bus with it. We've architected it as two
20 64-bit memory controllers, each one of those 64 bits
21 hooks up to a JEDEC standard DDR dim module.

22 Q. And who would buy the products described in this
23 table?

24 A. One of our customers today is HP, they buy this
25 product and put it into a motherboard. These particular

1 chipsets work with AMD processor base.

2 Q. Now, if you could -- Your Honor, I would like
3 to -- I'm sorry, could you turn to the page entitled
4 nVidia Mobile Graphics Solutions.

5 A. Okay.

6 Q. What's being described in that table?

7 A. It's a list of graphics products that we sell
8 into the notebook market. Again, it's broken out by
9 segment and lists a variety of features for each of
10 those, the segments.

11 Q. And how are these processors different from the
12 processors that you described earlier?

13 A. The primary difference is they're focused on
14 lower power. We try to reduce power to maintain battery
15 life in the notebook, it's a little different from a
16 desktop in that sense.

17 Q. And who would buy the products described in this
18 table?

19 A. Our biggest customers in mobile today are I
20 believe Toshiba and Dell.

21 MR. DAVIS: Your Honor, I would like to move
22 CX-2833 into evidence.

23 JUDGE McGUIRE: Any objection?

24 MR. STONE: No objection.

25 JUDGE McGUIRE: So entered.

1 (CX Exhibit Number 2833 was admitted into
2 evidence.)

3 BY MR. DAVIS:

4 Q. I would like to return to your personal
5 background and your work at nVidia. What do you do as
6 the manager of technical marketing?

7 A. I have a group that works with various
8 technology segments of our business to try to get the
9 technology advances that we want to see into our
10 products sooner. So, we will work with in my case the
11 memory industry a lot to drive our requirements for
12 future products into their roadmaps and hopefully keep
13 our companies aligned with each other in terms of when
14 products come on the market.

15 Q. And why do you work with the memory
16 manufacturers?

17 A. The memory industry is -- it puts most of its
18 emphasis into the activities in JEDEC to drive the dim

s?

1 JUDGE McGUIRE: All right, go ahead.

2 BY MR. DAVIS:

3 Q. Why was it important to you that you have an
4 understanding of what memory technologies were in
5 that -- in the JEDEC standard?

6 A. At this time we got involved more directly with
7 JEDEC is when we started working on the chipset side of
8 our business. The PC main memory platforms, ultimately
9 if we're going to have to produce a chipset, we need to
10 be using what that standard is, otherwise we're just not
11 cost effective in the market. So, as the main memory
12 standards were evolving, we wanted to be sure that we
13 knew exactly what they were going to be main D3tswthist t(r

1 vendors were offering 150 and 153 megahertz kind of die
2 bins. We were actually buying say 166 megahertz. The
3 166 megahertz standard wasn't in JEDEC as something that
4 they were working on at the time, but it was a speed bit
5 of the memory that we could buy from our point-to-point
6 application.

7 Q. When you use the term bin twice in your answer,
8 what does that mean in your answer?

9 A. So, when the memory is built, there's a natural
10 distribution that happens, some parts are faster than
11 others. They will bin out these faster parts, cull them
12 at faster speed grades and sell them for typically for
13 more money. So, the faster are typically at a premium
14 versus the slower parts.

15 Q. Prior to being the manager at nVidia, what was
16 your position?

17 A. I was manager of systems engineering.

18 Q. And what did you do in that position?

19 A. I also worked with the memory vendors. I spent
20 the majority of my time, however, on the board design
21 and chip bring-up and debug kinds of activities. I had
22 less time to spend on memory in that role.

23 Q. And did you have any positions within nVidia
24 prior to being manager of systems engineering?

25 A. Prior to that I was a group member just to the

1 systems engineering team and prior to that I was a
2 technical marketing engineer. During all these times I
3 worked with memory industries and worked on more
4 bring-up and chip debug activities.

5 Q. What do you mean by chip debug?

6 A. When the silicon comes back from fab it's not
7 useful to put down on the graphics board. The teams
8 that I have been involved with do the board design and
9 then when the chip arrives we go through the process of
10 getting the board working into something that you can
11 actually sell and make a product out of.

12 Q. Just so I understand when you say the word
13 "board," what are you referring to?

14 A. The PCB, the graphics board that the chip sits
15 on.

16 Q. Is that the video card?

17 A. The video card.

18 Q. And you said the board bring-up, what does that
19 mean?

20 A. I said what?

21 Q. I think you used the term board bring-up?

22 A. Board bring-up, yeah. The process that we go
23 through in the lab to get something up and running in a
24 PC is what we refer to as bring-up, it's the development
25 process.

1 Q. Now, what DRAM manufacturers have you worked
2 with while with nVidia?

3 A. It's changed a lot over the years. There's a
4 lot less suppliers now than there used to be. Most of
5 our time is spent with Samsung and Micron, Infineon,
6 Hynix, a little bit with Elpida. There's ESMT, out of
7 Taiwan, Nanya and Winbond. There are others.

8 Q. And Nanya is N A N Y A and Winbond is W I N B O
9 N D?

10 A. Yes.

11 Q. And what work do you do with these DRAM
12 manufacturers?

13 A. We do a variety of things, we talk to them about
14 roadmaps, when we're going to have new products coming
15 out, we get a sense of when their products are going to
16 be coming out and we go through and have meetings with
17 their engineers about future sets that we want, and
18 ultimately work to define what a particular memory is
19 going to have in the future, and then work towards
20 getting that into the market.

21 Q. Does nVidia use internal code names to identify
22 its individual graphics chips?

23 A. Yeah. The graphics products are all NV
24 something and the something is usually a number,
25 typically one or two digits.

1 Q. Does nVidia buy DRAM?

1 used with nVidia's graphics processors are always JEDEC
2 compliant?

3 A. The graphics processors are not necessarily
4 compliant in a true JEDEC sense. He many times we're
5 using the same device that JEDEC would have defined, but
6 because it's a different speed bin, there isn't a JEDEC
7 standard for it per se. Generally what we try to do is
8 use devices that are compatible with whatever that JEDEC
9 standard was, so that we can leverage off that inventory
10 that's in the market.

11 Q. Does nVidia ever attempt to get DRAM
12 manufacturers to design DRAMs specifically for nVidia?

13 A. Yes, we frequently work with them on the high
14 end of our product line to try to get a faster memory in
15 the market than something that's what JEDEC might be
16 working on.

17 Q. And how would these DRAMs differ from the JEDEC
18 standard DRAMs that are being produced at the time?

19 A. We try to make them as close as possible to
20 whatever the next standard in JEDEC is going to be, or
21 we predict it's going to be. The main differences
22 relate to in our applications it's a point-to-point
23 application, there's usually only one or two memory
24 loads on the data bus. It's a little bit different than
25 a dim and we can typically run at a higher speed. So,

1 the differences usually relate to something about being
2 a point-to-point graphics system or needing to run at a
3 higher frequency.

4 Q. And why is it important that you make a similar
5 to JEDEC standard DRAMs?

6 A. As we've talked about in several different
7 product segments before, the very high end segments that
8 we can sell for a higher price we can afford sometimes
9 the premiums associated with the high speed memory. The
10 low end of the market, you can't afford that premium and
11 most of the low end products use a very JEDEC compatible
12 device. So, we intermix those kinds of technologies
13 depending on the price points in the market and the
14 volume requirements.

15 Q. Is there a current DRAM being produced, a
16 specialized DRAM being produced for nVidia?

17 A. The most recent one that went into production
18 was what's now being called a GDDR2 DRAM, it was first
19 sold with our NV-30 product and it's in one of our
20 workstations products right now.

21 Q. And how does that differ from DDR2 SDRAM?

22 A. It's pretty similar, the DDR2 SDRAM standard
23 focuses its definition on clock speeds that were
24 basically 200 megahertz or 266 megahertz. We were
25 focused on a 500 megahertz memory standard. So, the

1 main things that are part of a DDR2 standard in JEDEC
2 are essentially a burst length 4 functionality, on-die
3 termination and some differential clock strobes for the
4 data bus. Those three things are in this GDDR2 part,
5 which kind of separate from the DDR parts that are out
6 there.

7 Q. I would like you to look at what's been marked
8 for identification as CX-2832. Your Honor, this is
9 another one.

10 JUDGE McGUIRE: You may approach.

11 BY MR. DAVIS:

12 Q. Do you recognize this document?

13 A. Yes.

14 Q. And what is CX-2832?

15 A. It's a product guide, it's for our Quadro Fx
16 product. This marketing mainly is what was used for the
17 workstation version of our NV-30 product line.

18 Q. And how long has nVidia been selling the Quadro
19 Fx?

20 A. Since early this year.

21 Q. Do you know when work began on that nVidia
22 Quadro Fx?

23 A. I don't know exactly when it began. The NV-30
24 product line was a major new architecture for us. It's
25 fairly typical for a new architecture to take on the

1 order of two years to produce. So, it's somewhere
2 around two years ago probably.

3 Q. Did nVidia have an internal code name for the
4 Quadro Fx while it was being developed?

5 A. Yes, that was NV-30.

6 Q. And did you work on that product?

7 A. Yes.

8 Q. And what did you do on that project?

9 A. I was involved in the memory definition working
10 with the memory suppliers to get the 500 megahertz
11 memory defined, and ultimately when it came back in
12 silicon form, I was in the lab working on it, getting it
13 up to speed.

14 Q. Could you turn to the second page of CX-2832.

15 A. Yes.

16 Q. There's a table on that page, the lower portion
17 of the page. Do you see that?

18 A. Yes.

19 Q. Can you describe what that table is.

20 A. It shows several graphics cards that are part of
21 our workstation product family.

22 Q. Could I focus your attention on the row
23 involving the Quadro Fx, I believe that's the top row.

24 A. Um-hmm.

25 Q. The fourth bullet down, and also, I'm sorry, the

1 second column of that table, the fourth bullet down
2 states "128 megabytes DDR2 SDRAM." Could you describe
3 what that means in this table?

4 A. So, that column is architecture, it talks a
5 little bit about the basic architecture of the graphics
6 board. The 128 megabytes DDR2 SDRAM is the memory that
7 is on the graphics card, it's actually since this was
8 produced, the name has effectively changed to GDDR2
9 SGRAM.

10 MR. DAVIS: Your Honor, I move CX-2832 into
11 evidence.

12 MR. STONE: No objection.

13 JUDGE McGUIRE: Entered.

14 (CX Exhibit Number 2832 was admitted into
15 evidence.)

16 BY MR. DAVIS:

17 Q. Earlier you described some of your graphics
18 chips as being able to operate with both SDRAM and DDR
19 SDRAM, does nVidia produce processor chips that are
20 capable of operating with both types of DRAMs?

21 A. That was our NV-10 product line.

22 Q. And when did the NV-10 -- I'm sorry, when did
23 nVidia video cards using DDR SDRAM begin to ship?

24 A. I'm sorry, could you say the question again?

25 Q. I'll restate it. When did the nVidia video

1 cards using DDR SDRAM begin to ship?

2 A. In the fall of 1999.

3 Q. And when did nVidia begin working on a graphics
4 processors that was used to support those cards?

5 A. NV-10 was also a major new architecture, so
6 roughly two years earlier is a reasonable estimate.

7 Q. Is this amount of time to ship a graphics card
8 typical in your experience at nVidia?

9 A. It's typical for a major new architecture. It's
10 typically not that long for minor changes to
11 architecture for each generation of a product family.

12 Q. And how long does a minor change usually take?

13 A. Very minor things that are maybe almost kind of
14 like bug fixes can be a few months. A more typical
15 product is probably like a year, somewhere around that
16 range.

17 Q. Do you know why the NV-10 was designed to
18 support both SDRAM and DDR SDRAM?

19 A. Because DDR was new in that time, we had
20 concerns whether or not it would be available in time
21 for when we wanted to ship. So, the decision was made
22 to support both SDR SDRAM and DDR SDRAM so that if DDR
23 didn't show up, we still had a fallback plan and could
24 still ship our product on the market, but at a slower
25 speed.

1 Q. Were you involved in that decision?

2 A. Yes.

3 Q. Now, were there any other DRAMs considered for
4 use with the NV-10 chip?

5 A. There are a number of technologies that were
6 considered. In the end, it basically came down to DDR
7 or the direct RDRAM. We also looked at I think
8 Mitsubishi at the time had a -- something they called a
9 3DRAM and Fujitsu I think was doing an FC RAM back then.

10 Q. And why was DDR chosen instead of these other
11 DRAMs?

12 A. The Mitsubishi and Fujitsu technologies were
13 basically sole sourced kind of technologies. They were
14 viewed as being kind of risky for us to produce, or
15 risky to go with because we didn't know if there would
16 be a wide supply base if there were manufacturing
17 issues.

18 Q. I'm sorry, did you mention RDRAM as well?

19 A. RDRAM was -- I guess our decision came down to
20 basically do we do direct RDRAM or do we do DDR. We
21 came close to using direct RDRAM, but ultimately decided
22 the alignment of our schedule in the market, it looked
23 very risky to go with RDRAM. We wanted to come out in
24 the fall of '99, at that same time period is when Intel
25 was supposed to launch their chipset that was going to

1 use RDRAM, and we had a lot of concerns that supply was
2 going to be limited, and if there was a supply problem,
3 we probably weren't going to be the company to be able
4 to get the product or we would have to pay a substantial
5 premium to get the product. So, we chose to go a more
6 evolutionary approach and go with DDR and maintain the
7 backward capability of SDR in case there was a supply
8 problem.

9 Q. And what was your understanding of what the
10 effect would have been had there been a supply problem?

11 A. Well, for us it would have -- if we had chosen a
12 direct RDRAM, we would have had no fallback plan, unless
13 we had -- and technically Rambus also had a previous
14 generation of technology, we could have designed support
15 for that. It wasn't particularly compelling to our
16 application, so it wasn't a good fallback plan, it was
17 also a very high premium fallback plan.

18 The way things kind of worked out for us was
19 although we chose direct RDRAM, ultimately to even -- we
20 ultimately chose DDR SDRAM. We actually shipped first
21 with SDR, we did end up having trouble getting the DDR
22 as well.

23 Q. Do you know what other nVidia graphics chips are
24 capable of operating with both SDRAM and DDR SDRAM?

25 A. Everything that we've built since NV-10 has had

1 support for both.

2 Q. And why are the more recent nVidia graphics
3 chips capable of operating with both SDRAM and DDR
4 SDRAM?

5 A. Because we serve a lot of different markets with
6 these products, the cost associated with DDR versus SDR
7 throughout much of the life of our -- of these products,
8 it was still cheaper in many cases to buy an SDR SDRAM,
9 so for the low end of the market that didn't really care
10 about the performance, car manufacturers would buy and
11 use the SDR SDRAM, and only recently has the cost of DDR
12 essentially crossed over and it's now typically cheaper
13 or more cost effective or a better performance trade-off
14 to build with the DDR SDRAM than SDR, so almost all
15 products now are shipping with DDR SDRAM.

16 Q. I would like to show you what's been marked for
17 identification as CX-2828. You should have that in
18 front of you.

19 A. Okay.

20 Q. Do you recognize this document?

21 A. Yes.

22 Q. Now, there's handwriting on the front page of
23 the document, do you recognize that handwriting?

24 A. Yes. That's a highlighter.

25 Q. Could you tell me what this document is?

1 A. This first page looks like a photocopy of a file
2 folder that I would have used to keep track of in this
3 case next generation memory development efforts that we
4 were working on.

5 Q. And what is the document itself?

6 A. The document is sort of a combination of an
7 email thread and a report of the meeting minutes that we
8 were having with Infineon to discuss a 500 megahertz DDR
9 style device. Ultimately, this was the product that
10 became the GDR2 SDRAM, or the SDRAM that we shipped with
11 NV-30.

12 Q. And were you involved in these discussions?

13 A. Yes.

14 Q. What was your role in these discussions?

15 A. For nVidia's side, I was the primary technical
16 contributor to this effort to get the 500 megahertz spec
17 defined within Infineon. There were a number of other
18 members of our team that depending on the meeting may or
19 may not have been present.

20 Q. Now, were there any differences between this
21 DRAM and the existing DDR SDRAM?

22 A. I'm sorry, say that again.

23 Q. Were there any technical differences between
24 this DRAM and the existing DDR SDRAM?

25 A. So, this DRAM was targeting 500 megahertz. The

1 DDR standards that we were using is for other graphics
2 memory solutions, at the time I don't recall exactly how
3 fast they were, but up until recently, we had only
4 gotten to about 325 megahertz. We just launched a
5 product that's gotten up to 450 with a DDR kind of
6 technology. This was targeting 500 megahertz and at the
7 time was roughly twice the frequency that the JEDEC
8 kinds of DDR devices were.

9 Q. Were there any other differences between this
10 DRAM and the JEDEC SDRAM?

11 A. The feature sets that we settled on ended up
12 being more in line with what was being developed for
13 DDR2, we went with the burst 4 architecture, we wanted
14 on-die termination and we wanted the differential clock
15 strobes.

16 Q. If you turn to page 7, which is CX-2828-7.

17 A. Okay.

18 Q. And I refer you to the top entitled Technical
19 Features. Do you see that?

20 A. Yes.

21 Q. Do you see the second paragraph in this section
22 where the document states, "Infineon proposed to take
23 into the definition some of the JEDEC DDR2 features."

24 A. Yes.

25 Q. And I think you just mentioned some of the DDR2

1 Q. And what is that?

2 A. For example, in our Enforce product line which
3 is our chipset business, we integrate the graphics core
4 into the north bridge product, in that case, we have a
5 unified memory architecture. We use the same memory
6 subsystem as the processor in that case, which in the
7 platform it's in the DIMMs from JEDEC rather than have a
8 separate memory, say either on a motherboard or on a
9 graphics card, if we do the stand-alone cards.

10 Q. And what is your understanding of the term "main
11 memory?"

12 A. Main memory is the -- whatever the JEDEC
13 standard is at any given time being used by the PC
14 market.

15 Q. Was it your understanding of nVidia's strategy
16 here that nVidia was trying to drive the new standard
17 for high speed memory?

18 A. Yes.

19 Q. And why was it necessary for nVidia to drive
20 that type of a standard?

21 A. In the graphics space, one of the big
22 differentiators for everybody's product is how fast is
23 the memory subsystem, how much memory bandwidth do we
24 have available to us. So, we put a lot of effort into
25 making the memory subsystem faster, and as a company if

1 we can get access to faster memories ahead of our
2 competitors, it gives us an advantage in the market.
3 So, we work with the memory companies to try to ensure
4 that we're defining what that standard is and that we're
5 first to market with it.

6 Q. In the next paragraph under general -- I'm
7 sorry, in that same paragraph, under general targets, is
8 the statement that "JEDEC is a nice-to-have, but more
9 important is to have a second source which provides
10 compatible products." And I believe I misstated, it's
11 in the next paragraph. Do you see that?

12 A. Yes.

13 Q. Does this passage accurately state your
14 understanding of nVidia's interests in the importance of
15 JEDEC for this particular DRAM chip?

16 A. For this particular DRAM chip, yes. We
17 participate in JEDEC to try to drive our requirements
18 in, but in the graphics space, for the highest
19 performance devices, we know JEDEC is not going to be
20 the one defining that requirement, they focus on the
21 DIMMs at a much lower speed, therefore if we can get it
22 out of JEDEC, that would be great, but the reality is we
23 have to do it ourself.

24 MR. DAVIS: I would like to move CX-2828 into
25 evidence.

1 JUDGE McGUIRE: Objection?

2 MR. STONE: No, I'm sorry, Your Honor, no
3 objection.

4 JUDGE McGUIRE: Entered.

5 (CX Exhibit Number 2828 was admitted into
6 evidence.)

7 JUDGE McGUIRE: It's getting late in the
8 afternoon, Mr. Stone.

9 MR. STONE: I'm just trying to be easy.

10 JUDGE McGUIRE: That's fine.

11 BY MR. DAVIS:

12 Q. I'm going to show you what has been marked for
13 identification as CX-2828.

14 Your Honor, this is a document that there is
15 some information on it that was apparently confidential
16 information, but it hadn't been marked in camera
17 previously. I have spoken with Mr. Detra and we just
18 blocked out the -- it's really just a number.

19 JUDGE McGUIRE: All right, as long as it's
20 been --

21 MR. STONE: Yeah, I don't think it's 2828,
22 though.

23 JUDGE McGUIRE: All right, as long as it's
24 been -- as the issue has been addressed and there's no
25 concern about it being disclosed proper improperly.

1 MR. STONE: No, they redacted the information.
2 It was a third party's concern, I have no problem with
3 that.

4 JUDGE McGUIRE: All right, proceed.

5 MADAM REPORTER: What was that CX number?

6 MR. DAVIS: CX-2829.

7 THE WITNESS: I have the document.

8 BY MR. DAVIS:

9 Q. Do you recognize this document?

10 A. Yes.

11 Q. And what is this document?

12 A. It's an email thread, it started from one of our
13 architects asking some questions about the burst length
14 that we expected to see for future memories and whether
15 or not we expected any changes coming soon.

16 Q. If you turn to the third page of this document,
17 the bottom email I suppose is the email you were just
18 referring to?

19 A. Yes, it started with Matthew Pappakippos.

20 Q. And do you have an understanding of why Mr.
21 Robinson forwarded that question to you?

22 A. John Robinson is one of the engineering managers
23 for one of our chips, he's responsible for NV-35. He
24 knows that I'm the primary person in the company that
25 works with the memory vendors about the technology

1 direction. So, if he's got any questions, he forwards
2 them on to me.

3 Q. That Robinson email on that page refers to a
4 GS1000 and a Micron part. Do you have any idea what
5 those terms refer to?

6 A. The GF-1000 I believe is Samsung's code name for
7 the GDR DDR2 DRAM that we sold with NV-30.

8 Q. And the Micron part?

9 A. The Micron part is the product that is not yet
10 on the market.

11 Q. Now, what was nVidia's interest in these two
12 DRAM chips, the Micron chip and the GF-1000?

13 A. Those two products were the leading candidates
14 for high-speed memory in a time frame that we wanted to
15 bring out NV-30. They're the two technologies we were
16 watching very closely.

17 Q. And if you could go to the first page of
18 CX-2829, in the bottom email, which is an email from
19 Matthew Pappakippos. Do you see that?

20 A. Yes.

21 Q. There's a sentence there that reads, "These
22 changes are hard for us to work around in arch, and our
23 lead time to fix them is 1.8 years." Do you see that?

24 A. Yes.

1 "arch" means there?

2 A. Arch is short for architecture.

3 Q. And what changes is he referring to?

4 A. This discussion thread was about the burst
5 length. Our products at the time were being architected
6 for a burst length 4, and if the next high speed device
7 that we wanted was going to be a burst length 8, we
8 needed about 1.8 years to change the architecture design
9 to be efficient for burst length of 8 implementation.

10 MR. DAVIS: Your Honor, I would like to move
11 CX-2829 into evidence.

12 MR. STONE: No objection.

13 JUDGE McGUIRE: Entered.

14 (CX Exhibit Number 2829 was admitted into
15 evidence.)

16 BY MR. DAVIS:

17 Q. I think earlier you stated that nVidia is a
18 member of JEDEC?

19 A. Correct.

20 Q. And why did nVidia join JEDEC?

21 A. For a variety of reasons, but in part to keep up
22 with what technology was coming into the main side of
23 our business with the chipsets, and also because we were
24 requesting things from memory vendors that was different
25 from the direction that JEDEC was going, and they had

1 asked us to get involved in JEDEC to drive our
2 requirements. There weren't many members in JEDEC that
3 were from the graphics industry, so most of the feedback
4 that the JEDEC team was driving towards was based on
5 system memory, and with what the server applications
6 wanted.

7smnOQentAy, aho is nVidia's the Jerepresireplive?ted.

1 around '98, '99 we probably joined or at least got
2 involved at some of them.

1 burst length 2, burst length 4, burst length 8 are
2 common values. Burst length represents how many bits of
3 data come out of the memory every time you make a
4 request. In the case of a read or a write you are going
5 to send them that many bits of data.

6 Q. Do you know what the current SDRAM and DDR SDRAM
7 standards specify programmable burst length?

8 A. Yes, they do.

9 Q. Do you understand what the term "dual edge
10 clocking" means with respect to JEDEC compliant DRAM?

11 A. Yes.

12 Q. Could you define that for me?

13 A. The dual edge clock refers to the data
14 interface, so the data bus for every time you set a
15 clock there's a data strobe being used where every edge
16 of the data strobe clocks out the data. So, a burst of
17 4 you have two clocks, there's four edges to that clock,
18 those four edges, the data come out.

19 Q. Are you aware of Rambus's lawsuits against the
20 DRAM manufacturers?

21 A. Yes.

22 Q. And when did you learn of those lawsuits?

23 A. When it became very public in the Internet
24 forums for technology sites that DRAM companies were
25 being sued, I was aware of it.

1 Q. And did you have an understanding of what those
2 lawsuits are about?

3 A. I understand it relates to the burst length/CAS
4 latency kinds of patents.

5 Q. At the time you learned of the Rambus lawsuits
6 against the DRAM manufacturers, do you recall whether
7 nVidia had any graphics processors then in the market
8 that were designed to work with DRAMs that used JEDEC
9 standard programmable CAS latency?

10 A. All of the devices that we have on market use
11 either SDR or DDR. I believe that the time DDR wasn't
12 quite available in the market yet, so we were using SDR,
13 which does have programmable functionality for burst
14 lengths and CAS latency.

15 Q. At the time you learned of the Rambus lawsuits
16 against the DRAM manufacturers, do you recall whether
17 any of the nVidia graphics processors then on the market
18 were designed to work with a DRAM that used JEDEC
19 standard dual edge clocking?

20 A. At some point we began using DDR with maybe ten.
21 We ended up shipping in the fall of '99, so somewhere
22 around the year of '99 we were working on that memory
23 controller and so we would have had the dual edge clock
24 in that device.

25 Q. Now, between the time you learned of the Rambus

1 lawsuits against the DRAM manufacturers and today, did
2 you have any understanding of whether any of the
3 features of SDRAM and DDR SDRAM that you've described,
4 that's programmable CAS latency, programmable burst
5 length and dual edge clock, as those technologies are
6 specified by JEDEC, could have been replaced in the
7 JEDEC standard by alternatives?

8 MR. STONE: Objection, Your Honor, lacks
9 foundation, calls for opinion testimony or speculation

1 expert testimony or at least a designer.

2 MR. DAVIS: He designs and works with the
3 graphics processor which has the memory controller on
4 it, his job is to work with DRAM and make it work with
5 that memory controller.

6 JUDGE McGUIRE: It's a close call. I'm going to
7 hear the question. Overruled.

8 THE WITNESS: Yeah, I believe there are
9 solutions to those issues. You have to treat them on a
10 case-by-case basis, to which what solution is right for
11 each of those options.

12 BY MR. DAVIS:

13 Q. And what have you understood to be the
14 alternatives to JEDEC standard programmable CAS latency
15 in the mode register?

16 MR. STONE: Your Honor, same objection, if I can
17 just have a standing objection. There's no foundation
18 that he knows the alternatives, he is not an expert.

19 JUDGE McGUIRE: Okay, I said it was a close
20 call. So, that doesn't mean that you are going to get
21 it in on the same line of questioning on this, Mr.
22 Davis. Now, I allowed you, you know, the one episode to
23 allow that question, I'm not going to allow this same
24 inquiry when I don't think there's a whole lot of
25 foundation here that's going to give him the opportunity

1 to state what he's stated. So, you either have to
2 change your inquiry or I'm going to uphold every
3 objection on this issue. So --

4 BY MR. DAVIS:

5 Q. Okay, Your Honor.

6 Did you ever propose to change the JEDEC SDRAM
7 standard at any time after you learned of the Rambus
8 lawsuits against the DRAM manufacturers to eliminate
9 programmable CAS latency or burst length?

10 A. No, I didn't propose for them to go off and
11 change the technology. It's painful for us as a company
12 to go and change our direction.

13 MR. STONE: Objection, Your Honor, the question
14 was did you do something, he said, no, I didn't do it,
15 and that should be the end of his answer and we should
16 now have another question so that I have an opportunity
17 to object. So, I move to strike everything after the
18 words "and change the technology -- no, I didn't propose
19 for them to go off and change the technology." I move
20 to strike everything beyond that as beyond the grounds
21 of the question.

22 JUDGE McGUIRE: Well, you didn't ask the
23 question and you don't have any standing on that ground
24 to propose that objection.

25 MR. STONE: Well, Your Honor, if I didn't have

1 standing to raise that objection, then you could put a
2 witness on the stand and say, what's your name, and the
3 witness could talk for four hours, even if he was no
4 longer being responsive, if I don't have the right to
5 object when he stops being responsive. I think I do
6 have a right to object when he goes beyond the scope of
7 the question. If not --

8 JUDGE MCGUIRE: Well, beyond the scope is one
9 thing, on that grounds, you know, I will sustain that
10 objection as beyond the scope. But, you know,
11 un I think I don w96 on5ething else all together.

12 MR. STONE: FinI dYour Honor, I don't mean to
13 phrase it incorrectly, I appreciate that.

14 JUDGE MCGUIRE: We'll sustain in terms of scope.

15 BY MR. DAVIS:

16 Q. And why didn't you propose to change the SDRAM
17 or DDR SDRAM standards to eliminate programmable CAS
18 latency and programmable burst length?

19 A. We were trying to launch products into the
20 market and if the standard was going to change, that
21 meant we had to change our development plan and go
22 change to on5ething new that was yet undefinId. For us
23 iw96 a painful process to go through and not be able to
24 release a productdon w96 basically ready to be released
25 and have to go start over again. So, we weren't trying

1 to get the industry to promote a change when we were
2 working within the standard in the industry that was
3 proposed by JEDEC and was perfectly viable.

4 Q. Did you ever propose to change the JEDEC DDR
5 SDRAM standard at any time after you learned about the
6 Rambus lawsuits against the DRAM manufacturers?

7 A. No, I did not.

8 Q. And why didn't you?

9 A. For the same reason, that we felt that it was a
10 lot of work for the industry to have to go through and
11 make those changes. As a graphics company, we try to
12 create demand for our products primarily through the
13 game industry. So, we have feature sets that are new to
14 each architecture generation that enable a very new set
15 of type of game technologies to come out. The time
16 lines of those games get aligned to the time lines of
17 our chips. If we can't release the chip because we have
18 to go redesign for some new technology, then, you know,
19 we miss the opportunity to align with this new game or
20 whatever is coming out. So, we try not to change things
21 late in the design cycles.

22 MR. DAVIS: Thank you. No more questions, Your
23 Honor.

24 JUDGE McGUIRE: Thank you, Mr. Davis. You may
25 cross examine.

1 MR. STONE: Thank you, Your Honor.

2 CROSS EXAMINATION

3 BY MR. STONE:

4 Q. Mr. Wagner, how are you?

5 A. Good.

6 Q. Are you a gamer?

7 A. I'm not.

8 Q. Cool games featured in your catalog 2833, aren't
9 there?

10 A. There's a lot of neat stuff coming out.

11 Q. Are the gamers sort of the high-end group
12 concerning what they're willing to pay for performance?

13 A. Yeah, the real enthusiast gamers, they'll save
14 up their money and go out and buy the latest and
15 greatest things every few months if they can afford to.

16 Q. And so one of the things that you find is true
17 is that the highest speed, the fastest chip will sell
18 for more money?

19 A. Yes.

20 Q. And in order to achieve that one of the things
21 you look for is memory that runs at the fastest speed?

22 A. Yes.

23 Q. And to do that, you've pushed manufacturers to
24 supply you with memory which goes faster than anything
25 JEDEC has specified?

1 A. Yes.

2 Q. And you've pushed Infineon to do that, you've
3 pushed Micron to do that, you've pushed Hynix to do
4 that, you've pushed other companies as well, right?

5 A. I wouldn't say I push. We tell them what we
6 want and if they want to serve our market, they go off
7 and produce high speed memories.

8 Q. You encourage them to do it?

9 A. Absolutely, and our selling factor on it quite
10 frankly is that they get to be the leading edge
11 performance guy, by serving our market they can go out
12 and say they're the fastest in the world as well.

13 Q. And often times what they provide you is
14 something that is not JEDEC -- is not within the JEDEC
15 specifications?

16 A. Correct.

17 Q. Okay. And you talked to us earlier today about
18 DDR2.

19 A. Yes.

20 Q. The DDR2 specification has not been published by
21 JEDEC, has it?

22 A. I actually think there is a version of it that's
23 been published now.

24 Q. You think it's been published as opposed to just
25 circulated within JEDEC?

1 A. I believe it is on the JEDEC website now, but
2 I'm not positive.

3 Q. And you started, in any event, you started
4 making use of something that you thought would meet the
5 JEDEC specification before that specification was
6 finalized?

7 A. Correct.

8 Q. And the first product, am I right that the first
9 product you started to work on that made use of what you
10 thought would ultimately be a DDR2 product was the one
11 featured in 2832, the nVidia Quadro Fx?

12 A. Not exactly. It wasn't a -- it was the first
13 device that we targeted to be compatible with hopefully
14 what would become a JEDEC DDR2 standard. The device
15 that we were developing was not being developed in the
16 context of JEDEC, so we knew that it was not true JEDEC
17 DDR2, we hoped they would be compatible so that we could
18 ultimately have a lower cost version of it also further
19 down the product line.

20 Q. Let me try and just make sure. Was the first --
21 let me just ask you, what was the first product that you
22 designed that you thought would make use of something
23 which might become DDR2?

24 A. That was our NV-30 product.

25 Q. That was your which one?

1 A. NV-30.

2 Q. And the NV-30, was that then released with a
3 product name?

4 A. Yes, that bulletin that you are holding, Quadro
5 Fx is one of the product names associated with the NV-30
6 product.

7 Q. And the NV-30 product, when did you start work
8 on that?

9 A. I had said previously it was on the order of a
10 two-year development cycle. I don't know exactly when
11 it started.

12 Q. And when was it first released?

13 A. We released that product into the market early
14 this year, maybe February time frame, something like
15 that.

16 Q. So, you would have started on it some time in
17 2001? Late 2000, early 2001?

18 A. Yeah, something like that.

19 Q. And in any event, you started work on that
20 product after you knew about the Rambus lawsuits,
21 correct?

22 A. Yes.

23 Q. You started work on the product after you knew
24 that the use of what might become DDR2 might infringe on
25 the Rambus patents?

1 A. The development of that technology, there really
2 wasn't any discussion whether it did or didn't infringe
3 on patents. I don't know whether I knew much about the
4 patents at that point in time. I knew there was
5 lawsuits going on, I don't think I knew the specifics.

6 Q. Well, I thought you -- maybe I misheard earlier,
7 I thought you told us that the lawsuits involved
8 programmable CAS latency.

9 A. I do understand that now, in 2000, I don't know
10 if I knew specifically it was a CAS latency they were
11 concerned about or something else.

12 Q. When did you first learn it involved dual edge
13 clocking?

14 A. I don't know exactly when I learned the
15 specifics of the patents in question, it was probably
16 some time around getting asked to participate in this
17 trial what were the specific items involved.

18 Q. So, when Mr. Davis asked you if you ever went to
19 JEDEC and proposed that they changed the standard with
20 respect to programmable CAS latency or programmable
21 burst length or dual edge clock, when is the first time
22 that you even knew those issues might be involved in the
23 lawsuit?

24 A. At some point, I don't recall exactly what year,
25 memory vendors came to us and said would you like to

1 change this, we're finding some IP issues over it, and
 2 our feedback was, well, if the industry is going to
 3 change, we need to change with it and we basically left
 4 it up to them to go off and decide to change or not.

5 Q. And when was that that the memory vendors came
 6 to you and said should we change to avoid these IP
 7 problems?

8 A. It was probably some time in the 2000 time
 9 frame. I don't recall exactly.

10 Q. Okay. And so, and you gave your feedback to the
 11 memory vendors when they asked you if they should
 12 change?

13 A. The way discussions would have happened, they
 14 wouldn't have asked me should I change technology
 with it. I think discussions would have been about
 whether we should change technology. I don't think
 they would have asked me should we change technology
 or not.

19 Q. And when was that that the memory vendor going to
 20 memory vendors when they asked you if they should

21 change?

22 A. I know discussions would have been, I don't think
 I believe Micron's discussions would have been about
 whether we should change technology. I don't think
 they would have asked me should we change technology
 or not.

23 Q. And when was that that the memory vendors came
 24 to you and said should we change to avoid these IP
 25 problems?

26 A. It was probably some time in the 2000 time
 27 frame. I don't recall exactly.

1 Q. And do you recall that one of the changes
2 proposed was to simply go to fixed burst length?

3 A. Yes, and fixed burst length we've never had an
4 issue with. Any one of our products has only ever been
5 fixed burst length.

1 clock, the data portion of the bus is at the gigabit
2 frequency, so a thousand megahertz kind of a clock.

3 Q. Have you run tests to see how fast you could run
4 an SDRAM, which was not using any dual edge clocking?

5 A. We have, and at the time of the standard SDRAMs,
6 I think they stopped making them at about the 250
7 megahertz for a standard SDRAM.

8 Q. But you've pushed those past the 250 megahertz,
9 right?

10 A. No, we didn't really have any need to spend the
11 energy in that time frame. It was a -- the devices that
12 could go to 250 megahertz were in a density that wasn't
13 interesting to the new crop of devices we were coming
14 out with, so we just didn't put the engineering effort
15 into it.

16 Q. And do you put your memory in a module or does
17 it fit directly next to the memory -- I'm sorry, the --
18 what's it called, the graphics controller?

19 A. It depends on the market segment and what you
20 refer to as a module. We sell a graphics card, so the
21 graphics card is not a module in most people's sense,
22 but in many ways the bulk of a graphics card is a
23 graphics chip and memory plus power supply components.
24 So, that's kind of an example for a graphics card. We
25 have in a mobile market, we have a device we call MAP

1 which is literally just a little tiny package that we
2 kind of refer to as a little memory graphics module.
3 It's for the mobile market, takes up a small amount of
4 space. But we don't do DIMMs that are those kind of
5 memory modules.

6 Q. Let me ask you just about the picture that you
7 were shown earlier by Mr. Davis, which is in exhibit
8 CX-2833, on the page entitled nVidia Coeptoui5htaphics mTjT†
9 idntitfid nhe psqure tboxin ehe pcntii5hwithethe pVidia CTjT†

12 A LYes.

1 sfourmemory mchip.

15 A LCorrect

16 Q. LAn nre those knnd ividual DRAMs?

17 A LYes.

18 Q. LOkay LNotin ea dimin ehes iconfiguratiep?

19 A LCorrect

1 had designed the controller to use either one. Do you
2 recall that?

3 A. Correct.

4 Q. And am I correct that that's the NV-10?

5 A. Correct.

6 Q. Is that what you would call a bimodal
7 controller?

8 A. I don't generally use the term bimodal in my
9 talk of it. It's a memory controller that's compatible
10 for either SDR or DDR SDRAM.

11 Q. And does it detect what type of DRAM you've put
12 in?

13 A. It doesn't detect, the BIOS we have on our card
14 gets programmed to tell the chip what type of memory is
15 on the card. Because we aren't using a dim, we don't
16 have to detect, we know what we do manufacturing time,
17 so we just program the BIOS to represent what is
18 manufactured.

19 Q. And so there's different circuitry that comes
20 into operation depending whether it's an SDRAM or DDR?

21 A. Yeah, there are some differences.

22 Q. And in your operations, the use of SDRAM and DDR
23 are not something you would say are backward compatible,
24 are they?

25 A. The use of which?

1 Q. SDRAM and DDR, the DDR is not backward
2 compatible to your products which were designed for
3 SDRAM?

4 A. I disagree with that, it is backward compatible.

5 Q. Backward compatible beginning with the NV-10?

6 A. So --

7 Q. Am I right, beginning with the NV-10?

8 A. As we design a memory controller, NV-10 is the
9 first one we did both DDR and SDR, the memory controller
10 is backward compatible in supporting SDR, as well as
11 DDR. So, we developed for the new technology, we
12 maintained backward capability to the old.

13 Q. Beginning with the NV-10?

14 A. Yes.

15 Q. And that's because you designed the controller
16 specifically to do that?

17 A. Yes.

18 Q. And could you plug in a DDR2 product into the
19 NV-10?

20 A. Not into the NV-10. Actually --

21 Q. You have to go up to -- go ahead.

22 A. Actually, NV-10 could have worked with the GDR-2
23 SGRAM. If I could elaborate on that, if you like, it is
24 possible. The reason I know this is the first chip that
25 we actually tested the GDR SGRAM was actually an NV-20

1 chip even though we shipped it with NV-30, actually
2 NV-25. In order to support -- well, the way we
3 specified the GDR-2 device is we knew it would come out
4 before our chip that supported DDR2, so we built in
5 capability into the memory to be -- to kind of act like
6 a DDR-1 device in the sense that as long as the
7 controller could operate in burst 4 mode, you could
8 still operate the memory because you could turn off the
9 offline termination and you could tie the differential
10 strobe one way to be directional. So, because it was a
11 fixed burst 4 DDR in essence, so NV-10 had support for
12 burst 4 and probably could have used it.

13 Q. The number of different product launches you've
14 worked on since you joined nVidia, if I counted them
15 correctly, would be 14?

16 A. If you counted them, I haven't tried to count
17 them. I can walk through them all in my mind again if
18 you like.

19 Q. You did 3, 4, 5, 10, 11, 15, 17, 20, 25, 28, 30,
20 31 and 34 and 35?

21 A. There was an 18 in there also.

22 Q. And I thought earlier when you gave the list, I
23 thought you left 18 out of the list. You worked on that
24 one as well, right?

25 A. I didn't do as much on that one, but yes.

1 Q. And you've been with the company how long?

2 A. About six years.

3 Q. Since you've -- and your first JEDEC meeting
4 that you attended was March of 2000, correct?

5 A. I don't believe that's the first, but that's at
6 least kind of in that time frame.

7 Q. Well, I could be wrong. Because I certainly
8 wasn't there, but let me show you your deposition
9 transcript for one second, if I may.

10 May I, Your Honor?

11 JUDGE MCGUIRE: Yes.

12 BY MR. STONE:

13 Q. Mr. Wagner, you recall giving a deposition in
14 this case?

15 A. Yes.

16 Q. And you were deposed I believe it was January of
17 this year. Is that right?

18 A. Sounds correct. The document says that, so yes.

19 Q. I hand you the transcription of that deposition,
20 I would ask you if you could to turn to page 44 of this
21 transcript and look at the testimony that starts at the
22 bottom of page 44, line 24, and continue on to page 45,
23 line 9.

24 A. I'm sorry, how far down?

25 Q. Just line 9. Did you have a chance to read?

1 A. Yes, I did.

2 Q. I'm just trying to jog your recollection. If
3 you remember a meeting before March 4 of 2000, could you
4 tell us when it was and where it was?

5 A. Like I said, the very first meeting that I ever
6 went was to when I was invited to attend, at the time we
7 weren't members and we were talking about a data mass
8 function. I know that's before Atlanta. I don't know
9 exactly when that was. It was in the Bay Area, though,
10 so it was in California somewhere.

11 Q. And that was the first one that you can remember
12 attending as a member, March 2000 in Atlanta?

13 A. I think it is.

14 MR. STONE: That's all. Thank you, no further
15 questions.

16 JUDGE MCGUIRE: Mr. Davis, any further redirect?

17 MR. DAVIS: No, Your Honor.

18 JUDGE MCGUIRE: Then thank you, sir, for your
19 testimony. You are excused from this proceeding. Let
20 me ask complaint counsel again, get me up to date for
21 what's on tap again for Monday.

22 MR. OLIVER: Actually, if I could have just a
23 moment, please, Your Honor.

24 JUDGE MCGUIRE: Okay.

25 MR. OLIVER: Your Honor, we were expecting to

1 call Mr. Steve Polzin of AMD, but he will not be
2 available until 1:00 in the afternoon. We had actually
3 been hoping to resolve the deposition issue with Mr.
4 Reese Brown, but --

5 JUDGE MCGUIRE: I can do that on Monday. I can
6 do that Monday morning if you want.

7 MR. OLIVER: We have -- we have filed a response
8 to --

9 JUDGE MCGUIRE: Right, I just got it today and I
10 haven't really had a chance to go through it, but if we
11 wanted to go -- I mean, it's only -- your opposition
12 only pertains to pages, what, 89 through 115, something
13 like that?

14 MR. STONE: Yeah, I don't have the exact page
15 numbers in mind but it's a limited number of pages that
16 we had objected to.

17 JUDGE MCGUIRE: That's what I'm asking. Is it
18 complaint counsel's intent to go ahead and put that on
19 and then at the time we get to the area that's in
20 dispute then I'll rule? I mean is that what you plan on
21 doing?

22 MR. OLIVER: With three caveats, Your Honor,
23 first I haven't had a chance to consult with the other
24 side to see if they are willing to agree to that. The
25 second, I agree that there are some additional

1 objections that may have to be ruled on after we go that
2 I think could be handled as we go. The third is that to
3 my understanding that we still have a few technical
4 issues to work out with the video, which I think we can

1 however there's other objections.

2 MR. OLIVER: That was my understanding, again,
3 Mr. Swindell has been coming up on our behalf and he is
4 not here. My understanding is that there were a few
5 additional specific objections that would have to be
6 ruled on.

7 JUDGE MCGUIRE: Well, I'll tell you right now
8 having just gone through your response today, I tend to
9 agree with the respondent that there was no -- there's
10 certainly an improper foundation, if any, you know,
11 foundation laid for the proposed testimony on pages 89
12 through 115. So, that's how I intend to hold, you know,
13 on that issue. So, I'll just advise you at this time of
14 that. Now, if there's other objections, we could
15 perhaps consider them as we go on Monday, if that's
16 agreeable. But, you know, I don't know what you have in
17 store at this point.

18 MR. STONE: That's fine with us, Your Honor, if
19 you rule on them as we go. We have no problem with
20 that. I don't think the other ones are large in volume
21 and I think you can rule on them. That's fine with us.

22 JUDGE MCGUIRE: Now, I've had time to go through
23 each of the pleadings, and like I say, I don't see where
24 there's a proper foundation, at least on the pages in
25 question.

1 MR. OLIVER: Okay, thank you, Your Honor. I
2 will try to find out as quickly as I can whether we can
3 resolve the technical issues with the video over the
4 weekend.

5 JUDGE MCGUIRE: So then you want to plan on I
6 guess convening on Monday in the morning at the same
7 time, 9:30, or do you want to advise me, you know, on
8 Monday morning and then we'll play it by ear on Monday
9 morning?

10 MR. OLIVER: I would recommend, Your Honor, that
11 we advise you first thing Monday morning and play it by
12 ear.

13 MR. STONE: We probably need to have the
14 reporter here, though.

15 JUDGE MCGUIRE: Let's plan on having a court
16 reporter here in the morning, early on Monday morning at
17 9:30. So, with the anticipation that we might well
18 start at 9:30.

19 MR. STONE: And we'll work, if we can help
20 resolve the technical issues, we will.

21 JUDGE MCGUIRE: Okay. Anything else?

22 MR. STONE: Nothing else, Your Honor, thank you.

23 JUDGE MCGUIRE: This hearing is now in recess.
24 See you Monday.

25 (Whereupon, at 4:47 p.m., hearing adjourned.)

1 C E R T I F I C A T E O F R E P O R T E R

2

3 DOCKET/FILE NUMBER: 9302

4 CASE TITLE: RAMBUS, INC.

5 HEARING DATE: MAY 30, 2003

6

7 I HEREBY CERTIFY that the transcript contained
8 herein is a full and accurate transcript of the notes
9 taken by me at the hearing on the above cause before the
10 FEDERAL TRADE COMMISSION to the best of my knowledge and
11 belief.

12

13 DATED:

14

15

16 Sally Jo Bowling

17

18 C E R T I F I C A T E O F P R O O F R E A D E R

19

20 I HEREBY CERTIFY that I proofread the transcript
21 for accuracy in spelling, hyphenation, punctuation and
22 format.

23

24

25 Sara J. Vance

For The Record, Inc.
Waldorf, Maryland
(301) 870-8025