

1 FEDERAL TRADE COMMISSION  
2 I N D E X (PUBLIC RECORD)

3

4 WITNESS: DIRECT CROSS REDIRECT RECROSS  
5 McAfee 7110

6

7 EXHIBITS FOR ID IN EVID  
8 CX

9 Number 1314 7098

10

11 RX

12 Number 757 7097

13 Number 763 7097

14 Number 765 7097

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

UNITED STATES OF AMERICA  
FEDERAL TRADE COMMISSION

In the Matter of: )  
Rambus, Inc. ) Docket No. 9302  
-----)

Wednesday, June 25, 2003  
9:33 a.m.

TRIAL VOLUME 35  
PART 1  
PUBLIC RECORD

BEFORE THE HONORABLE STEPHEN J. McGUIRE  
Chief Administrative Law Judge  
Federal Trade Commission  
600 Pennsylvania Avenue, N.W.  
Washington, D.C.

Reported by: Josett F. Hall, RMR-CRR

For The Record, Inc.  
Waldorf, Maryland  
(301) 870-8025

1 APPEARANCES:

2

3 ON BEHALF OF THE FEDERAL TRADE COMMISSION:

4 M. SEAN ROYALL, Attorney

5 GEOFFREY OLIVER, Attorney

6 JOHN C. WEBER, Attorney

7 MICHAEL FRANCHAK, Attorney

8 Federal Trade Commission

1 APPEARANCES:

2

3 ON BEHALF OF THE RESPONDENT:

4 A. DOUGLAS MELAMED, Attorney

5 Wilmer, Cutler & Pickering

6 2445 M Street, N.W.

7 Washington, D.C. 20037-1420

8 (202) 663-6090

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

For The Record, Inc.  
Waldorf, Maryland  
(301) 870-8025

## P R O C E E D I N G S

1

- - - - -

2

3

JUDGE McGUIRE: This hearing is now in order.

4

Before we start this morning, any housekeeping tasks we need to take up?

5

6

MR. PERRY: Yes, Your Honor. We have a few exhibits to move in, if we could, from yesterday's examination of Mr. Lee.

7

8

JUDGE McGUIRE: All right.

9

10

MR. PERRY: There are ten exhibits. I shared the list with Mr. Oliver, and he has informed me that complaint counsel have no objections, so if I could just read the numbers?

11

12

13

JUDGE McGUIRE: Go ahead.

14

15

MR. PERRY: RX-1527, JX-40, CX-1314, RX-757, RX-763, RX-765, RX-2061, RX-2062, RX-2064 and RX-2070. We would move in those exhibits at this time.

16

17

MR. OLIVER: We have no objection, Your Honor.

18

19

JUDGE McGUIRE: All right. All those at this time are entered to the record.

20

21

MR. PERRY: Thank you, Your Honor.

22

(RX Exhibit Numbers 757, 763, 765, 1527, 2061, 2062, 2064 and 2070 were admitted into evidence.)

23

24

(JX Exhibit Number 40 was admitted into evidence.)

25

1 (CX Exhibit Number 1314 was admitted into  
2 evidence.)

3 JUDGE McGUIRE: Anything else?

4 Mr. Stone.

5 MR. STONE: Yes, Your Honor. Two other  
6 housekeeping matters.

7 The first is, as you know, we discussed with  
8 you the date on which respondent's case would start.

9 JUDGE McGUIRE: Yes.

10 MR. STONE: Because the case has gone a bit  
11 longer than any of us have anticipated, we have been  
12 trying to juggle vacations, sabbaticals and a honeymoon  
13 of some of --

14 JUDGE McGUIRE: You know, I need all three of  
15 those things right now.

16 MR. STONE: Well, I don't think you're alone in  
17 that, Your Honor.

18 We had talked with complaint counsel. Because  
19 of some travel problems, if we could delay the start by  
20 one day to July 9 of our case --

21 JUDGE McGUIRE: That's fine with the court.

22 Is it the expectation that -- and I asked you  
23 this I think on Tuesday, Mr. Oliver, and you said that  
24 or you indicated the other day that you anticipated the  
25 completion of the complaint counsel's case in chief by

1 the end of June, which would be next Monday.

2 Do you anticipate you're going to go beyond  
3 that date at all, like up to the 2nd or the 3rd  
4 perhaps?

5 MR. OLIVER: Your Honor, if I could explain in  
6 a little more detail where we stand. This may actually  
7 raise a second issue that Mr. Stone -- at this point we  
8 expect that Professor McAfee will be our second to last  
9 witness. He was originally of course scheduled to be  
10 our last witness, but we of course had to take  
11 Mr. Vincent out of order.

12 In addition to that, we still have remaining  
13 reading from the deposition testimony of Mr. Joel Karp.  
14 If time permits on Friday, we hope to finish with the  
15 deposition testimony of Mr. Karp on Friday.

16 We expect to take Mr. Vincent next Monday. If  
17 we don't finish Mr. Karp's deposition Friday, we expect  
18 to finish it Monday. That would complete the live  
19 witnesses for us.

20 In addition, we still have a number of other  
21 depositions that we and respondent have agreed can be  
22 submitted in paper.

23 JUDGE McGUIRE: Okay.

1 perhaps up to about July 9, 10 or 11, whatever it takes  
2 to accommodate their break as well, we would focus on  
3 consolidating the designated portions of the  
4 depositions, including those portions without  
5 objections and those portions with objections. We'd  
6 then offer those to you sometime on July 10 or 11.

7 JUDGE McGUIRE: That's fine.

8 MR. OLIVER: That would then be the completion  
9 of our case.

10 JUDGE McGUIRE: So again, you anticipate being  
11 done with your case by next Tuesday perhaps?

12 MR. OLIVER: I believe that, again, assuming  
13 that there's time on either Friday or Monday to  
14 complete the reading of Mr. Karp's deposition, I think  
15 we can finish by the end of the day Monday.

16 JUDGE McGUIRE: Great. Okay. Anything else?



1 could.

1 counsel. They have some royalty rates in  
2 Professor McAfee's demonstratives, but I understand  
3 they're going to treat those as in camera for the  
4 purposes of his testimony, and that will deal with that  
5 issue.

6 And I think complaint counsel and Your Honor  
7 both got a copy of the brief. I hope. If not, I have  
8 hard copies.

9 JUDGE McGUIRE: Are you talking about the  
10 brief for the proposed slides that they're going to  
11 show?

12 MR. STONE: Yes. That at some point will come  
13 up today. I'm not sure when.

14 JUDGE McGUIRE: I'd just gotten that brief  
15 about fifteen minutes ago. I have just had a couple  
16 of moments to go through it.

17 Do you have any comments you want to make to  
18 any of this, Mr. Royall?

19 MR. ROYALL: Well, Your Honor, like you, I'm  
20 not even sure if I saw it fifteen minutes ago, but I  
21 have quickly looked at it and I do think that there is  
22 a response that I think should resolve the issue and  
23 create -- make it a nonissue.

24 The motion, as I understand it, is predicated  
25 upon Your Honor's motion in limine ruling.

1 JUDGE McGUIRE: On April 21, right.

2 MR. ROYALL: This was a ruling relating to  
3 Professor McAfee's testimony.

4 JUDGE McGUIRE: Right.

5 MR. ROYALL: And as you may recall, in that  
6 ruling, you granted, in part, Rambus' motion, finding  
7 that the issues were moot because we had explained in  
8 our opposition that we had no intention of  
9 Professor McAfee -- he made clear --

10 JUDGE McGUIRE: It was complaint counsel who  
11 had advised the court that those issues were I think  
12 moot.

13 MR. ROYALL: Yes.

14 JUDGE McGUIRE: Because you had no intention  
15 to inquire regarding state of mind, the patent  
16 disclosure policy of JEDEC, and some of the other  
17 issues involved, so that's where the court came up  
18 with that language.

19 MR. ROYALL: Exactly. That's exactly correct.

1           On the other hand, we explained very clearly  
2           that he has made assumptions about facts and he has  
3           understandings about facts that supply a predicate for  
4           his economic analysis. And we cited quite a bit of  
5           case law that says that where an expert testifies, his  
6           assumptions not only are appropriate to be explained,  
7           but it's really quite necessary because the strength

1           MR. ROYALL: Yes, Your Honor. But I'm just  
2 recalling now that it was in that context that I made  
3 the point that we understood your order not to limit  
4 us in terms of bringing out the nature of the  
5 assumptions as long as they're so stated and as long  
6 as it's very clear that he's not testifying about what  
7 JEDEC's rules or what patents cover, et cetera,  
8 et cetera.

9           And I think that really resolves this whole  
10 issue.

11           Obviously Rambus is responding to slides that  
12 may give very cryptic explanations, and I understand  
13 that they have some concern, but I can tell you that we  
14 do not intend for Professor McAfee to testify as to  
15 what patents cover what, what JEDEC rules do or do not  
16 provide.

17           He is going to, however, explain the bases of  
18 his assumptions and those can be then resolved through  
19 the evidence.

20           JUDGE McGUIRE: Okay. Mr. Stone, did you want  
21 to respond to that?

22           MR. STONE: Your Honor, I think -- I think  
23 what's best is to wait as we go forward. We've sort of  
24 laid out the underlying premise of law, and I think if  
25 his testimony runs afoul of where we think --



1 I guess, complaint counsel that upon your inquiry then  
2 make clear on those areas that these are based on his,  
3 I guess, assumptions and not a statement as to his  
4 conclusions in some of these areas that we've  
5 discussed, and hopefully that will address the crux of  
6 these problems.

7 If it doesn't, then I'm sure you'll stand up  
8 and we'll hear from you again.

9 MR. STONE: Thank you, Your Honor.

10 MR. ROYALL: And we fully intend to do that.  
11 The only point I make is in case, as we also stated in  
12 our opposition to the motion in limine, it's  
13 appropriate in defining assumptions for the expert to  
14 explain what, if any, basis he had in making the  
15 assumption --

16 JUDGE McGUIRE: Right.

17 MR. ROYALL: -- I may ask questions along those  
18 lines.

19 MR. STONE: We may get into an issue as to  
20 whether he can rehearse evidence in this case in order  
21 to support an assumption, because an assumption is  
22 simply an assumption, and if he rehearses testimony in  
23 this case to support an assumption, he's then making  
24 the assumption part of his opinion. He's then  
25 testifying that this is an assumption based on

1 evidence.

2 And the appropriate way for this is simply to  
3 say "I have assumed that," and then we will argue to  
4 Your Honor at the end of the case whether the evidence  
5 supports his assumption or not.

6 JUDGE McGUIRE: Right. Right.

7 MR. ROYALL: Your Honor, I strongly disagree  
8 if what he is saying is that the expert should not --  
9 is not permitted to point to evidence that the expert  
10 has seen as relating to or giving corroborating  
11 assumption.

12 Again, we cited and included Supreme Court  
13 cases on that point in our original motion. We can  
14 deal with it when it comes up.

15 JUDGE McGUIRE: Right. But I want to be  
16 careful. I think one of the concerns that has been  
17 raised in this brief is that we not sit here and have  
18 him summarize unduly fact testimony of which he has no  
19 firsthand knowledge.

20 Now, to the extent that some facts are part of  
21 his overall assumption, then you'll be able to lay that  
22 foundation. But I don't want to spend a lot of time on  
23 him going back over and restating the facts of other  
24 individuals who have testified in this hearing. That's  
25 not his role.



1           But I will give you some leeway in that regard,  
2 but if you overdo it, then I want to intervene and cut  
3 you off in that regard.

4           MR. ROYALL: I understand, Your Honor.

5           And I will tell you that we do think it's  
6 appropriate to draw out at certain points what, if any,  
7 facts he has seen to support his assumptions, but we  
8 don't plan to do that in any great deal and we expect  
9 that most of the fact issues may come up on cross as  
10 opposed to direct.

11          JUDGE McGUIRE: Okay. Very good.

12          MR. STONE: I think we'll --

13          JUDGE McGUIRE: Mr. Stone, one last thing.

14          MR. STONE: On that point, Your Honor, I do  
15 think rehearsing facts which are already in the record

1 stand for objection.

2 MR. STONE: Thank you, Your Honor.

3 MR. ROYALL: Thank you, Your Honor.

4 JUDGE McGUIRE: Are we set?

5 MR. ROYALL: Yes, Your Honor.

6 JUDGE McGUIRE: Then at this time complaint  
7 counsel may call its next witness.

8 MR. ROYALL: At this time complaint counsel  
9 calls as its next witness Professor Preston McAfee.

10 JUDGE McGUIRE: Would you please approach the  
11 bench and be sworn by the court reporter.

12 - - - - -

13 Whereupon --

14 RANDOLPH PRESTON McAFEE

15 a witness, called for examination, having been first  
16 duly sworn, was examined and testified as follows:

17 DIRECT EXAMINATION

18 BY MR. ROYALL:

19 Q. Good morning.

20 A. Good morning.

21 Q. Professor McAfee, can I ask you for the record  
22 to state your full name.

23 A. Randolph Preston McAfee.

24 Q. And where are you employed?

25 A. The University of Texas at Austin.

1 Q. And is that where you reside as well?

2 A. Yes.

3 Q. And what position do you hold at the  
4 University of Texas at Austin?

5 A. I'm the Murray Johnson professor of economics.

6 Q. Is that in the economics department?

7 A. Yes, that's in the economics department.

8 Q. And how long have you been employed as an  
9 economics professor at the University of Texas?

10 A. Since 1990.

11 Q. Have you taught at any other universities?

12 A. Yes. My first job out of graduate school was  
13 at the University of Western Ontario.

14 Q. And how long did you teach there?

15 A. Seven years.

16 Q. In the economics department?

17 A. That's correct -- actually I was on the  
18 faculty for nine years. I then went on leave to  
19 Cal Tech.

20 Q. California?

21 A. Institute of Technology.

22 Q. And did you teach economics there as well?

23 A. I did, yes.

24 Q. And after teaching at Cal Tech, what did you do  
25 then?

1 A. That's when I went to the University of Texas.

2 Q. And I think you said that was 1990?

3 A. That's correct.

4 Q. Since you have been a professor at the  
5 University of Texas, have you taken leave to teach at  
6 any other schools?

7 A. Yes. I've taught at MIT and also at the  
8 University of Chicago.

9 Q. And at MIT, were you teaching in the economics  
10 department?

11 A. I was. I taught industrial organization in the  
12 economics department.

13 Q. And at the University of Chicago what  
14 department of the school did you teach in?

15 A. The Graduate School of Business.

16 Q. Was it an economics class that you taught in  
17 the Graduate School of Business?

18 A. Yes. The economics of strategy.

19 Q. Do you specialize in any particular area of  
20 economics?

21 A. Yes. I specialize in industrial organization.

22 Q. Could you explain to the court what is  
23 industrial organization.

24 A. Industrial organization is the study of firm  
25 behavior and the performance of markets.

1           Q. And do you have any understanding as to whether  
2 industrial organization has any relationship to  
3 antitrust or antitrust policy?

4           A. Yes. Antitrust issues are generally an  
5 important branch of industrial organization, for the  
6 reason that it's the study of market performance and  
7 antitrust issues very much concern market performance.

8           Q. Are you currently, by which I mean not today  
9 but in this year, academic year, are you currently  
10 teaching classes?

11          A. Yes.

12          Q. And what classes do you teach?

13          A. I teach an undergraduate managerial economics,  
14 which is about corporate behavior and firm  
15 decision-making, and then I teach a graduate-level  
16 course called the economics of strategy, which is about  
17 a similar topic.

18          Q. And was it -- it was fairly recently that you  
19 were visiting at the University of Chicago; is that  
20 right?

21          A. Yes. Three years ago.

22          Q. And what class, if you didn't already mention



1           A. At that time -- and I think actually they may  
2 have restarted it -- but at that time they had an  
3 annual program where they brought an academic in to  
4 assist with various -- well, with their mission, their  
5 antitrust mission. The informal name of this program  
6 was the scholar in residence.

7           Q. And what is the nature of that program?

8           A. Well, I worked on a variety of matters that  
9 were going on at the time. They were particularly  
10 interested in collusive bidding in auctions, that is,  
11 bidders who collude together, and that was one of the  
12 reasons they picked me. But they had -- I worked on a  
13 variety of matters during that period.

14          Q. Have you published any articles relating to  
15 economics?

16          A. Yes. Over 60 articles.

17          Q. And is there any particular area in economics  
18 that has been the focus of your academic articles?

19          A. Well, broadly speaking, most of the articles  
20 are in the field of industrial organization. The  
21 specific topic I've published the most on is in  
22 auctions. I've published on antitrust, on market  
23 pricing, and a variety of other topics.

24          Q. How does economics relate to the issue of  
25 auctions that you mentioned?

1           A. Well, auctions are a form of market  
2 organization, and so auctions -- the study of auctions  
3 is very much a matter of the study of market  
4 performance and market behavior.

5           Q. You mentioned that you've written on the  
6 subject of antitrust or antitrust-related topics.

7                   Can you give an example of an antitrust-related  
8 topic that you've written on?

9           A. Yes. I've written several papers on mergers --  
10 these are coauthored papers I should mention. But I've  
11 written several papers on mergers and antitrust policy.  
12 I've written papers on cartel behavior and collusion.

13          Q. And in terms of business strategy, are there  
14 any particular topics relating to business strategy  
15 that you've focused on in your academic writings?

16          A. Well, in fact I've written a book on business  
17 strategy that's just come out.

18          Q. I think we may actually have a slide that --  
19 yes. The first slide here.

20                   Your Honor, I don't know where we are in terms  
21 of DX numbers.

22                   JUDGE McGUIRE: It should be DX-120.

23                   MR. ROYALL: DX-120.

24                   BY MR. ROYALL:

25          Q. Is this a picture of the cover of your recent



1 book, Professor McAfee?

2 A. It is. Or the dust jacket I guess.

3 Q. And what does this book relate to?

4 A. It's a book on business strategy that's useful  
5 for teaching both graduate and undergraduate courses on  
6 the economics of strategy.

7 Q. And I take it this is a book that you've  
8 recently completed?

9 A. Yes. It came out in December of 2002.

10 Q. Now, in addition to your own writings, have you  
11 ever edited the work of other economists?

12 A. Yes. For over nine years I was a coeditor of  
13 the American Economic Review, and this is the -- among  
14 peer-reviewed economics journals, this is the one that  
15 has the most subscribers, and I think by more than a  
16 factor of four. AER has four times as many subscribers  
17 as the next leading peer-reviewed economics journal,  
18 and so it's one of the most important economics  
19 journals.

20 Q. And can you explain what you mean by the term  
21 "peer-reviewed"?

22 A. Yes. So I guess perhaps the best way to  
23 explain it is in terms of the well-known phrase  
24 "publish or perish."

25 Generally, as a professor, you're expected to

1 publish in journals where the work is edited by or  
2 considered by -- considered for publication by other  
3 academics, and "peer-reviewed" means that it's gone  
4 through a scholarly process where it's been checked by  
5 other experts, so as opposed to a magazine where the  
6 articles are written by people who work for the  
7 magazine.

8 Q. In addition to the work that you did as a  
9 coeditor of the American Economic Review, have you  
10 served as an editor of any other economics  
11 publications?

12 A. Yes. I'm currently -- actually I'm also an  
13 associate of the American Economic Review. I was a  
14 coeditor, which meant that I handled a quarter of all  
15 of the manuscripts they process. Now as an associate  
16 editor I handle way fewer than that.

17 I'm also an associate editor of the Journal of  
18 Economic Theory, which, even though the name is  
19 associate editor, it's actually more like a coeditor  
20 except there are forty of us, approximately forty of  
21 us, so I handle, again, a much smaller volume of  
22 manuscripts for that journal, and it's one of the  
23 leading journals in economic theory.

24 Q. Are you a member of any honorary societies  
25 relating to the field of economics?

1 A. Yes. I'm a fellow of the Econometrics Society.

2 Q. What is that?

3 A. Econometrics Society is probably the leading  
4 group of economists who deal with economic theory and  
5 econometrics. Econometrics is the study of economic  
6 statistics, and this is sort of one of the leading  
7 societies, and a fellow is an elected, honorary  
8 position.

9 Q. In addition to your academic work, have you  
10 during your career done any type of consulting work?

11 A. Yes. I've consulted on a variety of antitrust  
12 matters.

13 Q. And other than consulting on antitrust  
14 matters, what other type of consulting work have you  
15 done?

16 A. I've done a good bit of auction work and I've  
17 advised companies with respect to auctions. I've also  
18 advised the federal government and governments in other  
19 nations about how to auction the radiofrequencies or  
20 the spectrum, the radio spectrum.

21 Q. Let me start with antitrust-related  
22 consulting.

23 JUDGE McGUIRE: Let me inquire here. I'm not  
24 sure what you're talking about, sir. You said you've  
25 done some auction work. What exactly are you -- can



1 THE WITNESS: Yes, it is.

2 JUDGE McGUIRE: All right. That's fine.

3 You may proceed.

4 BY MR. ROYALL:

5 Q. Just to be clear, we don't need to go into  
6 detail on this, but the consulting work you said you  
7 did for foreign governments, was that relating to  
8 similar-type auctions?

9 A. Yes. I sold spectrum for cellular phones and  
10 also for microwave spectrum, which is communication,  
11 terrestrial communications, in Mexico, and we raised  
12 \$1.1 billion for the Mexican government.

13 Q. Now, putting aside consulting relating to  
14 auctions, you said that you had done consulting work  
15 relating to antitrust.

16 Was any of that -- has any of that consulting  
17 work involved work in which you were retained by a  
18 government agency?

19 A. Yes. In particular, I've worked extensively  
20 with the Federal Trade Commission on several different  
21 merger matters.

22 Q. Can you give an example of a merger matter or  
23 some merger matters that you've worked with the  
24 Federal Trade Commission on?

25 A. Yes. The FTC retained me to help them analyze

1 the Exxon-Mobil matter, which at the time was the  
2 biggest merger ever proposed. And I provided expert  
3 advice on that merger, which ultimately was not  
4 challenged.

5 They also hired me to provide advice on the  
6 BP-ARCO merger, which at the time -- well, in fact  
7 maybe even still today but which at the time turned out  
8 to be the largest merger ever challenged by a U.S.  
9 government agency.

10 Q. Other than this case and other than the merger  
11 matters that you've worked on with the FTC, have you  
12 worked with the FTC on any other consulting-related  
13 matters?

14 A. Yes. Phillips-Conoco. Monster-HotJobs, which  
15 was a proposed merger that was ultimately not  
16 consummated by two on-line employment companies. And  
17 there might be other matters that I --

18 Q. Are there any nonmerger matters other than this  
19 case that you've worked with the FTC on?

20 A. I'm forgetting as I sit here.

21 Q. Okay. Let me ask you this.

22 Have you ever testified before Congress?

23 A. Yes. I actually have testified twice before  
24 senate subcommittees.

25 Q. And on what issues?

1           A. And that actually now prompts me on whether I  
2 helped the FTC on other matters.

3           Yes, I helped the FTC on midwest -- in the year  
4 2000 or 2001, gasoline prices went to \$2.50 in Chicago,  
5 and there was an investigation. The FTC launched an  
6 investigation, and I assisted in that matter and  
7 ultimately testified before Congress before two  
8 congressional subcommittees on gasoline prices, one  
9 specifically targeted to the midwest gas price hike and  
10 one more generally on the determinants of gasoline  
11 prices.

12          Q. Now, I've asked you about your  
13 government-related or some of your government-related  
14 consulting experience.

15                 Have you also consulted with private parties?

16          A. Yes.

17          Q. And relating to antitrust matters?

18          A. Yes. I've worked on a variety of matters,  
19 antitrust matters, for the private sector.

20          Q. And your antitrust-related consulting, has it  
21 extended to more than one industry or has it been  
22 focused in only to a particular industry or small group  
23 of industries?

24          A. No. Actually it's been quite broad. I've  
25 worked on software. I've worked on defense-related

1 matters, that is to say, military weapons systems. On  
2 pulp and paper. I've worked on a variety of matters.  
3 Lead.

4 Q. Are you currently affiliated with any private  
5 consulting firms?

6 A. Yes. I work with two firms, Market Design,  
7 Inc. and KeyPoint Consulting.

8 Q. And do you have an ownership interest in either  
9 of these firms?

10 A. I have an ownership interest in both of them.

11 Q. Have you ever testified before in litigation?

12 A. Yes. I've been deposed about a dozen times and  
13 testified twice in court.

14 Q. In what types of cases have you testified?

15 A. One was for the pulp and paper industry and the  
16 other one was in real estate.

17 Q. And what was the nature of the legal dispute,  
18 as you recall?

19 A. The pulp and paper case was a merger and it  
20 was -- my role was an analysis of everything from  
21 market definition to remedies.

22 And in the real estate matter, I was actually  
23 testifying on admissibility of economic testimony.

24 Q. At some point in time I take it you were  
25 contacted by FTC attorneys about litigation or



1 potential litigation against Rambus; is that correct?

2 A. Yes, that's correct.

3 Q. Do you recall when that was?

4 A. Yes. It was in the spring of last year. And I  
5 believe we have a -- we have a --

6 Q. We have another slide here. This is DX-121 I  
7 believe.

8 JUDGE McGUIRE: Correct.

9 BY MR. ROYALL:

10 Q. And the slide that has just popped up -- by the  
11 way, the slides -- have you brought slides with you  
12 today for purposes of your testimony?

13 A. I have.

14 Q. And this particular slide, as the title  
15 suggests, relates to your assignment. You mentioned  
16 you were retained in the spring of 2002.

17 At the time that you were first contacted by  
18 the FTC in the spring of 2002, to your knowledge, had  
19 the FTC already instituted litigation against Rambus?

20 A. I don't believe so.

21 Q. At the time that you were contacted, did you  
22 have an understanding of the purpose for which the FTC  
23 attorneys were contacting you?

24 A. Yes, I did.

25 Q. And what was your understanding?

1           A. Well, that's -- I set this out on a slide to  
2 remind me of the -- as an aide-memoire. It was,  
3 broadly speaking, to conduct an economic analysis of  
4 Rambus' conduct.

5           Q. Before getting to the substance of the slide,  
6 I'm going to ask you just a few more questions.

7                    You obviously agreed to be retained; is that  
8 correct?

9           A. I did, yes.

10          Q. And have you been working with the FTC on the  
11 Rambus matter since roughly the spring of 2002?

12          A. That's correct.

13          Q. Have you been paid for your work?

14          A. I have.

15          Q. Are you paid on an hourly basis?

16          A. Yes, I am.

17          Q. And what is your hourly rate?

18          A. \$400 an hour.

19          Q. Is that the normal rate that you charge for  
20 consulting services?

21          A. I have a government rate and that is my normal  
22 government rate.

23          Q. In your work on this matter, have you received  
24 any support or assistance from any consulting firm?

25          A. Yes. From KeyPoint Consulting.

1 Q. What type of assistance have you received from  
2 KeyPoint Consulting?

3 A. KeyPoint Consulting has several -- well,  
4 actually they have a variety of talent. They have  
5 everything from Ph.Ds in economics to people with  
6 bachelor's degrees on the other end, and I've received  
7 a variety of economic help.

8 Q. To your knowledge, has the staff at KeyPoint,  
9 the staff members that have assisted you, have they  
10 been compensated as well by the FTC for their work?

11 A. Yes. They are compensated in the same manner,  
12 in the sense of hourly.

13 Q. Now, getting to the slide, when you were  
14 retained by the FTC, were you asked to take on any  
15 particular assignment?

16 A. Yes. Broadly speaking, I was asked to conduct  
17 an economic analysis of Rambus' actions.

18 Q. And does this slide reflect the nature of the  
19 initial assignment that you were given by the FTC  
20 attorneys when they retained you?

21 A. It does. In addition to a broad economic  
22 analysis, I was to analyze the competitive nature and  
23 the competitive effects of the conduct and determine  
24 the appropriate remedies.

25 Q. And in describing your assignment here, you've

1 referred a couple of times to Rambus' alleged conduct  
2 or to alleged actions.

3 In conducting the work that you have been asked  
4 to take on in this case, was it important for you to  
5 have or to develop an understanding of what conduct  
6 Rambus was alleged to have engaged in?

7 A. Absolutely. That would be -- that would form  
8 the starting point of an analysis, would be the  
9 conduct.

10 Q. And can you just elaborate on what you mean by  
11 the conduct forms the starting point for the analysis?

1 complaint, I've done a great deal of work to appreciate  
2 just how Rambus has behaved in this market and the  
3 nature of its actions.

4 Q. But are you here to testify as a fact witness  
5 as to what Rambus may have done or not done?

6 A. No, I'm not.

7 Q. And when you say that you've conducted an  
8 investigation into the facts relating to Rambus'  
9 conduct, is that for the purpose of conducting an  
10 economic analysis?

11 A. Yes. Generally an economic analysis -- the  
12 conclusions of an economic analysis are only going to  
13 be as good as the assumptions on which they're based,  
14 and so it's important to base your assumptions on what  
15 will prove to be correct or what will be demonstrated  
16 to be true, that is, to have correct assumptions.

17 Now, my role is to reason from the assumptions  
18 to the conclusions, but it's important for the  
19 conclusions to be valid, that is, valid in the actual  
20 circumstance as opposed to just valid given the  
21 assumptions, that the assumptions be correct.

22 Q. Well, and have you in fact made assumptions as  
23 to the nature of the conduct that Rambus is alleged to  
24 have engaged in, that is, the nature of the conduct  
25 that you understand to be the focal point of the FTC's

1 claims?

2 A. I have.

3 Q. And what is your understanding in that regard?

4 I believe you may have a slide relating to this  
5 as well.

6 A. Yes, we have a slide.

7 Q. And that will be DX-123 I believe?

8 JUDGE McGUIRE: No. 122 I think.

9 MR. ROYALL: I'm sorry. Is it 122?

10 BY MR. ROYALL:

11 Q. Now, can you explain to us, generally speaking,  
12 before we get into any details, what this slide is  
13 intended to show?

14 A. So the first part of this slide sets -- so  
15 broadly speaking, this slide is about the FTC  
16 allegations. These are my understanding of the  
17 allegations. Actually let me -- that's the answer to  
18 your question.

19 Q. In each of the bullet points here, are each of  
20 these bullet points assumptions that you are making, or  
21 do any of these bullet points reflect conclusions or  
22 opinions that you're offering?

23 A. Some of these bullets are assumptions and some  
24 are conclusions, and I'm happy to explain.

25 Q. Please do.

1           A.    The -- for example, the first one, the  
2           subversion of the open standard-setting process, that's  
3           an assumption that's a factual matter.

4           Q.    Can I stop you there?  Why don't we go through  
5           them one at a time.

6                     You say that that's an assumption about facts.

7                     To be very clear about this, are you here to  
8           testify as to what JEDEC's rules do or do not require?

9           A.    I am not.

10          Q.    Are you making assumptions as to what JEDEC's  
11          rules do or do not require?

12          A.    I am.

13          Q.    What assumptions are you making?

14          A.    Well, actually the assumption I'm making in  
15          this part is not specifically about what JEDEC's rules  
16          do or do not require but, rather, that Rambus did  
17          not -- that Rambus violated whatever rules or  
18          expectations that -- or actually the process, whatever  
19          process, that Rambus took actions which subverted the  
20          process.

21          Q.    That is your assumption?

22          A.    That is my assumption.

23          Q.    And do you assume anything as to the manner in  
24          which Rambus took actions to subvert the JEDEC  
25          process?





1           A. It does. I am assuming that Rambus is  
2 attempting to enforce these patents against the  
3 manufacturers of JEDEC-compliant DRAM and also  
4 against -- it doesn't say this on the slide, but it's  
5 also against the manufacturers of controllers and the  
6 like.

7           Q. You use the term in this bullet  
8 "JEDEC-compliant DRAM."

9           Are you making assumptions as to whether  
10 products produced by DRAM manufacturers that purport to  
11 comply with JEDEC's SDRAM and DDR standards do in fact  
12 comply with those standards?

13          A. No. I have no ability to ascertain whether  
14 they do or do not and I would have to assume that they  
15 were complying with the JEDEC standards.

16          Q. And are you in fact making such an assumption?

17          A. Yes, I am.

18          Q. Turning now to the fourth bullet point, does  
19 this bullet point relate to facts that you are assuming  
20 or is this reflecting an area in which you are offering  
21 an economic expert opinion?

22          A. This is solidly inside the realm of economic  
23 analysis; that is, given the assumptions, one of my  
24 conclusions will be that Rambus' behavior eliminated  
25 alternatives.

1 Q. I don't know if you need a glass of --

2 MR. STONE: Your Honor, may I rise? If I can,  
3 I don't mean to interrupt taking a drink of water, but  
4 this last answer does raise the issue directly of your  
5 in limine.

6 If Professor McAfee is going to testify, as he  
7 suggested now, that certain alternatives were  
8 commercially viable, if that's an opinion he's going  
9 to render, as this testimony we just heard suggests,  
10 that runs exactly afoul of Your Honor's order, which  
11 says that he is not permitted to testify to any aspect  
12 of the cost or performance of alternative  
13 technologies.

14 I can understand that he might assume that  
15 there are alternative technologies that were  
16 commercially viable, but Your Honor has directly ruled  
17 and his prior testimony has established he doesn't have  
18 the expertise to opine as to whether they were or were  
19 not commercially viable, and we just heard him say that  
20 that's something he intends to give an opinion on. He  
21 says it's solidly inside the realm of economic  
22 analysis.

23 JUDGE McGUIRE: Any response, Mr. Royall?

24 MR. ROYALL: I'm sorry, Your Honor. I'm  
25 looking to see if I can identify the portion of your

1 motion in limine ruling Mr. Stone is referring to.

2 JUDGE McGUIRE: All right. Take a moment.

3 MR. ROYALL: Could I have a moment?

4 JUDGE McGUIRE: Sure.

5 MR. ROYALL: Thank you.

6 (Pause in the proceedings.)

7 Your Honor, I think that Mr. Stone may be  
8 misreading your order. Your order, as I read it,  
9 denies the aspect of their motion in limine relating to  
10 cost and performance of alternative technologies. I  
11 believe that's right.

12 MR. STONE: Well, let's just proceed and see  
13 where we go, Your Honor.

14 JUDGE McGUIRE: All right. Very well.

15 BY MR. ROYALL:

16 Q. The purpose of this slide, as the title  
17 suggests, is to reflect your understanding of the FTC  
18 allegations; is that right?

19 A. That's correct.

20 Q. And I think that the point that you were making  
21 earlier, just to reorient us, is that some of these  
22 bullet points, although they reflect your  
23 understandings, some of them squarely fall in the areas  
24 where you are making assumptions, you're not expressing  
25 any conclusions, and then some of them fall by contrast

1 in the area in which you are expressing conclusions; is  
2 that right?

3 A. That's correct.

4 Q. And so of the five bullet points here, the  
5 first bullet point that falls into that area where not  
6 only is this your understanding of the FTC's  
7 allegations but it relates to conclusions that you  
8 reached, the first of those bullets is this fourth  
9 bullet that we just discussed; is that correct?

10 A. That is correct.

11 Q. Okay. Now, going on then to the final bullet,  
12 does this relate to an assumption that you are making  
13 or does this fall into an area where you are reaching  
14 economic expert conclusions?

15 A. This is in the area of economic conclusions.

16 Q. And can you elaborate within the context of  
17 what's stated in this fifth bullet point?

18 A. Certainly. Assessing the extent of competition  
19 and harm to competition and the levels of competition  
20 and assessing the monopolization are things that  
21 industrial organization economists do as part of their  
22 ordinary practice.

23 Q. And in connection with this fifth and last  
24 bullet point, are you purporting to offer conclusions  
25 as to legal issues?

1 A. No, I'm not.

2 Q. Is there any aspect of your testimony in which  
3 you are purporting to offer legal conclusions of any  
4 sort?

5 A. I am not.

6 Q. Are these the only -- the issues that are  
7 identified here in this slide, are these the -- is this  
8 the full extent of your understanding of the FTC's  
9 allegations?

10 A. No. These are what I took to be the five major  
11 ones, most important ones.

12 Q. Now, you've explained that you're not here to  
13 testify as a fact witness but, rather, as an expert in  
14 economics.

15 What economic issues have you sought to address  
16 through your work on this matter?

17 A. Well, I've actually organized my inquiry around  
18 answering a set of questions which --

19 Q. Could we have the next slide.

20 Now I believe we are at DX-123.

21 What, very generally first before we go into  
22 the substance, what is this slide meant to show or to  
23 display?

24 A. As part of performing an economic analysis and  
25 for the Federal Trade Commission, I tried to set the

1 project of performing an economic analysis into stages.  
2 And this sets out a, if you want, research methodology  
3 or a program for understanding, for addressing the  
4 question of assessing the competitive effects and  
5 remedies associated with Rambus' conduct.

6 Q. And who developed these particular questions

1           And so this is generally the starting point  
2 because it provides the context, if you want, it draws  
3 the boundaries around the inquiry and sets the scope of  
4 the analysis.

5           Q. And are relevant antitrust markets or the  
6 definition of relevant antitrust markets, is that  
7 something that's important in any economic analysis  
8 relating to antitrust?

9           A. Yes. Virtually every antitrust analysis starts  
10 with the definition of relevant markets.

11          Q. And let me ask you to go on to the second key  
12 economic question and explain what the nature of this  
13 question is and why you regarded it as an important

1           A. That's correct.

2           Q. Now, going to the second key economic question  
3 here, let me explain -- let me ask you to explain what  
4 the nature of this question is and why it was important  
5 to you to focus on this question for purposes of your



1     because, absent that, the firm would have no ability to  
2     influence the evolution of that marketplace and to set  
3     the terms of trade.

4           Q.   You used two terms here I believe, "market  
5     power" and "monopoly power."   Is there a difference

1     conduct.  Exclusionary conduct is generally understood  
2     by economists to mean behavior or conduct that would  
3     exclude an equal or superior competitor from the  
4     marketplace.

5             And so exclusionary conduct is -- and the  
6     reason economists care about that is generally having  
7     more and better competitors is good for a marketplace,  
8     but having inferior competitors may or may not be good,  
9     but you can't certainly conclude that it's good for a  
10    marketplace.

11            And so economists are worried about the  
12    exclusion of equal or superior competitors because  
13    those will tend to harm competition.

14            And the reason for question 3, that is to say,  
15    was the acquisition of market or monopoly power through  
16    a process of exclusionary conduct, the reason that  
17    matters is, from an antitrust perspective, economists  
18    would not want to deter firms from, say, building a  
19    better mousetrap and having a superior product and  
20    thereby acquiring market or monopoly power.  Not only  
21    is there no harm to competition in such circumstances,  
22    there's actually a benefit to competition in those  
23    circumstances.

24            Instead, economists are concerned about  
25    exclusionary conduct, that is, conduct that -- not

1 through building a better mousetrap but conduct that  
2 actually excludes a superior or equal competitor from  
3 the marketplace.

4 Q. Now, going to the fourth question, can you  
5 explain the nature of that question and why it was  
6 important to your economic analysis?

7 A. Yes. In principle, one can have acquired  
8 monopoly power through exclusionary conduct and it  
9 still wouldn't matter because -- either because the  
10 marketplace was so small that it was an insignificant  
11 marketplace or there was no potential for damage to the  
12 marketplace through the conduct.

13 And so this is -- question 4 is about an  
14 assessment of what were the effects to the marketplaces  
15 of this acquisition of monopoly power.

16 Q. And what do you mean by the term "threatened"  
17 or "threatened harm" or "threatened effects"?

18 A. In some cases the harm may not have been  
19 experienced yet; that is, it may appear to lie in the  
20 future. Some kinds of damages take a long time to be  
21 felt, and so "threatened" refers to the potential for  
22 future damages.

23 Q. And finally, if I could ask you to explain the  
24 nature of the fifth key economic question and why you  
25 found that question to be important to your economic

1 analysis.

2 A. Well, given a finding of harm, one of the  
3 natural questions for industrial organization  
4 economists is what can you do about it. In fact, much  
5 of industrial organization is focused on the question  
6 of how to make marketplaces work better.

7 And so a natural question given a finding of  
8 harm is, well, what can be done about it, and that's  
9 what that question is about.

10 Q. Without going into detail at all but just  
11 generally speaking, do you personally,  
12 Professor McAfee, have experience in addressing the  
13 types of economic questions that you've identified in  
14 this slide?

15 A. Yes. All five of these questions have come up  
16 in multiple cases on which I've worked.

17 Q. And does your expertise in industrial  
18 organization bear on these issues?

19 A. It does. As I mentioned, this would be a  
20 normal part of an industrial organization analysis.

21 MR. ROYALL: Your Honor, at this time I would  
22 proffer Professor McAfee as an expert in the field of  
23 industrial organization economics.

24 MR. STONE: No objection, as so stated,  
25 Your Honor.

1 JUDGE McGUIRE: I'm sorry?

2 MR. STONE: I have no objection to him being  
3 qualified as so established.

4 JUDGE McGUIRE: Then he shall be qualified in  
5 the area noted. And I'm sorry. Again, Mr. Royall,  
6 that was in the area of industrial organization?

7 MR. ROYALL: Yes. Industrial organization  
8 economics.

9 JUDGE McGUIRE: And economics? Industrial  
10 organization economics.

11 MR. ROYALL: Yes. Yes, Your Honor.

12 JUDGE McGUIRE: Okay.

13 MR. ROYALL: The field of economics that he has  
14 testified that he specializes in.

15 MR. STONE: I didn't mean to interrupt. I  
16 think I might have misspoke. I meant to say I had no  
17 objection to him being qualified as so described.

18 JUDGE McGUIRE: I understand.

19 MR. STONE: I think I misspoke.

20 JUDGE McGUIRE: I understood.

21 BY MR. ROYALL:

22 Q. Now, Professor McAfee, let me ask you if you  
23 could, now that we've identified or you've identified  
24 for us what you believe are the key economic questions  
25 relating to your assignment in this matter, let me ask

1     you if you could describe for us or begin to describe  
2     for us the type of work that you have done in  
3     addressing these key economic questions.

4           A.   The starting place for an analysis of these  
5     questions is an understanding of how the marketplace  
6     works, and so my first efforts at working and in fact  
7     continuing efforts at understanding this marketplace or  
8     answering these questions is to understand how the  
9     marketplace operates.

10           And so in that regard, I've read a very large  
11     amount of material, I talked to a lot of people, and  
12     I've generally tried to get a sense of what determines  
13     outcomes and what determines choices and how choices  
14     are made in this marketplace from an economic  
15     perspective.

16           Q.   And as part of that work, did you interview  
17     anyone?

18           A.   Yes.  In fact, let me correct a typo on this.  
19     This should say "DRAM plant manager."  I believe I  
20     interviewed only one plant engineer.

21           But I spoke with DRAM engineers, with a DRAM  
22     plant manager, with JEDEC participants and with DRAM  
23     users.

24           Q.   And just to identify the slide that we now have

1           Before I ask you about these particular  
2 interviews that you conducted, let me ask this.

3           Is it common for economists in addressing the  
4 types of issues that you identified to conduct  
5 interviews?

6           A. Well, it's certainly not uncommon. It's pretty  
7 common. That would be -- the purpose here is to  
8 understand the economic determinants of the  
9 marketplace, and talking with market participants would  
10 be a natural way to gain an understanding of how the  
11 marketplace operates, and so yes, that would be a  
12 normal thing to do.

13          Q. Well, in referring to the types of people that  
14 you identify here as having interviewed, let's take the  
15 first item, DRAM engineers. What.

16           Was your purpose in interviewing DRAM  
17 engineers?

18          A. Well, we haven't of course gotten to my market  
19 definition yet, but the markets at issue here are  
20 technology markets, and so participants in technology  
21 markets are often engineers, and I need to understand  
22 the influences on those engineers.

1 production at some length, and a plant manager is  
2 well-positioned to have an appreciation of the  
3 economics of production of DRAM.

4 Q. Have you ever seen DRAM being produced or the  
5 production process? Have you ever seen that in  
6 person?

7 A. Yes. I've toured the Infineon plant in  
8 Virginia.

9 Q. You did that as part of your work on this  
10 case?

11 A. I did, yes.

12 Q. And why was it important for you to actually --  
13 or why did you deem it important to personally tour a  
14 DRAM production facility?

15 A. Well, I don't want to say it was absolutely  
16 essential to tour it. It was certainly useful to see  
17 it because it's one of the most extreme production  
18 processes in the United States in the sense of the  
19 investment on a per-worker basis is about as large as  
20 investments in plant and equipment ever get.

21 Q. And that's something that touring the plant  
22 helped you to appreciate better?

23 A. Absolutely.

24 Q. The next item, JEDEC participants, what was  
25 your purpose in interviewing JEDEC participants?



1           A. Well, to foreshadow the conclusions, JEDEC  
2           wields a large amount of influence in the selection of  
3           standards -- and there's been a great deal of trial  
4           testimony to that effect -- in the selection of  
5           standards which are then adopted by the marketplace,

1 have occasion as part of your work to review or rely  
2 upon any written materials?

3 A. Yes. I think of all the cases in which I've  
4 worked, I've read -- and that includes Exxon-Mobil -- I  
5 read more materials for this case than any other, and  
6 it's a very large volume of documents and that's  
7 summarized on this slide.

8 Q. Just to identify, the slide that's now on the  
9 screen would be DX-125, which has the title Materials  
10 Reviewed and Relied Upon.

1           To understand the DRAM market you have to  
2 understand the products in which DRAM is used, and so  
3 computers and the like and the determinants of economic

1 reviewed documents, but I'm only relying on the  
2 documents that I personally reviewed.

3 But the set of documents that I personally  
4 reviewed fills at least ten Bankers boxes.

5 Q. What was your purpose in reviewing so many  
6 Rambus internal business records?

7 A. Well, part of it is just being careful and  
8 having a good appreciation, but Rambus business records  
9 are generally very important to making correct  
10 assumptions about conduct, for example. And Rambus  
11 itself had an understanding of the marketplace which  
12 was an input to my understanding of the marketplace.

13 So there are a variety of uses for Rambus  
14 business documents.

15 Q. And you reviewed third-party business documents  
16 as well?

17 A. That's correct.

18 Q. And just generally speaking, what was your  
19 purpose in doing that?

20 A. Again, my goal is to achieve a correct  
21 understanding of the economics of these -- of the  
22 various relevant markets, and these are participants in  
23 those markets and they have useful information.

24 Q. The next bullet point on this slide, DX-125,  
25 refers to minutes and presentation materials from JEDEC

1 meetings.

2 Why was it important to your economic analysis  
3 to review written materials of that sort?

4 A. Well, I believe I've already said that JEDEC  
5 wields an important influence in selection of  
6 technology in the DRAM marketplace, and so the way that  
7 JEDEC makes decisions and the issues that arise in the  
8 making of those decisions are quite relevant for an  
9 economic analysis.

10 Q. The next item refers to, first of all,  
11 deposition testimony.

12 Are you referring here to depositions that were  
13 taken in this case?

14 A. In this case and also in earlier related  
15 cases.

16 Q. And do you have any idea how many deposition  
17 transcripts you've reviewed for purposes of your work  
18 on this matter?

19 A. A very large number of them. I have reviewed many depositions.

1 Q. And trial testimony, are you referring to the  
2 trial testimony in this case?

3 A. Yes. I've also read trial testimony from the  
4 Infineon trial, but what I was specifically referring  
5 to was the trial testimony in this case.

6 Q. How much of the trial testimony in this case  
7 have you reviewed, if you can say?

8 A. I've read all of it up to but not including  
9 this week.

10 Now, let me add one exception.  
11 Mr. Vincent's -- I gather that some of -- that  
12 something was read of his into the record?

13 Q. And you haven't seen that?

14 A. Well, I've seen the listing of things that  
15 were read but not the actual what was read into the --  
16 but otherwise, it's up through but not including  
17 Terry Lee.

18 Q. Skipping down to the last item, which refers to  
19 publicly available materials, trade press, analyst  
20 reports, et cetera, what was your purpose in reviewing  
21 this type of material?

22 A. Well, this includes a large variety of types of  
23 information, so this includes everything from analyst  
24 reports, which may -- you know, the analysts may be  
25 very well-informed or may not be so well-informed,

1 and -- but other -- it includes company sources, which  
2 often have biases in them. It includes the trade  
3 press, which is probably unbiased on average, but there  
4 are -- it's all over the map in terms of its  
5 reliability.

6 And so the purpose -- but there's often useful  
7 information on average in the trade press and in the  
8 analyst reports, and so I reviewed a large volume of  
9 this to get a picture, although there's a lot of noise  
10 in that information as well, and so in order to  
11 eliminate the noise you have to read actually a pretty  
12 large amount of it.

13 And the purposes again are the same. It's to  
14 understand the economic determinants of behavior in the  
15 marketplaces.

16 Q. And besides the interviews that you conducted  
17 and you talked about the written materials that you've  
18 reviewed and relied upon, were there any other things  
19 that constituted a portion of your underlying work on  
20 this matter?

21 A. Well, actually are you skipping ahead out of  
22 this slide? Because I also read a book on  
23 semiconductor manufacturing which gave me a picture,  
24 maybe a bit dated, a book called Microchip Fabrication.

25 Q. Are you referring -- is that something that

1 comes up under the second to last bullet?

2 A. Yes, it does.

3 Q. And what was your purpose in reading the book  
4 on microchip fabrication?

5 A. It was to understand the -- well, partly it was  
6 just to be able to read the deposition testimony and to  
7 have an appreciation of what the witnesses are talking  
8 about when they talk about the process.

9 Q. Now, actually before we do leave this slide,  
10 let me also come back and ask you, when you refer to  
11 reports of FTC and Rambus experts, indicating I assume  
12 that you reviewed those, is that limited to the  
13 economic experts or does that extend to other types of  
14 other types of experts?



1 constraints or at least to have an appreciation of the  
2 technical constraints that the market participants  
3 face.

4 Q. And did reviewing the reports of either the  
5 FTC's or Rambus' technical experts factor into  
6 assumptions that you've made for purposes of your  
7 economic analysis?

8 A. Well, they're certainly part of the information  
9 that on which I base my assumptions.

10 Q. Now, going back to the question I asked  
11 earlier, other than materials that you've reviewed and  
12 the interviews you've conducted, were there any other  
13 things that constituted a portion of your underlying  
14 work on this matter, any other types of work?

15 A. Well, one of the things -- so one of the things  
16 that I've done in order to -- that I and my staff have  
17 done, because I had assistance with this, in order  
18 to -- it's more in the form of summary rather than  
19 information collection -- is to produce what's known as  
20 a case study, which is --

21 Q. Can I ask you, what is a case study?

22 A. A case study is a generally chronological  
23 analysis of the evolution of a firm or a market, and so  
24 a typically chronological presentation of who did what  
25 when but with explanations and analysis associated with

1 it.

2 Q. And is a case study, is that a methodology that  
3 is used by industrial organization economists?

4 A. Yes. And also with business strategists.  
5 Actually both groups use a case study as a common tool  
6 for analysis of an industry or a firm.

7 Q. And what was the nature of the case study that  
8 you conducted as part of your work on this matter?

9 A. This case study looks at the evolution of DRAM  
10 technology and standards in the period 1990 to,  
11 roughly, 2000.

12 Q. And for what purpose did you find the need to  
13 conduct a case study focusing on that issue, the  
14 evolution of DRAM technology and standards?

15 A. So there are many uses. Partly it's a way of  
16 documenting and understanding the determinants of  
17 the -- the economic determinants of the marketplace  
18 choices.

19 So it's a way of ensuring that -- it's a way of  
20 organizing all of the information that's been collected  
21 and putting it in a framework that makes it possible to  
22 actually draw broad conclusions from it and also a way  
23 of ensuring that you do understand how it -- how the  
24 marketplace outcomes are determined.

25 And so for example, if there were lots of

1 sources that contradicted the case study, that would  
2 show up in the process of trying to organize all that  
3 information into a coherent framework.

4 Q. Did you, in connection with your work on this  
5 matter, Professor McAfee, did you prepare an expert  
6 report?

7 A. I did.

8 MR. ROYALL: Your Honor, may I approach?

9 JUDGE McGUIRE: Yes.

10 MR. ROYALL: Would you like a copy of this?

11 JUDGE McGUIRE: Yes. Thank you.

12 BY MR. ROYALL:

13 Q. Professor McAfee, I've just handed you a  
14 document.

15 Do you recognize this?

16 A. Yes. It appears to be a copy of my expert  
17 report.

18 Q. And who wrote this report?

19 A. I wrote this report with the help of my staff  
20 at KeyPoint Consulting.

21 Q. And the case study that we were discussing a  
22 moment ago, is that included as part of this expert  
23 report?

24 A. Yes, it is. It's Appendix 3 to the report,  
25 which is the last roughly or just under 200 pages I

1 think of the report.

2 Q. I think if you started from the back and you  
3 thumb up to the page, the first page 1, would that be  
4 the beginning of the case study?

5 A. That's correct.

6 Q. And so it's roughly -- it looks to be around  
7 187 pages?

8 A. I think that's correct.

9 Q. And that 187 pages is the description of the  
10 chronological analysis of the evolution of DRAM  
11 standards that you were discussing earlier?

12 A. It is.

13 Q. And does your report contain a copy of your  
14 resume?

15 A. It does.

16 Q. Let's see if we can identify that.

17 A. I think that's Appendix 1.

18 Q. Yes, Appendix 1.

19 So it's slightly more than an inch into your  
20 report, Appendix 1. That's a copy of your resume. Do  
21 you see that?

22 A. That's correct.

23 Q. Is this a current resume?

24 A. No. Pardon me. No, it's not. In fact, I  
25 think my second child was born about two weeks -- I

1 have my children listed on my resume and my second  
2 child was born about two weeks after the report was  
3 filed. She is not listed.

4 Q. So it's not updated in this version?

5 A. It is not.

6 Q. And then everything that comes before the  
7 resume, before Appendix 1, this roughly inch stack of  
8 paper that I have here, is that your expert report  
9 itself?

10 A. Yes. That's the main body of the report.

11 Q. By contrast to the case study or Appendix 3  
12 that we mentioned?

13 A. That's correct. Although the main body of  
14 course references and relies on the case study at many  
15 points.

16 Q. And immediately after the curriculum vitae or  
17 your resume, there is something entitled Appendix 2?

18 A. That's correct.

19 Q. What is Appendix 2?

20 A. That's a list of all the documents that I  
21 relied on and the witnesses that I interviewed and my  
22 sources generally.

23 Q. And are these documents that you personally  
24 reviewed and relied on or does this also include  
25 materials that your staff reviewed but that you

1 personally did not review?

2 A. I've looked at all of the documents relied on  
3 for producing the report, and so it's -- these are  
4 my -- the documents that I looked at.

5 Q. And did you at some point produce another  
6 report relating to this matter?

7 A. I did.

8 Q. And was that a report in response to the expert  
9 reports of Rambus' experts?

10 A. That's correct. It's labeled a rebuttal report  
11 I believe.

12 Q. Now, you can put the report aside for now.

13 You identified earlier, Professor McAfee, what  
14 you have deemed to be the key economic questions in  
15 this case or at least the key economic questions that  
16 relate to the assignment that you were given by the FTC  
17 attorneys when you were first retained in the spring of  
18 2002.

19 Have you reached conclusions or have you  
20 developed expert opinions in response to those key  
21 economic questions?

22 A. Yes, I have.

23 Q. Now, the first question I believe that you  
24 identified earlier, the first -- I've just been handed  
25 a note. Before I leave this slide, the case study,

1 that's DX-126.

2 The first of the economic questions that you  
3 identified earlier related I believe to relevant  
4 markets.

5 And have you reached conclusions as to what  
6 market or markets you believe are relevant to an  
7 economic analysis in this case?

8 A. Yes, I have. And I've prepared a slide that  
9 sets out the major points of those conclusions.

10 Q. So that this slide will be DX-127.

11 And this slide relates to -- it's a summary of  
12 the conclusions that you reached on the first of the  
13 five economic questions; is that correct?

14 A. That's correct.

15 Q. Did you -- you refer in the first point here to  
16 four relevant technology markets.

17 Did you define only four relevant technology  
18 markets?

19 A. I also defined a market that involves all four  
20 technologies lumped together, which is more for the  
21 purposes of convenience than it is for a strict market  
22 definition, rha6(uel2duel 2pTsatutarket )TjTs all four ps.72tas

1 conclusion heading here that each market consists of  
2 commercially viable alternatives for addressing  
3 specific DRAM design issues.

4 Do you see that?

5 A. Yes. That's correct.

6 Q. Can you explain what, in this summary slide,  
7 what you mean by that language?

8 A. Yes. Generally, economists in performing  
9 market definition are looking for -- start with a  
10 product and then look for other products that are  
11 price-constraining or influential on the selection of  
12 the product in question.

13 So that is to say, you start with one product  
14 and you say suppose you had a monopoly on that product,  
15 would that be a valuable monopoly, and the answer is no  
16 if there are a bunch of alternatives, and the answer is  
17 yes if that product is a valuable monopoly in its own  
18 right.

19 If the answer is no, that is, you haven't  
20 reached a market yet, you then add the close  
21 substitutes until you come up with a product with its  
22 relevant substitutes.

23 And so the specific language I've used here is  
24 that what I'm looking for are the price-constraining or  
25 commercially viable alternatives to the -- for the



1 specific purpose of the product in question.

2 Q. And each of those commercially viable  
3 alternatives that you identify from your analysis you  
4 included in the separate relevant technology markets  
5 that you defined?

6 A. That's correct.

7 Q. And do -- did you reach conclusions as to the  
8 geographic scope of these relevant technology markets?

9 A. Yes. Technology markets usually are worldwide  
10 in scope, and that's simply because users of technology  
11 typically don't care about the source of the  
12 technology, where it originates. Technologies -- in  
13 other words, I'm saying technology is easily  
14 transportable, has low transportation costs. The  
15 technology markets tend to be worldwide.

16 In this case these technologies are no  
17 exception.

18 Q. Let's go to the next slide, which I think  
19 relates to the second key economic question. This  
20 would be DX-128.

21 Can you walk us through in summary form the  
22 conclusions that you reached in response to this second  
23 question relating to the issues of market and monopoly  
24 power?

25 A. Yes. I find that Rambus does have monopoly

1 power in each of these four technology markets, and as  
2 the slide says, the source of this monopoly power is  
3 that the technologies have been incorporated into the  
4 dominant standards, so that is to say into the  
5 standards that have come to dominate the DRAM industry.  
6 Because those incorporate Rambus technology, that  
7 provides or confers monopoly power on the Rambus  
8 technologies.

9 Q. In your answer, I think you said that you find  
10 that Rambus possesses monopoly power in each of the  
11 four relevant technology markets that you identified.

12 Does that conclusion apply also to the f y alecD the

1 the incorporation of the technology into what has then  
2 become the dominant industry standard.

3 Q. And the standards that you're referring to as  
4 the dominant industry standards, are those the JEDEC  
5 standards, DRAM standards?

6 A. Yes. That's correct. The SDRAM standards for  
7 two of the technologies or -- excuse me -- yes, for two  
8 of the technologies and the DDR SDRAM standards for all  
9 four.

10 Q. Let's go to the next summary slide. This will  
11 be DX-129.

12 And this summary slide relates to the third  
13 economic question that you identified earlier relating  
14 to the issue of exclusionary conduct and whether Rambus  
15 acquired market or monopoly power through exclusionary  
16 conduct.

17 Have you reached conclusions on that issue?

18 A. Yes, I have. I find that Rambus's alleged  
19 conduct is in fact exclusionary.

20 Q. And can -- again in summary form, can you walk  
21 us through the basic conclusions that you've reached in  
22 that regard?

23 A. Yes. Providing false or misleading  
24 information -- and I will remind you

1     conduct -- but generally in terms of market  
2     performance, so that is the economic analysis of false  
3     or misleading information, that often has the effect of  
4     being exclusionary.

5             And the reason is false information causes  
6     decision makers to incorrectly evaluate the various

1 conscious choice to jeopardize the enforceability of  
2 patented intellectual property.

3 Do you see that?

4 A. I do.

5 Q. How does that point relate to your conclusions  
6 that Rambus' challenged conduct is exclusionary in the  
7 economic sense?

8 A. So there is a substantial amount of evidence --  
9 and again, I'm not here to testify about the evidence.  
10 I'm relying on the evidence.

11 MR. STONE: Your Honor, may I interrupt the  
12 witness to interpose an objection.

13 You had said in your in limine order that he  
14 would not be allowed to testify about any aspect of the  
15 issue that included respondent's state of mind. And  
16 for him to go in and say there is a substantial amount  
17 of evidence, whether it supports an assumption or a  
18 conclusion, is testifying about his evaluation of the  
19 evidence on an issue that is clearly within the court's  
20 province to decide, Rambus' state of mind, and not  
21 something that economists or engineers or others have  
22 any particular expertise.

23 JUDGE McGUIRE: Mr. Royall?

24 MR. ROYALL: First, I'd ask that Mr. Stone not  
25 interrupt the witness' answers to make his objections

1 because I think his answer would have made clear that  
2 he is not speaking as to the state of mind of any  
3 Rambus representative or anyone else. He's talking  
4 about economic theory that relates to conscious  
5 choices and evidence that bears on that economic  
6 theory.

7 JUDGE McGUIRE: I'll hear the testimony and  
8 then I'll rule.

9 BY MR. ROYALL:

10 Q. Let me go back and re-ask the question,  
11 Professor McAfee.

12 Could you explain how this last bullet point in  
13 the list of the three subbullets on DX-129, that is,  
14 the point that refers to conscious choice to jeopardize  
15 the enforceability of patented intellectual property,  
16 how does that point relate to your economic conclusion  
17 that Rambus' challenged conduct or what you assume to  
18 be its conduct is exclusionary?

19 A. Perhaps I can best put it this way.

20 The choice -- it's a -- it would be a very  
21 large cost, a very large economic cost, to risk  
22 patented technology when you are a firm that deals only  
23 in patented technology, when that's your product, and  
24 so risking the enforceability of your product would be  
25 a very large cost.

1           If it's found that Rambus in fact did take such  
2 a risk, then a natural economic question is why, what  
3 was the economic purpose of undertaking such a risk.  
4 And I find that the chance of enforcing -- the prospect  
5 of creating a monopoly on the JEDEC standards is a  
6 compensating gain for undertaking what would be such a  
7 large risk.

8           Q. And does this element to your exclusionary  
9 conduct analysis that relates to the taking of risks in  
10 this context relating to the enforceability of  
11 intellectual property, do your views in that regard  
12 have a basis in economic theory or in economic  
13 literature?

14          A. Oh, yes, they do. And in fact, it's quite

9           conduct an23ysis that relaso   lnce\*riyouorganizat )T 8

Q.

1 and the answer is, if you're successful in excluding  
2 your rivals, then you can enjoy the fruits of the  
3 monopoly; that is, you can recoup the costs you've  
4 incurred through the benefits of monopoly. And this is  
5 quite a parallel analysis to that.

6 Q. Now, before we move on to the next slide --

7 JUDGE McGUIRE: Before we move on, let me rule  
8 on the objection. It's overruled in the context of his  
9 testimony, and that's an area you can properly take up



1           A. Yes. The nature of the exclusionary conduct  
2 is -- operates through JEDEC's standardization  
3 process. That is to say, it is the distortion of the  
4 information available to JEDEC that is the driver or  
5 the basis on which the monopoly power has been  
6 obtained.

7           And so the nature of the exclusionary conduct  
8 is the distortion of JEDEC's standardization process.

9           Q. Now, let's go to the next slide, which  
10 corresponds with the fourth of the five key economic  
11 questions that you've identified earlier, specifically  
12 the question of whether Rambus' conduct or what you  
13 assume to be Rambus' challenged conduct resulted in  
14 anticompetitive harm, actual or threatened.

15           Can you explain in summary form the nature of  
16 the conclusions that you have reached on that issue?

17           A. Yes. There are a variety of anticompetitive  
18 harms that are created by the monopolization. And some  
19 of those are directly in the technology markets  
20 themselves. We've seen the prices increased over what  
21 they would have been in the relevant technology  
22 markets.

23           Q. And for the record, I believe this will be  
24 DX-130.

25           In addition to the point that you just made

1 relating to the increase in prices or that effect of --  
2 economic effect of Rambus' conduct, you mentioned  
3 several other points. Let me ask you to take each one  
4 at a time.

5 Let's start with the second subbullet that  
6 refers to actual or threatened distortions of  
7 competition.

8 Can you explain what conclusions, if any,  
9 you've reached relating to that issue?

10 A. Yes. There are a variety of distortions to  
11 behavior that have arisen as a consequence of the -- of  
12 a monopolization.

1           And so there's a variety of harms to  
2 innovation in that way in these technology markets  
3 themselves.

4           Q. In the prior point that we focused on, I  
5 believe in response to my question you said that you've  
6 concluded that Rambus' challenged conduct or what you  
7 assumed to be its challenged conduct has had the effect  
8 of substantially increasing prices in the relevant  
9 technology markets?

10          A. Yes.

11          Q. Was that the point that you made?

12          A. Yes.

13          Q. Have you reached any conclusion as to whether  
14 Rambus' challenged conduct has had price-related  
15 effects in markets for the physical DRAM products  
16 themselves as opposed to technology markets?

17          A. I would put that as it threatens to have that  
18 effect. As an economist, I expect it to have a  
19 long-run effect.

20                 The nature of DRAM production is such that even  
21 a 5 percent royalty would not typically cause them to  
22 reduce their current production, and as a result you  
23 wouldn't expect to see the current prices of DRAM rise  
24 even in the face of a 5 percent royalty.

25                 On the other hand, that such a royalty does

1 produce a disincentive to further plant building, to  
2 going to a larger wafer size and other means of  
3 producing more output in the future, and as a result  
4 you would expect in the long run that those royalty  
5 costs would be passed on to consumers and hence have  
6 the effect of lowering output in the downstream DRAM  
7 market.

8 Q. And would the lowering of output in downstream  
9 DRAM markets have any effect on price in those  
10 markets?

11 A. Yes. It would have the effect of increasing  
12 the price.

13 Q. And the final point you make in this slide  
14 relates to undermining confidence in open standards and  
15 standards processes.

16 Can you explain what you mean by that?

17 A. Yes. The open standards and standard-setting  
18 processes are very important not just in this market  
19 but in other markets as well. And the ability for  
20 those standards to be monopolized is a threat to the  
21 standard-setting process, to standard-setting processes  
22 more generally, not just to DRAM standards.

23 Q. And do you conclude that Rambus' challenged  
24 conduct has had such an effect in the markets that  
25 you've focused on?

1           A. Well, let me say that it certainly threatens  
2 to.

3           Q. And let's then go to the final key economic  
4 question and the summary of your conclusions on that  
5 question. This will be I believe DX-131.

6                   And the question is: What remedy, if any, is  
7 needed to restore competition or to alleviate the  
8 anticompetitive effects of Rambus' conduct?

9                   Have you reached conclusions relating to that  
10 key economic question?

11           A. Yes, I have.

12           Q. And can you explain the nature, in summary  
13 form, the nature of your conclusions?

14           A. Well, economists normally start to remedy  
15 questions by trying to undo the damage that has been  
16 done. That would be the normal benchmark.

17                   In this case, because so much time has gone by,  
18 literally, undoing the damage doesn't seem to be  
19 feasible, and as a consequence economists go to a  
20 second best approach of trying to undo the effects of  
21 the monopolization or the effects of the challenged  
22 conduct. And here undoing those effects requires  
23 undoing the monopolization itself.

24           Q. I'm sorry. Do you have views from the  
25 standpoint of economics as to a mannhe

1 a remedy the effects of Rambus' anticompetitive conduct  
2 could be undone or mitigated?

3 A. Yes. If the intellectual property that should  
4 have been disclosed -- and I should say I'm not the  
5 person to testify as to what should have been  
6 disclosed -- but if the intellectual property that  
7 should have been disclosed cannot -- the patents on  
8 that cannot be enforced against DRAM, that would go --  
9 that would undo the monopolization of those markets.

10 In addition, that -- those markets are  
11 worldwide, and so the enforcement would have to be  
12 both -- the undoing would have to be both for the U.S.  
13 and for foreign countries.

14 Q. You mention in this slide, DX-131, you mention  
15 a date, June 18, 1996.

16 What's the significance of that date?

17 A. Oh, that's my understanding of the date that  
18 Rambus withdrew from JEDEC, but I should say that's --  
19 that's a fact as opposed to an economic conclusion.

20 Q. And how does that fact, understanding that  
21 you're making an assumption that that is a correct  
22 date, but how does that fact factor into your  
23 conclusions as to the appropriate nature or scope of  
24 economic remedies to address the anticompetitive  
25 conduct?



1 MR. ROYALL: Thank you, Your Honor.

2 BY MR. ROYALL:

3 Q. Professor McAfee, we've now covered the nature  
4 of your assignment, your general understanding, your  
5 assumptions about Rambus' conduct, the key economic  
6 questions that you've identified, and in a summary way  
7 we've covered some of the conclusions that you've  
8 reached.

9 Now I'd like to ask you about the process that  
10 you went through in reaching your expert conclusions on  
11 these economic issues.

12 Can you tell me, with all of the information  
13 that you've collected and that you've reviewed that  
14 you described earlier, what, in terms of your  
15 methodology or your analytical approach, what was the  
16 first thing that you did or needed to do in order to  
17 reach conclusions on the issues that you've  
18 identified?

19 A. The basic starting point is an economic model  
20 of the DRAM industry, and that includes the technology  
21 industries, DRAM itself and the related devices, and so  
22 this is a model -- it's to produce a model and an  
23 understanding of how this industry functions, how it  
24 operates.

25 Q. Before we go into the substance of what you



1 have to say on that, let me ask you this.

2 Why was it important for you, in reaching  
3 conclusions on economic issues relating to this case in  
4 the context of the allegations in this case, why was it  
5 important for you to develop an understanding or an  
6 economic model for competition in the overall DRAM  
7 industry?

8 A. Well, this is the basic tool of economic  
9 analysis, is the economic model of the competition and  
10 the behavior in these marketplaces, and so this is very  
11 much the heart of an analysis, is an understanding or a  
12 model of the economic influences and determinants of  
13 outcomes in the marketplace.

14 Q. And when you refer to economic influences, are  
15 you -- and determinants, are you referring solely to  
16 economic influences and determinants in the DRAM  
17 technology markets that you've identified earlier as  
18 the relevant markets?

19 A. No, I'm not. In fact, in order to understand  
20 the DRAM technology markets, I need to understand the  
21 markets in which those technologies are applied, and  
22 that would be DRAM manufacturing and the manufacturers  
23 of related products like chipsets. But it doesn't stop  
24 there.

25 In order to understand DRAM manufacturers, I

1 need to -- and the influences on DRAM manufacturers, I  
2 need to understand their customers, and those are --  
3 this is set out in this slide -- are the PC original  
4 equipment manufacturers, servers, fax machines, and  
5 other uses for DRAM technology.

6 And in order to understand those market  
7 participants, I need to understand their consumers, the  
8 people that they sell to, and the -- so the final  
9 consumers for the product.

10 And so ultimately to understand the influences  
11 on the technology market, those are all derived -- it's  
12 what economists call derived demand -- derived  
13 ultimately from the final consumer.

14 Q. And just to be clear, when as you've said you  
15 need to gain an economic understanding of competition  
16 at these various levels, is that for the purpose of  
17 defining relevant markets or does it relate to other  
18 key economic issues that you have identified?

19 A. It relates to all of the -- I think all five of  
20 the issues are related to this. And it's not just for  
21 defining markets, because in order to understand the  
22 economic incentives in the technology markets, one  
23 needs to understand how those incentives were derived  
24 or what were they derived from.

25 Q. We have on the screen now a slide entitled

1 DRAM Industry Overview. I believe this will be  
2 DX-132.

3 Is that correct, Your Honor?

4 JUDGE McGUIRE: Yes.

5 BY MR. ROYALL:

6 Q. Is this a slide that you've prepared,  
7 Professor McAfee?

8 A. It was prepared under my direction, yes.

9 Q. And can you walk us through -- there's a  
10 diagram here. Can you walk us through generally what  
11 this diagram shows and why it's significant to your  
12 testimony?

13 A. Yes. This diagram shows at the top the markets  
14 that will be the relevant technology markets and it  
15 shows the technology providers. That technology goes  
16 directly into two kinds of manufacturing, into the DRAM  
17 manufacturers and also into -- the technology also goes  
18 into the manufacturing of products that are related to  
19 that, so it includes everything from processors to  
20 chipsets.

21 So the same technologies are flowing into both  
22 of those markets.

23 Both of those products are then used in the PC  
24 market, in the servers and other products that involve  
25 DRAMs, and so that's shown in the third-level box.

1 Q. And then what does the fourth-level box show?  
2 And by that I'm referring to the final bottom level  
3 where there's a reference to consumers.

4 A. It shows that the influences on those companies  
5 besides, of course, the technology influences that flow  
6 down in this diagram are also derived from the final  
7 consumers to which they sell.

8 So for example, the influences on a Dell,  
9 Dell Corporation, are the willingness to pay by the  
10 final consumer.

11 Q. And by "final consumer" here are you referring  
12 to commercial consumers or to household consumers?

13 A. To both. The final consumer includes  
14 businesses -- there are PCs all over the room -- and it  
15 includes individuals and households.

16 Q. And in the third level of boxes or figures here  
17 you refer to PCs and servers.

18 Are those products that you understand to use  
19 or incorporate DRAM?

20 A. Yes. I think all PCs have DRAM and servers are  
21 actually large users of DRAM as well.

22 Q. Are there other products that you understand  
23 use or incorporate DRAM devices?

24 A. Yes, there are. And I've prepared a slide.

25 Q. I'm sorry. This next slide entitled DRAM

1 Buyers will be DX-133.

1 and for that matter they're a specialized kind of  
2 personal computer that is used for distributing  
3 documents and Internet traffic.

4 There are other -- you know, mainframe  
5 computers are the big machines like IBM makes, Cray.  
6 And then there are other uses of DRAM that are  
7 relatively specialized.

8 Q. And to your knowledge, are the same types of  
9 DRAM devices used in each of these various  
10 applications, or do the types of DRAM devices differ  
11 with the application?

12 A. Well, broadly speaking, the same types of DRAM  
13 are used in most of these.

14 Now, let me say that there are old technology  
15 that are used in very low-end devices and there are --  
16 is generally new technology coming into the market or  
17 specialized RAM that's used in very high-end devices.  
18 There's a tiny amount of RAM that's hardened to  
19 withstand a nuclear explosion and in such a small  
20 amount that it wouldn't show up here, but its use is  
21 classified. There are some specialty DRAMs. But  
22 broadly speaking, it's the same DRAM being used in  
23 these devices.

24 Q. And do you have an understanding as to why  
25 firms in these various different application markets

1 that you've identified in DX-133, do you have an  
2 understanding as to why firms in such diverse markets,  
3 generally or broadly speaking, all use the same type of  
4 DRAM?

5 A. Yes. It flows from the basic economics of  
6 determinants of DRAM use. And the basic economics of  
7 the DRAM industry.

8 Q. And do you have a slide related to that issue?

9 A. I do, yes.

10 Q. Let's go to the next slide. This will be  
11 DX-134. This slide entitled is entitled Basic  
12 Economics of the DRAM Industry.

13 And are the points that you list here, do these  
14 relate to your understanding of the economics of the  
15 DRAM industry, broadly speaking?

16 A. Yeah, they do.

17 Q. Let me ask you if you can walk through each of  
18 them starting with the first point, large capital  
19 requirements. What are you referring to there?

20 A. This is the -- what economists refer to as the  
21 minimum efficient scale of DRAM production is enormous  
22 and growing.

23 So a minimum efficient scale is what's the  
24 smallest plant that you can build that's cost  
25 competitive and that's the capital requirement for a





1 Q. And the cost that you're referring to in this  
2 slide, DX-135, which for 1999 appear to be north of  
3 \$1.6 billion, is that the cost of producing or the cost  
4 of building a single DRAM plant or is that a cost for  
5 multiple plants?

6 A. That's -- my understanding is that's the cost of a  
7 single plant.

8 Q. Let's go back to the prior slide, DX-134.

9 And you were explaining when we went to that  
10 slide the issue of large capital requirements. I think  
11 you may have touched on economies of scale, but can I  
12 ask you to come back to that point and ask you to  
13 explain what you mean by that?

14 A. So economies of scale refer to if you make more  
15 of an item or of any product, it costs less per unit.  
16 And many products have this feature, that if you make  
17 more of it, it will cost less per unit. The DRAM  
18 industry is no exception.

19 Generally when you get very large capital  
20 requirements, you get local economies of scale for a  
21 fairly large interval of production possibilities, and  
22 so DRAM is an example of an industry with major  
23 economies or significant economies of scale, part of  
24 which flow out of the large capital requirements.

25 Q. Let's go to the next point, interoperability.

1 What are you referring to there?

2 A. So interoperability refers to the need of DRAM  
3 to work with other components in the system. That is  
4 to say, DRAM by itself is generally not used for very  
5 much. It's only used in the context of -- in fact,  
6 it's pretty close to useless by itself. It's only used  
7 in the context of other electronic components like  
8 controllers and processors, and so forth.

9 So interoperability refers to the need for DRAM  
10 to work with other components in the system. And this  
11 is something about which there's been a substantial  
12 amount of trial testimony.

13 Q. Let me ask you if you could explain your  
14 economic views on the issue of interoperability by  
15 reference to a demonstrative that was identified  
16 earlier in the trial.

17 A. Yes. I have --

18 MR. ROYALL: This is a picture, Your Honor, a  
19 digital picture of what was previously marked in the  
20 case as DX-30 during the testimony of Mr. Heye, the AMD  
21 witness.

22 BY MR. ROYALL:

23 Q. Do you see this on your screen,  
24 Professor McAfee?

25 A. I do.

1           Q. Now, Mr. Heye explained what this diagram meant  
2 to him as somebody who's in the microprocessor  
3 business.

4           What, if anything, do you have to say about  
5 this diagram from the standpoint of your economic  
6 testimony on the issue of interoperability?

7           A. Well, this diagram also illustrates the  
8 economic concept that is sometimes known as network  
9 externality, that the design of the memory -- memory  
10 has to work with other products. It has to work with  
11 the chipset, which is represented here in the form of  
12 the Northbridge. It has to work with the processor  
13 because the memory -- the processor is what will  
14 actually use the output of the memory.

15           Mr. Heye also testified it works with the  
16 BIOS. It has to be designed to be compatible with the  
17 BIOS.

18           And all of this shows the set of components  
19 with which the memory has to interoperate, that is,  
20 the memory has to function in a coordinated manner  
21 with.

22           Q. And that's when DRAM memory is used within a  
23 PC system or network?

24           A. That's correct.

25           Q. And would there be different interoperability

1 issues when DRAMs are used in other contexts other than  
2 the PC system?

3 A. Yes. For example, with a fax machine or a  
4 printer, you typically have a chip that's a controller  
5 which often will have the both processing and memory  
6 controlling capability, and the DRAM has to work with  
7 that chip, and so it then has a specialized part  
8 number.

9 The PC is a larger device. There tend to be  
10 more interoperability issues on a PC than on a fax  
11 machine or a printer, but the same kind of  
12 interoperability requirements arise.

13 Q. Let's go back to DX-134. You were explaining  
14 these points on the basic economics of the DRAM  
15 industry. We just covered interoperability.

16 Let's go to the next point, price sensitivity.  
17 What do you mean by that?

18 A. So -- and there's been testimony on this point  
19 as well, but it's an economic concept of price  
20 sensitivity, what economists call actually elasticity  
21 of demand. Consumers are very sensitive to price.

22 And price sensitivity refers to the  
23 unwillingness to pay for -- to pay increased prices or  
24 the general loss in quantity demand when prices rise.  
25 And here those are driven by the PC user ultimately.

1           So when memory prices fall, you see a large  
2 amount of upgrading of PCs, you see a large increase  
3 in the sales, and generally we've seen lots of  
4 testimony about the resistance by consumers to paying  
5 price -- paying increased prices for increased  
6 performance.

7           And if I could, I would like to explain the  
8 reason for consumers to feel that way.

9           Q. Now, let me ask you to do that, and just to be  
10 clear, this -- you're offering a view from the  
11 standpoint of economics as to the economic explanation  
12 for the price sensitivity that you've heard discussed  
13 by witnesses --

14          A. Yes.

15          Q. -- and seen referenced in documents?

16          A. Yes.

17          Q. Okay. What is the economic explanation or what  
18 do you have to say from the standpoint of economics on  
19 that issue?

20          A. A major portion of the economic -- of the use  
21 of DRAM is in the PC industry. And if a consumer  
22 looks at having a small amount of very fast DRAM, so  
23 if you have 128 megabytes on a modern machine, a very  
24 fast DRAM, on occasion your system will not have  
25 enough memory to store what the processor needs, and

1       what it will do in that instance is actually write to  
2       the hard drive. It will store information on the hard  
3       drive. And compared to even the slowest DRAM, hard  
4       drives are very slow.

5               And so the effect -- it's what's known as  
6       virtual RAM. The effect of this is that a system that  
7       has a small amount of very fast RAM will not perform as  
8       well as a system that has a large amount of slower RAM.  
9       And this means that consumers generally are just trying  
10      to get more RAM rather than get fast RAM.

11             Now, that's not to say that they don't value  
12      fast RAM. They do value fast RAM. But the trade-off  
13      is often located for consumers on the what I really  
14      need is more RAM as opposed to fast RAM. And that puts  
15      both price sensitivity towards new technologies or  
16      faster technologies and this emphasis on very large  
17      volumes of commodity kind or basic DRAM.

18             MR. STONE: Your Honor, I'd like to move to  
19      strike the testimony about consumer behavior and what  
20      consumers do unless it's simply an assumption this  
21      witness has made.

22             He was not qualified as an expert on consumer  
23      behavior nor has any foundation been laid for any sort  
24      of consumer survey.

25             So as to what consumers do in buying PCs, it's

1 outside the area of an industrial organization  
2 economist, outside the area of any of the foundation  
3 he's testified to, unless he's simply saying "I'm  
4 making that assumption" and then that assumption will  
5 rise or fall in the evidence in the record.

6 JUDGE McGUIRE: Mr. Royall?

7 MR. ROYALL: Your Honor, I think that this is  
8 squarely within the scope of industrial organization  
9 economics as he explained earlier, so I think there is  
10 a foundation.

11 He's talked about that an industrial  
12 organization economist studies markets and how markets  
13 operate from a supply and demand standpoint, and in  
14 referring to consumers, he's simply referring to the  
15 demand or the demand side of the marketplace and what  
16 economic conclusions he's drawn.

17 He certainly can be cross-examined on that  
18 issue, but I see no reason to limit his testimony.  
19 Indeed, it would be a serious problem if an economist  
20 were not permitted to give economic testimony about the  
21 demand side of the markets that he's focused on.

22 MR. STONE: Your Honor, this is not an issue on  
23 which he gave us a report. If he's being proposed as  
24 someone who can give expert testimony on what consumers  
25 do in the marketplace, it's not within scope of his

1 report.

2 More importantly, no foundation has been laid  
3 that he has any basis for testifying to it. It may be  
4 the subject on which economists do from time to time  
5 testify, but it's not a subject on which they've laid  
6 any foundation that he has expertise to testify or that  
7 he's done any work.

8 If he's simply saying the evidence in this case  
9 will establish whether consumers act that way or not,  
10 then we can go back and look at the record and see if  
11 there's evidence to support that.

12 JUDGE McGUIRE: All right. Is he basing his  
13 testimony on his assumptions of the evidence in this  
14 case or is he -- the other question I wanted to ask,  
15 are these conclusions included in his expert report?

16 MR. ROYALL: Your Honor, I would submit to you  
17 that his expert report, the principal portion of which  
18 is nearly 200 pages long --

19 JUDGE McGUIRE: My question isn't how long. My  
20 question is: Is this proposed testimony included in  
21 there in some way, shape or form?

22 MR. ROYALL: Yes, it is, Your Honor. There is  
23 an entire section in Professor McAfee's expert report  
24 that relates to factors that influence demand of DRAMS  
25 and the factors that influence consumer decisions in



1 DRAM markets.

2 There are other sections of his report that  
3 discuss factors that influence the demand in DRAM  
4 technology markets.

5 For Mr. Stone to say that they have not been  
6 given a report on that issue is quite incorrect, and we  
7 could take the time to demonstrate that. But again, I  
8 don't see the point in this.

9 This is squarely within the scope of his  
10 testimony, it's within the scope of his expert report,  
11 and it would be a serious artificial limitation on the  
12 testimony of this witness if he weren't able to get  
13 into these issues and explain his views.

14 JUDGE McGUIRE: Mr. Stone, one last comment.

15 MR. STONE: Yes, Your Honor.

16 Setting aside whether we can go through the  
17 report to find a reference to that, the support for  
18 that is under the decision of Daubert in Merrell Dow,  
19 which holds that this witness has to have been  
20 qualified as someone who has particular expertise in  
21 consumer behavior.

22 That expertise has not been shown, and for him  
23 to testify I have an opinion as to why consumers do or  
24 do not make certain purchasing decisions is outside his  
25 area of expertise.

1           JUDGE McGUIRE: I'm going to hold this in  
2 abeyance until I've had a chance to hear all the  
3 testimony, and that is certainly an area I expect you  
4 to go into on cross-examination, and I will then  
5 determine post-hearing the proper weight, if any, to  
6 give this line of inquiry.

7           MR. STONE: Thank you, Your Honor.

8           MR. ROYALL: Thank you, Your Honor.

9           BY MR. ROYALL:

10          Q. Now, let me go back to where we were, but first  
11 of all, just to cover the foundational issue, let me  
12 ask, Professor McAfee, in connection with the type of  
13 economic analysis that you've done in answering the  
14 five key economic questions that you identified  
15 earlier, was it important for you in any way to  
16 consider factors that influence the demand of either  
17 DRAM or DRAM technology?

18          A. Yes. Of course economists always needs to know  
19 both supply and demand and to have an understanding of  
20 supply and demand and that would be a normal part of  
21 the inquiry.

22                 And because demand for DRAM technology is  
23 derived from the demand for DRAMs themselves and the  
24 demand for DRAMs themselves is derived from the demand  
25 for the final products in which DRAM is used,

1 ultimately the demand for the technology traces back to  
2 the demand for the final good.

3           And so it's important to have an understanding  
4 of the demand for the final good to understand what is  
5 the derived demand for the DRAM technologies.

6           Q. And you mentioned earlier that your area of  
7 expertise in economics relates to something called  
8 industrial organization economics.

9           A. That's correct.

10          Q. Does industrial organization economics in any  
11 way relate to the study or analysis of consumer  
12 behavior?

13          A. Well, consumers are the final demand for most  
14 of the products that industrial organization  
15 economists study, and so an understanding           12    behavior?



1           So wheat from one farmer is a substitute for  
2 wheat from another farmer and is traded as such.

3           And DRAM, it's not a perfect commodity,  
4 although few things are perfect commodities, DRAM is  
5 very close to a perfect commodity in the sense that the  
6 standardized DRAM from any manufacturer will work in  
7 any particular type; that is to say, a PC133 SDRAM will  
8 work in any computer that takes a PC133 SDRAM, and it  
9 doesn't matter whether it's Samsung or Micron or  
10 Infineon who made it.

11           Q. And what are the economic implications of this  
12 commodity nature of DRAM?

13           A. Well, there are a variety of them. One is the  
14 consumers -- the consumers value this partly to have  
15 multiple sources of supply -- here by "the consumers"  
16 I'm referring to the original equipment  
17 manufacturers -- they value the commodity-type DRAM  
18 because that gives them multiple sources of supply  
19 which reduces their risk and other -- and ensures price  
20 competition.

21           In addition, consumers, final consumers, have  
22 some value for it. What makes it more likely that the  
23 product will be available when they go to upgrade.

24           All of these factors influence in turn the way  
25 in which the technologies are selected. And the reason

1 is given the value that's placed on the commodity  
2 nature of DRAM, the process by which technologies are  
3 selected put an emphasis on standards that applies to  
4 all companies that are in the marketplace.

5 Q. In connection with your work and your economic  
6 analysis in this matter, Professor McAfee, have you  
7 gained or sought to gain an understanding as to who  
8 produces, that is, what companies produce commodity  
9 DRAM devices today?

10 A. Yes. And I have a slide to show the --

11 Q. Let's go to the next slide, which is DX-136.  
12 What does this slide show?

13 A. Well, this slide shows the home countries of  
14 the various DRAM manufacturers in the marketplace  
15 today. The major manufacturers.

16 So it shows Micron from Boise, Idaho; Infineon

1           A. No. And I've prepared a slide to illustrate,  
2 illustrate that.

3           Q. Let's go to the next slide, DX-137, I believe.

4           JUDGE McGUIRE: Yes.

5           BY MR. ROYALL:

6           Q. What does this slide show?

7           A. This shows on -- I've forgotten what year, but  
8 this shows DRAM manufacturers in the past and shows  
9 that there used to be in fact a lot more distinct  
10 companies manufacturing DRAM.

11           Now, some of the plants of these companies are  
12 still in operation; that is, they've been incorporated  
13 into the existing companies today. But this shows that  
14 there were a lot more players.

15           For example, some of the Japanese companies  
16 have merged -- their operations have merged and have a  
17 new name. In fact, it's fairly hard to keep track of  
18 all the companies, the current names of the companies  
19 producing DRAM.

20           Q. Are there any U.S. companies that in the past  
21 during the time period you focused on were producers of  
22 DRAM but today are no longer producers of DRAM?

23           A. Yes. This slide shows three, IBM, Intel and  
24 Texas Instruments.

25           Q. Now, I believe that you mentioned in your

1 earlier answer that there has been consolidation in the  
2 DRAM manufacturing business. Is that your  
3 understanding?

4 A. That is my understanding.

5 Q. And have you had occasion as part of your  
6 economic analysis, have you had reason to probe why  
7 there has been a consolidation over time in the DRAM  
8 manufacturing business?

9 A. Yes, I have.

10 Q. And what views or conclusions have you reached  
11 in that regard?

12 A. Well, they also flow from the economics of DRAM  
13 production. And I've prepared a slide to --

14 Q. Let's go to the next slide, which is DX-138.

15 Is this the slide you're referring to?

16 A. Yes, it is.

17 Q. And this slide -- we had a slide earlier that I  
18 believe you titled Basic Economics of the DRAM  
19 Industry.

20 How does this slide differ from that earlier  
21 slide?

22 A. This slide is about DRAM production, so this  
23 is -- the earlier slide was about an overview of the  
24 industry itself. This is only about the supply side of  
25 the industry, which is determined by the production



1 technologies and costs.

2 Q. So this slide -- we talked earlier about the  
3 distinction in your analysis between supply side  
4 considerations and demand side considerations.

5 This slide is only referring to the supply  
6 production side of your analysis?

7 A. Yes. That's correct.

8 Q. And let me ask you to explain what you mean by  
9 the points that you list here relating to DRAM  
10 production and starting with the first point, high  
11 fixed costs.

12 A. So we already saw a slide about the increasing  
13 cost of plants, and that's what this refers to, that  
14 the scale of operation in the plant, the minimum  
15 efficient plant size, has grown over time. And this  
16 fact probably by itself explains the consolidation in  
17 the DRAM production, DRAM industry, that the  
18 increasing capital requirements and fixed costs and  
19 technological costs, costs of testing and the like,  
20 have forced a shake-out and consolidation in the  
21 industry.

22 Q. What about the next bullet point, volatility,  
23 cyclicalities? What are you referring to there?

24 A. From an economist's perspective, one of the  
25 most interesting features of the DRAM industry is its

1 extremely volatile and cyclical nature. And one way of  
2 understanding the volatility and cyclicity of this  
3 is -- arises out of the production process and the sort  
4 of basic economics of the production process.

5 When there's a die shrink or other changes to  
6 the production, often it takes a while to perfect that  
7 process; that is, there is a substantial amount of  
8 learning by doing. And the effect of this is that you  
9 may go from, according to the industry reports, you  
10 might go from only having half of your chips on a given  
11 wafer actually function to having over 90 percent of  
12 them, and that's nearly a doubling of supply and that  
13 may happen in a twelve-month period.

14 That along with the coordination of the  
15 industry in its production process means that you get  
16 pretty large increases of supply in a very short period  
17 of time, and that can cause prices to plummet. And so  
18 you get a -- you get a cycle, a price cycle  
19 essentially, driven by the technology.

20 Q. And referring to the third bullet point in the  
21 slide, intense price competition, what are you  
22 referring to there?

23 A. When manufacturers sell different products,  
24 they all have what you could think of -- what  
25 economists call market power, but you can think of

1 little local monopolies. They have something that's

1           That is to say, the focus of the DRAM  
2 manufacturers needs to be on cutting costs, because if  
3 their costs are a little bit higher than the going  
4 price, they'll slowly go out of business. They'll  
5 bleed to death. And so -- and whoever has the low cost  
6 enjoys the proceeds of that low cost, and so the effect  
7 of this is to create enormous pressure on cost  
8 reduction. And I have a slide that --

9           Q. Before we go to that slide, is cost reduction  
10 or cost-cutting related in any way to the point you  
11 make in the fourth bullet here about maximizing  
12 capacity utilization and yield?

13          A. Yes. In fact that maximizing capacity  
14 utilization is a consequence of intense cost pressure  
15 and the combination -- and the fixed costs. The fact  
16 that you have very large fixed costs means that you  
17 want to run your plants full out.

18          Q. Well, let's go to the next slide, which will be  
19 DX-139.

20                 Now, is this -- in this slide are you giving  
21 more detail to the points you mentioned earlier about  
22 cost reductions and increasing capacity or yields?

23          A. Yes.

24          Q. Is that the purpose of this slide?

25          A. Yes. This illustrates some of the means by

1       which manufacturers attempt to minimize their per-unit  
2       or average cost.

3           Q.   And what -- the first bullet refers to  
4       24/7 operation.  What are you referring to by that  
5       term?

6           A.   That's operation every hour of the day every  
7       day of the year or every day of the week.

8                    The Infineon plant attempts to never shut down,  
9       that is, to operate continuously.  They did actually  
10      shut down for a snowstorm once.  They attempt never to  
11      shut down because it's an extremely expensive plant and  
12      you want to amortize the cost of that plant over as  
13      many wafers and chips as possible.

14          Q.   And so running the plant twenty-four hours a  
15      day seven days a week is -- you understand that that is  
16      motivated by the cost -- the pressures to reduce cost  
17      that you'd mentioned earlier?

18          A.   Yes.  This is a way of lowering the average  
19      cost because it amortizes the fixed cost over a larger  
20      volume.

21          Q.   What about the next point, clean rooms?  What  
22      does that refer to and how does that relate to reducing  
23      costs or increasing yields?

24          A.   So at a .2 micron feature size, at a very small  
25      feature size, a speck of dust that falls on the chip

1 will actually tend to short-circuit that chip, that is,  
2 disable the chip.

3           And so they go to extraordinary lengths to -- I  
4 think there's been testimony to this effect -- to have  
5 the cleanest facilities possible and to have one part  
6 per cubic foot of dust, and just to give a comparison,  
7 a cubic foot of dust is something like two million  
8 particles every hour.

9           Q. And have you seen these clean rooms directly

1           Q.  And you understand that those efforts are  
2  related to costs and yields?

3           A.  Yes.  Again, every speck of dust potentially  
4  destroys one of their chips and so they try to -- they  
5  expend very large amounts of money to try to reduce the  
6  loss in yield.

7           Q.  And the next bullet point refers to extended  
8  equipment life.  How does that relate to costs and to  
9  yields?

1 to yields?

2 MR. STONE: Your Honor, before we start this  
3 answer, if I might object.

4 We've heard this testimony from Mr. Becker and  
5 we heard this testimony from Mr. Williams. This is a  
6 matter of fact in the record of how many dust  
7 particles humans give off and how clean the rooms are,  
8 and I don't think -- all this witness is doing now is  
9 simply repeating evidence that's in the record. I  
10 think it's unduly cumulative of what we've already  
11 heard.

12 JUDGE McGUIRE: All right. Let me be clear on  
13 this, Mr. Royall. He can refer to prior testimony, but  
14 I'm not going to allow him or any other expert to sum  
15 it up.

16 So maybe you're walking a fine line here, but  
17 there are points where you're going to cross it and I'm  
18 not going to allow it, so you know, you decide how you  
19 want to proceed on that, but I'm not going to add time  
20 to this proceeding with testimony that we've already  
21 heard.

22 Now, if he wants to make a reference to it,  
23 that's one thing, but again, I'm not going to allow him  
24 to sum it up.

25 MR. ROYALL: I understand, Your Honor. And I



1 did not intend to ask Professor McAfee to provide a  
2 summary of what others have said, but I do think it's  
3 important and fully appropriate for him to give an  
4 economic explanation of the factors that he's  
5 identified that influence the economic functioning of  
6 the markets that he studied.

7 JUDGE McGUIRE: Well, that's fine and he can do  
8 that.

9 And this is also for you, Mr. McAfee, and keep  
10 what I've said in mind when you respond to some of  
11 these questions. Okay?

12 THE WITNESS: Yes, Your Honor.

13 MR. ROYALL: Thank you.

14 BY MR. ROYALL:

15 Q. Now, going to the next point -- and again, I'm  
16 asking for your explanation of the economic  
17 conclusions that you've reached relating to these  
18 various points -- what, if any, conclusions have you  
19 reached in your economic analysis relating to the  
20 optimization of production processes and how that  
21 affects costs or yields in the DRAM production  
22 business?

23 A. An important conclusion from the testimony  
24 concerning the efforts taken to optimize the production  
25 process is the lead time, that is, production

1 process -- the optimized production process doesn't  
2 happen overnight. There's actually a substantial  
3 amount of time that's taken.

4           And so in -- what's important for the economic  
5 analysis is that the efficient or the expedient way to  
6 introduce a new generation of product, for example, is  
7 you run small batches -- and this has been testified  
8 to -- you run small batches for a period of time  
9 until -- and maybe revising those designs, learning by  
10 doing, while you're producing in large volumes some  
11 other product.

12           And that the lead times in that case are  
13 actually substantial. That there may be six months,  
14 twelve months, even eighteen months of lead time,  
15 depending on the nature of the production process.

16           to the4s

1 economic significance of die shrinks is that sometime  
2 after they occur you tend to get actually jumps in the  
3 supply, that is to say, when you make a 20 percent  
4 increase in the supply associated with a single die  
5 shrink. And so that makes for sort of lumpy quantity  
6 outcomes.

7 Q. Are there any other economic conclusions that  
8 you've reached in relation to the issue of die shrinks  
9 that have any bearing or impact on your testimony?

10 A. Not as I sit here today.

11 Q. Okay. Let's go to the final point.

12 What, if any, economic conclusions have you  
13 reached relating to larger wafer size and the bearing  
14 of that issue on costs and yields?

15 A. So this is another form of scale economy -- oh,  
16 I'm sorry. I do actually remember what I'm going to  
17 rely on later with respect to die shrinks. May I go  
18 back to my previous answer?

19 Q. That's fine. Let's do that. We'll come back  
20 to the other question.

21 Just so the record is clear, the question I had  
22 asked you earlier is: Are there any other economic  
23 conclusions that you've reached in relation to the  
24 issue of die shrinks that have any bearing or impact on  
25 your testimony?

1           A. This is so embarrassing. I've now managed to  
2 forget. I'm sorry.

3           Q. The question -- just to give reference to the  
4 question, we've been discussing ways the DRAM  
5 manufacturers reduce costs and increase yield, and I  
6 think that your earlier answer relating to die shrinks  
7 had something to do with lumpy supply.

8                   Is there a cost -- is there a cost implication  
9 of die shrinks?

10           JUDGE McGUIRE: All right. Mr. Stone?

11           MR. STONE: If Mr. Royall wants to prompt the  
12 witness to something that I think he expects him to  
13 say and has probably just forgotten at the moment, I  
14 have no objection to this so we can just expedite  
15 this.

16           JUDGE McGUIRE: Noted.

17           MR. STONE: That would be fine.

18           JUDGE McGUIRE: You can prompt him.

19           BY MR. ROYALL:

20           Q. Thank you, Your Honor.

21                   And I'm not sure whether there is anything to  
22 draw out here, but it's -- it's just Professor McAfee  
23 thought he recalled something and I'm just asking  
24 whether -- you mentioned something related to supply  
25 implications I think with respect to die shrinks. Is

1       there a cost implication to that?

2           A. Yes. What I had forgotten was, in some cases  
3 these manufacturers are making multiple products, and  
4 when they are making multiple products, a die shrink  
5 essentially represents a fixed cost for them.

6           That is, there's an amount of effort that's  
7 taken to produce a die shrink. You need masks. You  
8 need to actually redesign your production process. You  
9 may need different chemicals and photoetching.  
10 Essentially it's all fixed -- it's not related to the  
11 quantity of wafers that you run through the production  
12 process -- the cost of producing the die shrink.

13           And so the effect of this is, from an economic  
14 perspective, if you've got two products that you might  
15 apply a die shrink to, you're going to apply it to the  
16 product that you're producing the most of. That is to  
17 say, the product with the -- that you're producing the  
18 most of will be the product you shrink first and it  
19 will be the product you shrink most.

20           And that has the -- and since a die shrink  
21 lowers cost by producing more chips per wafer, there's  
22 a cost reduction associated with a die shrink, the  
23 effect of that is the product that you're producing the  
24 most of is the product whose cost falls the fastest,  
25 and that's actually very important from an economic

1 analysis perspective.

2 Q. Now, very quickly, the last point you  
3 mentioned on this slide, DX-139, relates to larger  
4 wafer size.

5 What, if any, economic conclusions have you  
6 reached relating to larger wafer size and the bearing  
7 of that issue on costs and yields in DRAM production?

8 A. Well, they would actually be parallel to the  
9 die shrink conclusions. That is to say, again, if you  
10 were moving to a larger wafer size, you would do it on  
11 a product that you expected to sell a lot of or were  
12 selling a lot of, and in particular with wafer size,  
13 you'd do it to a product where you expected to sell a  
14 lot in the future because, again, it's a big fixed cost  
15 to move to the next wafer size.

16 Q. Now, we've been discussing with this slide and  
17 some prior slides --

18 A. I'm sorry. I didn't actually quite finish that  
19 answer.

20 Q. I'm sorry. Go ahead.

21 A. And it's the feedback effect that's important  
22 from an economist's perspective. That is to say, we  
23 apply our cost reduction to our majority product and  
24 that has a feedback effect of lowering the cost of that  
25 product which then through the marketplace leads that

1 product to even grow even larger as a proportion of the  
2 total demand.

3 And it's the feedback effect that's important  
4 from the economist's perspective.

5 Q. This slide, DX-139, and at least one of the  
6 prior slides, if not more, relates to DRAM production,  
7 and you mentioned earlier that as part of your economic  
8 analysis, an important part of your economic analysis,  
9 you focus also on demand side or consumer issues  
10 relating to these markets.

11 Have you reached any conclusions as to the  
12 economics of DRAM demand?

13 A. Yes, I have. And I've prepared a slide to  
14 illustrate some of those conclusions.

15 Q. This slide will be DX-140 I believe.

16 A. Yes.

17 Q. Again, you have a number of bullet points here  
18 related to the economics of DRAM demand.

19 Let me ask you to start with the first bullet  
20 point and explain what, if any, economic conclusions  
21 you have reached and what significance or bearing they  
22 have on your overall opinions and conclusions in this  
23 case.

24 A. This DRAM demand -- we actually have multiple  
25 levels at issue in this case in the vertical chain of

- 1 production, what economists call the vertical chain of
- 2 production.



1 we'll go into that somewhat more. But other products  
2 have to be, as I mentioned earlier, other products have  
3 to be designed that work with that.

4 And one of the characteristics, which again is  
5 actually more of a factual matter, is that some of  
6 these take a very long time, and so that's going to  
7 have -- that long lead time on the demand side, which  
8 that -- to get the product actually used is going to be  
9 relevant to the analysis, but that actually is more of  
10 a factual matter, that there are long lead times  
11 needed, rather than a conclusion which I'm drawing.

12 Q. And backwards compatibility, do you draw any  
13 economic conclusions relating to the subject of  
14 backwards compatibility?

15 A. Yes, I do. Backward compatibility refers to --  
16 backward compatibility refers to some features or maybe  
17 all of the features -- different people seem to use  
18 this term differently -- being consistent as you go  
19 from one generation of product to the next.

20 Now, from an economic perspective, the value  
21 of that is in the reuse of existing knowledge, and so  
22 one of the characteristics of demand as a cost  
23 minimization matter that manufacturers are likely to  
24 reuse their existing knowledge, not reinvent the  
25 wheel, and that leads to a demand for backward

1 compatibility.

2 So there, backward compatibility is actually a  
3 consequence of features of the demand by OEMs.

4 Q. Minimizing costs per bit, what are you  
5 referring to by that term?

6 A. Actually you see a fair amount -- a fair amount  
7 of testimony that cost per bit is a very critical  
8 aspect.

9 We already talked about the price sensitivity.  
10 An implication of the price sensitivity of final  
11 consumers is a desire on the part of the OEMs to  
12 minimize their cost per megabyte or per bit for demand  
13 for their product, for DRAM.

14 Q. Are there any economic implications of that  
15 that you've identified?

16 A. Oh, absolutely. That puts pressure on the  
17 supply side to do -- to produce the absolute lowest  
18 cost, so that is a contributor to the pressure on the  
19 manufacturers to have the absolute lowest cost per  
20 megabyte basis.

21 Q. And finally, minimizing design, testing and  
22 qualification costs, is that a subject that bears on  
23 your economic analysis?

24 A. Absolutely. This -- the costs of design,  
25 testing and qualification in this industry appear to

1 be quite substantial, and that's a factual matter, but  
2 they do appear to be quite substantial. And as a  
3 consequence of those, those create an economy of  
4 scale.

5 That is to say, when design, testing and  
6 qualification costs are large, you want to try to use a  
7 single or not too many different flavors or varieties  
8 of DRAM so that I don't have to go through the whole  
9 design, testing and qualification process over and over  
10 and over again.

11 And so this creates more pressure for having a  
12 single, dominant flavor of DRAM.

13 Q. And when you say it creates this pressure, are  
14 you talking about economic factors that influence the  
15 supply of DRAM?

16 A. That's correct. Well, in the marketplace  
17 choice, not just supply, but also the marketplace  
18 choice of DRAM.

19 Q. So the demand side as well?

20 A. That's correct.

21 MR. ROYALL: Your Honor, this is a convenient  
22 stopping point for me.

23 JUDGE McGUIRE: Okay. Very good.

24 It's about twenty-five after. Let's take a  
25 break for lunch and we'll reconvene here at 1:45.

1 MR. ROYALL: Thank you.

2 JUDGE McGUIRE: Hearing in recess.

3 (Whereupon, at 12:22 p.m., a lunch recess was  
4 taken.)

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 A F T E R N O O N S E S S I O N

2 (1:46 p.m.)

3 JUDGE McGUIRE: At this time you may proceed,  
4 Mr. Royall, with your examination.

5 MR. ROYALL: Thank you, Your Honor.

6 BY MR. ROYALL:

7 Q. Just to reorient us after the lunch break, we  
8 talked about the economics of the DRAM industry and you  
9 explained certain views and conclusions relating to  
10 both the economics of DRAM production and the economics  
11 of DRAM demand.

12 Let me ask you now, Professor McAfee, do any of  
13 the economic factors that we've discussed in your  
14 testimony to this point have bearing on whether  
15 standards are important in the DRAM industry?

16 A. Yes, they do.

17 Q. And how is that?

18 A. For example, this issue with die shrinks that  
19 the same -- the product that's in the majority of  
20 demand tends to get the die shrinks fast and hence its  
21 costs fall faster. That encourages a single product to  
22 be the dominant product and that's going to make the  
23 standard by which that product is manufactured  
24 important.

25 As does the requirement of having multiple

For The Record, Inc.  
Waldorf, Maryland  
(301) 870-8025

1 suppliers or the value that buyers put on having  
2 multiple suppliers. Again, that would tend to  
3 encourage a single product or not very many products as  
4 being a dominant standard.

5 Q. And have you as part of your economic analysis  
6 sought to investigate or study the extent to which in  
7 the DRAM industry there has at any given time been a  
8 dominant industry standard?

9 A. Yes. And I've prepared a slide.

10 Q. Let's go to that and I think we're now up to  
11 DX-141.

12 This slide is entitled Evolution of DRAM  
13 Standards. It's very colorful, but let me ask you if  
14 you can explain what you're seeking to depict through  
15 this slide.

16 A. This slide shows at any given time and across  
17 time which product is -- well, the market shares of the  
18 various products available for sale in the market.

19 And just to give an example, defining market  
20 share of fast page mode, which is the product -- it's  
21 an asynchronous design colored in a greenish color.

22 In 1995 -- in 1995, one looks at the green  
23 color, which starts around 8 percent and ends around  
24 93 percent, and the percentage of the market that would  
25 be devoted to fast page mode is the difference between

1 those two, that is, 93 minus 8.

2 The proportion of the market that's EDO,  
3 extended data out, which is another memory type that  
4 was available in 1995, that's associated with the  
5 orange color and that would be the difference between  
6 100 and roughly 93, or 7 percent of the market.

7 I'm just approximating the numbers.

8 And so this shows the proportion of the market  
9 at each year devoted to the various products for sale,  
10 at least in the large quantities.

11 Let me say that the years from 2002 on are  
12 projected and we already have -- the 2003 numbers are  
13 now available and they are -- DDR actually has a  
14 larger market share than is illustrated on this  
15 picture.

16 Q. And you testified earlier that you have focused  
17 as part of your analysis on the JEDEC SDRAM and  
18 DDR SDRAM standards.

19 What portion of this chart or graph, DX-141,  
20 corresponds with those standards?

21 A. Well, the "other" is actually not identified,  
22 so I don't know about the "other." And the RDRAM, the  
23 Rambus product, which is colored in red, is not a JEDEC  
24 standard. And the other four technologies are  
25 standardized.

1 Q. But which portion corresponds with -- which  
2 portion or portions correspond with SDRAM DDR?

3 A. I'm sorry. I misunderstood your question.

4 The blue is the SDRAM and the yellow is the  
5 DDR SDRAM, so the blue color represents SDRAM.

6 Q. And does this graphic have any bearing on what,  
7 if any, conclusions you reach as to whether in the DRAM  
8 marketplace you have seen the existence of dominant  
9 industry standards?

10 A. Yes. This diagram shows that generally the  
11 standards get off to what I think was a slow start.  
12 It's sometimes called the S curve because the shape is  
13 kind of -- is mirroring an S, at least a stretched-out  
14 S a little bit.

15 They get off to a somewhat slow start, and then  
16 market penetration speeds up, and then at some point it  
17 tails off again and with its being replaced by a  
18 subsequent standard.

19 Q. And the lines and the changes of colors, those  
20 represent transitions from one industry standard to  
21 another; is that correct?

22 A. Well, the -- so the transition across time is  
23 represented by the subsequent product's share growing,  
24 and you see that by the fall-off in the previous  
25 standard.



1           So as the -- for example, as EDO gives way to  
2 SDRAM, the right-hand side of the orange area starts to  
3 decline steeply.

4           Q. Have you, Professor McAfee, in connection with  
5 your work on this matter, developed an understanding of  
6 what economic factors, if any, cause the DRAM  
7 marketplace to transition from one industry standard to  
8 another?

9           A. Yes, I have.

10          Q. What factors have you concluded impact that  
11 transition from one standard to another?

12          A. I'm sorry. Can I get you to repeat the  
13 question?

14          Q. What -- you said that you have developed an  
15 understanding of economic factors that cause the DRAM  
16 marketplace to transition from one industry standard to  
17 another, and I'm simply asking you what economic  
18 factors bear on that transition.

19          A. Well, the cost of the subsequent product would  
20 be a leading candidate for -- a leading economic  
21 factor. That is to say, as the cost of a next  
22 technology falls, you see initially niche applications  
23 for a new standard.

24                 That is, the things like video RAM or the most  
25 high-value use for fast memory will be the initial

1 users and they're paying a relatively high market  
2 premium. As the number of buyers grows for this  
3 memory, at some point you get a market tipping, or  
4 what's called a market tipping, and that's driven by a  
5 factor we've already talked about, which is you apply  
6 your cost-saving activity most to the product that  
7 you're making the most of, and so that tends to drive  
8 down -- as the product gets a larger market share, it  
9 tends to drive down the price, thus reinforcing the  
10 inclination of the market to buy that product.

11 And ultimately that leads to, the market will  
12 tip to the new product.

13 Q. Have you reached any conclusion as to whether  
14 from an economic standpoint standards are an important  
15 element of the competitive landscape in the DRAM  
16 industry?

17 A. Yes, they are.

18 Q. And do you have an understanding or have you  
19 developed views as to why standards are important in  
20 this industry?

21 A. Yes. And I will -- provided a slide which in  
22 fact echoes many of the market factors that we've  
23 already talked -- already discussed.

24 Q. And this is DX-142 I believe.

25 I don't want you to recover territory that

1 we've already covered, for instance, on  
2 interoperability, but could I ask if you could just  
3 generally explain your views from the standpoint of  
4 economics as to why standards are important in this  
5 industry.

6 A. Well, we have talked about interoperability and  
7 we've also talked about the cost reductions and the  
8 requirement that the DRAM actually work in multiple  
9 applications in order to drive down the price. Well,  
10 that is to say the effect of cost falling more rapidly  
11 for the majority product.

12 And the effect of this is that the standards,  
13 because they allow multiple suppliers, because they  
14 allow interoperability, because they allow leveraging  
15 the costs of the design, standards are very important  
16 for making the product -- for in essence minimizing the  
17 cost of delivery or the cost -- the total cost of  
18 system products.

19 Q. And the last bullet point on this slide,  
20 DX-142, refers to facilitating price competition. Can  
21 you explain what you mean by that?

22 A. Yes. By setting a common design and adhering  
23 to a common standard, the -- an advantage to the  
24 marketplace as a whole is that it benefits from price  
25 competition associated with the -- from the

1 manufacturers, and I think I already spoke about price  
2 competition.

1           Q.  Let's go to that, which this slide will be  
2   DX-143.

3            You have several points here relating to the  
4   nature of DRAM standards.  Let me briefly ask you about  
5   each of them.

6            Starting with the first, which refers to basic  
7   design specifications/protocols, what are you referring  
8   to there?

1 the nature of DRAM standards, is that an evolution that  
2 has occurred during the time period that you focused on  
3 for your economic analysis?

4 A. Yes. Although I'm actually referring to  
5 somewhat before that time period as well, that is,  
6 starting in 1980, even in the late '70s, but in 1980.

7 Q. Let's go to the next bullet where you state  
8 "focus on interface."

9 What are you referring to there?

10 A. Well, this is -- I already foreshadowed that  
11 point with -- the focus is on how the DRAM communicates  
12 with the outside world as opposed to how it's  
13 manufactured in its manufacturing process.

14 So that is to say, from the perspective of  
15 what purposes -- and that's important economically  
16 from the perspective of what purpose the standards are  
17 serving.

18 The standards are serving to define the  
19 characteristics of the DRAM in such a way that the  
20 chipset makers, the processor users know enough about  
21 it to know how to design their products. They don't  
22 need to know how the DRAM is manufactured. They need  
23 to know how the DRAM communicates with the outside  
24 world and how the DRAM behaves.

25 And so the focus of the standards as I

1 understand it is primarily on the interface, the  
2 input/output behavior, the reaction of the DRAM to the  
3 rest of the world, to the rest of the system, rather  
4 than on, for example, manufacturing standards.

5 Q. The next point refers to parametrics. What do  
6 you understand that term to mean and how is that  
7 relevant to the points you're making in this slide?

8 A. So parametrics refer to specifications within a  
9 standard; that is, my understanding is that you can  
10 have a standard which can then be more tightly defined  
11 by what are known as parametrics.

12 And for an economist, this phrase refers to  
13 additional specification or a tighter specification.  
14 And it's something that comes up in the  
15 standard-setting on occasion, that is, the need for  
16 further refinement of the standards, if you will.

17 Q. And do you understand that to be part of the,  
18 referring to the first and second point, part of the  
19 basic design specification or interface specification,  
20 or is this something separate or in addition to that?

21 A. I would say in addition rather than separate.  
22 That is, it's a more tightly defined or an additional  
23 requirement on the specification.

24 Q. And then the final bullet on this slide refers  
25 to module standards. What are you referring to there?

1           A. Well, some users use -- some users of DRAM  
2 actually use DRAM directly. The PCs tend to use  
3 modules; that is to say, the DRAM is put on what is  
4 itself a circuit board and that circuit board is  
5 plugged into the PC.

6           And so an additional set of standards that are  
7 potentially relevant are module standards, that is, the  
8 standards on how a module communicates with a PC, which  
9 might be silent to how the DRAM works inside the  
10 module.

11          Q. This slide that we've been discussing refers to  
12 your understanding or assumptions about the nature of  
13 DRAM standards.

14           Have you, as part of your economic analysis,  
15 investigated the manner in which standards are set in  
16 the DRAM industry?

17          A. Yes, I have.

18          Q. Do you have a slide relating to that?

19          A. I've prepared a slide.

20          Q. And this is the slide you're referring to?

21          A. This is it.

22          Q. This would be DX-144.

23           Now, what are you referring to in this slide?

24          A. Well, these are three competing mechanisms for  
25 setting standards associated with DRAM. I should say



1 that these are also three of the four competing  
2 mechanisms for setting standards more generally, the  
3 fourth being the government.

4 The three methods are:

5 You can have a standard-setting organization,  
6 and there are several -- at least going back  
7 historically, there were several candidates for  
8 standard-setting organizations.

9 You can have private consortia, and we see  
10 private consortia such as ADT that attempt to set  
11 standards. SyncLink was also a private consortium.

12 And then you can have proprietary. That's a  
13 consortium of one, a single firm, of standards,  
14 proprietary standards.

15 Q. You said, if I understood you correctly, that  
16 these types of or manners of creating standards could  
17 exist in any industry.

18 Do you have an understanding as to whether all  
19 three of these approaches to standards-setting have  
20 been utilized at some point in time in the DRAM  
21 industry?

22 A. Yes. As I mentioned, JEDEC is a  
23 standard-setting organization, ADT was private and  
24 SyncLink was both private consortia, and Rambus is a  
25 proprietary standard.

1 Q. Do you have an understanding as to whether any  
2 one of these approaches has been more successful than  
3 others in the DRAM industry in terms of setting  
4 standards that are accepted in the marketplace?

5 MR. STONE: Objection, Your Honor. This  
6 calls -- this improperly calls for opinion testimony  
7 outside this witness' area of expertise and lacks the  
8 foundation.

9 If it's simply an assumption on his part and  
10 underlies any of his opinions, I don't object. But if  
11 he's testifying to this as an opinion of his own or a  
12 conclusion, it lacks foundation.

13 JUDGE McGUIRE: Mr. Royall?

14 MR. ROYALL: Your Honor, as the question  
15 clearly stated, I was asking for his understanding,  
16 which is a term I've used to refer to the factual  
17 predicate or assumptions he's making.

18 JUDGE McGUIRE: To his assumptions. Okay.

19 MR. STONE: To his assumptions. Okay.

20 JUDGE McGUIRE: All right. Noted.

21 BY MR. ROYALL:

22 Q. Do you have the question in mind?

23 A. Yes. And I am assuming --

24 JUDGE McGUIRE: Can we assume that's going to  
25 be the case until we hear otherwise?



1 whether JEDEC has been more successful in establishing  
2 DRAM standards that have received market acceptance  
3 compared to the other two types of standards-setting  
4 that you refer to in this slide?

5 A. Yes. The JEDEC standards have dominated the  
6 industry, and I'm assuming as a factual matter that  
7 that's because of their success in standard-setting.

8 Q. Now, putting aside your assumptions, let me ask  
9 whether you've developed any economic conclusions  
10 relating to the factors that bear on whether a given  
11 approach to standard-setting or a given standard is  
12 successful in the DRAM marketplace.

13 A. Yes, I have.

14 Q. And again, do you have a slide relating to  
15 that?

16 A. I have a slide listing factors that are  
17 relevant to the success of standards in DRAM.

18 Q. This will be DX-145.

19 Now, again, can you explain to us what --  
20 before we go through the various factors, can you  
21 explain to us what you were seeking to convey through  
22 this slide or what it relates to?

23 A. These are factors which I find to be important  
24 in the success of a standard. Whether it came from a  
25 standard-setting organization or a private consortium,

1 these are factors that matter to the marketplace, that  
2 have consequences for the marketplace and hence matter  
3 to the success of the standard -- of a proposed  
4 standard.

5 Q. And when you say that these are factors that  
6 matter to the marketplace, by that are you saying that  
7 they are factors that you have concluded have economic  
8 significance in this marketplace?

9 A. That's correct.

10 Q. Let me ask you about, starting with the first  
11 bullet point, open, consensus-based process.

12 Can you explain first of all what you mean by  
13 that term?

14 A. Yes. What I mean by that is a process by which  
15 many viewpoints are aggregated or averaged into the  
16 standard, so that is a process by which -- that  
17 represents the market participants as a whole and not a  
18 select sample of market participants.

19 Q. And could you explain why you have concluded  
20 that this is a factor that has economic significance in  
21 terms of the success of DRAM standards.

22 A. Yes. If you'll imagine -- so I should say, in  
23 making investments -- to back up a little bit, we  
24 talked earlier about the long lead time.

25 In making investments in a technology one very

1 much wants to forecast which technology will be  
2 successful; that is to say, you don't want to make  
3 investments in, say, supporting a product that won't  
4 ultimately be used by the market.

5 And this creates a coordination issue. That  
6 is, all of the market participants are in the position  
7 of trying to forecast which product is going to be  
8 successful in the marketplace, and that's what  
9 economists call generally a coordination problem.

10 And as a result, the -- and so factors that  
11 influence those forecasts, ultimate forecasts of  
12 success, will ultimately influence the success of the  
13 standard itself; so that is to say, if a factor makes  
14 it more likely that the participants forecast the  
15 ultimate success of the standard, the standard is more  
16 likely to be successful.

17 An open, consensus-based process has the  
18 advantage of, by involving more market participants,  
19 helping to make the forecast by more of the  
20 participants that the standard will ultimately be  
21 successful.

22 Q. Let's move to the second point that you  
23 mentioned here, open availability of standard.

24 First, can you explain what you mean by that,  
25 that phrase?

1           A. So this is a term that refers to whether anyone  
2 who wishes to can manufacture to that standard, so that  
3 is to say is the standard available to all or is the  
4 standard a, for example, trade secret, which it's not  
5 published or not published openly.

6           And so open availability helps by making the  
7 standard more widely available, it makes it more likely  
8 to be successful.

9           Q. And the third bullet relates to royalties.  
10 What do you mean by that and why is that a factor that  
11 you've concluded has economic significance with respect  
12 to the success of DRAM standards?

13          A. Well, economists would refer to what's known as  
14 the first law of demand, that demand slopes down. When  
15 you increase the price of something, you sell less of  
16 it.

17          Royalties have an influence on the success of  
18 standards because they are charges for the use of the  
19 standard, and so insofar as the standard requires  
20 royalties, it's less likely to be successful. And the  
21 higher the royalties, the less likely. And that's  
22 other things equal. If a standard with royalties was  
23 actually better performing, it might still be  
24 successful.

25          Q. Implementation costs is the next point. Can

1 you explain what you mean by that and why that is a  
2 factor that you've concluded has economic significance  
3 with respect to the success of DRAM standards?

4 A. Yes. I'd actually like to take manufacturing  
5 and implementation costs together if you don't mind.

6 Q. Fine.

7 A. The manufacturing cost has a direct effect on  
8 the manufacturer. The higher the cost of making the  
9 product, the less likely the product is going to be  
10 successful, but really it's the system cost that  
11 matters.

12 And you can think about this as being derived  
13 from the final demand for the product. The customer  
14 cares in some sense about the delivered cost of the  
15 computer, so he doesn't care whether the cost is in  
16 the DRAM or in the chipset. Those two costs get added  
17 together in terms of the final demand for the  
18 consumer, and hence the final demand for the -- or the  
19 demand for the intermediary, the OEM, and then that  
20 works back to the standard. What matters is the total  
21 cost, not the specific DRAM cost or the specific  
22 chipset cost.

23 Q. And finally, you refer in the last bullet to  
24 evolutionary/revolutionary. Can you explain what you  
25 mean by that and why you find this to be a factor with



1 economic significance to the success of DRAM  
2 standards?

3 A. Yes. Other things equal, an evolutionary  
4 approach will tend to be more successful than a  
5 revolutionary approach. And by "other things equal" I  
6 mean wholly performance-cost benefit.

7 And the reason for that is an evolutionary  
8 approach has an advantage of reusing knowledge, so that  
9 is to say there's less to work out. The implementation  
10 costs will tend to be lower. The risks will tend to be  
11 lower with an evolutionary approach.

12 And so an evolutionary approach has the -- has  
13 an advantage over -- typically has an advantage over a  
14 revolutionary approach, again, other things equal.

15 Q. One moment, please.

16 I want to be clear for the record what you  
17 mean by these terms "evolutionary" and  
18 "revolutionary."

19 Let me first ask you to define what you mean by  
20 the term "evolutionary" in this context.

21 A. So by "evolutionary" I mean built on the  
22 existing product or existing knowledge base as opposed  
23 to a dramatic change from the existing product or  
24 knowledge base.

25 Q. And what do you mean, to make the record clear,

1 by the term "revolutionary" in this context?

2 A. So to a lesser extent built on the existing  
3 base or it is a radical departure, a major departure  
4 from the existing technologies and products. It's more  
5 new, if you wish.

6 Q. And am I understanding you to say that between  
7 evolutionary and revolutionary, that the more

1 knowledge/infrastructure. How does that relate to the  
2 economic underpinnings of the point you were making  
3 about evolutionary technology?

4 A. So just in general, an evolutionary approach  
5 means that some of the components or subassemblies or  
6 some of the pieces you already know how to do. And  
7 what makes it evolutionary is that you're building on a  
8 knowledge base and a design or a product or a  
9 technology that you've already gotten experience in.

10 So the reuse of knowledge is you don't have to  
11 reinvent a whole bunch of wheels in the process of  
12 implementing the technology. And that's not to say  
13 that there aren't problems to solve even with an  
14 evolutionary approach; it's just to say that the nature  
15 of evolutionary approaches means that there are more  
16 available solutions from history than with a  
17 revolutionary approach.

18 Q. You refer in the next bullet to increasing  
19 marginal cost of changes. What do you mean by that?

20 A. So that's a feature of DRAM -- I should say  
21 that I'm assuming increasing marginal cost of changes  
22 rather than deducing it.

23 But what that refers to is, if I make four  
24 changes, the cost of debugging, the cost of making four  
25 changes work if I make four simultaneous changes is

1 going to be larger than if I sequentially make those  
2 same four changes; and so that is to say, if I try to  
3 do a whole lot at the same time -- and this is a common  
4 economic notion of increasing cost -- if I try to do a  
5 whole lot at the same time it's going to cost me more  
6 than if I do it sequentially.

7           And where that has a role in evolutionary  
8 changes versus revolutionary changes, one way to think  
9 of it is if I change my entire design of a DRAM and  
10 something goes wrong, I don't have any way of saying,  
11 well, this is what went wrong, or it's going to be much  
12 more challenging to identify what went wrong.

13           On the other hand, if I have a functioning  
14 product and I change a single feature and it doesn't  
15 work, the new product doesn't work, I know it was the  
16 single feature that I changed that caused the problem,  
17 and so that sort of a consideration leads to a  
18 preference for evolutionary changes. And the  
19 preference is not -- it's just lower cost of making  
20 evolutionary changes relative to revolutionary  
21 changes.

22           Q. And just to be clear, when you use the term  
23 "preference," are you referring to economic incentives  
24 or are you referring to the literal state of mind of  
25 participants in this marketplace?

1           A. The economic incentives of the firms in the  
2 marketplace as driven by their customers.

3           Q. And I think in that last answer you may have  
4 covered the next bullet, debugging and testing, or is  
5 there more that you have to say on that aspect of the  
6 slide?

7           A. No. I think that was covered.

8           Q. Skipping then to the fourth bullet,  
9 system-level design, what do you mean by that and how  
10 does that bear on this issue of evolutionary versus  
11 revolutionary technology?

12          A. It has bearing because, as I've mentioned  
13 earlier, it's the total delivered cost of the product  
14 that matters rather than the individual costs of each  
15 component, at least to the final consumer, and when  
16 you make a radical departure in the DRAM design,  
17 that's going to require bigger and more changes of  
18 chipsets and other -- and logic -- system logic and  
19 other components and so that's going to also add to  
20 those costs. And it's going to be another source of  
21 cost.

22          Q. And finally, the last bullet on DX-146 refers  
23 to risk. What do you mean by use of that term

1 going -- you're not going to be able to predict how  
2 much time is going to be necessary to make it work and  
3 how much effort and how much cost is going to be  
4 necessary to make it work. And so as a result, there's  
5 a large systemic risk associated with a radical  
6 departure from technology than with a small departure  
7 from technology, just as a general matter.

8 Q. Now, earlier you said that you have assumed,  
9 you have an understanding and you made an assumption  
10 about the relative success of the JEDEC  
11 standard-setting process as compared to other ways of  
12 setting standards in the DRAM industry. Do you recall  
13 that?

14 A. I do.

15 Q. As part of your economic analysis in this case,  
16 have you studied the JEDEC process?

17 A. I have.

18 Q. And is that -- is studying the JEDEC process  
19 relevant in some way to your economic analysis?

20 A. It is. Very much so. In fact, as I believe  
21 I've already testified, the JEDEC standards, because of  
22 their importance in the marketplace, the JEDEC  
23 standards matter to how this market behaves and how it  
24 performs. As a result, it's important for me to  
25 understand how JEDEC behaves and performs.

1 Q. And in terms of understanding how the JEDEC  
2 process functions, is that something that you have made  
3 assumptions about for purposes of laying a predicate or  
4 a foundation for your economic analysis?

5 A. I have. And I have prepared a slide.

6 Q. Let's go to the next slide, which would be  
7 DX-147.

8 Is this the slide you're referring to?

9 A. It is.

10 Q. And does this slide identify factors about the  
11 JEDEC process that have formed important assumptions  
12 related to your economic analysis?

13 A. It does. It does provide such -- yeah.

14 Q. Let's take a moment then to walk through what  
15 you mean by these various terms.

16 Let's start with the first bullet, diverse  
17 views/preferences. What were you referring to there  
18 and why is that relevant or important to your economic  
19 analysis?

20 A. As I testified earlier, many different kinds  
21 of users ultimately use the same form of dynamic  
22 random access memory, and so that's going to give rise  
23 to a situation where there are diverse opinions about  
24 what the design of, say, the next generation should  
25 be.

1           And just as a simple practical matter, the  
2 video -- for much of this period, the video graphics  
3 card producers needed faster RAM than the PC makers.  
4 That is, the value to the video graphics card producers  
5 for faster RAM was higher.

6           And so one of the factors of JEDEC is that it  
7 does represent a variety of industry viewpoints and  
8 it's not, for example, only representing manufacturers,  
9 only representing PC producers or only representing  
10 graphics card producers.

11          Q. And how is the diversity of views or diversity  
12 of representation within JEDEC relevant to your  
13 economic analysis?

14          A. It's that -- and this shows up on the slide.

15           It's that the outcome of the JEDEC process is  
16 going to be in some sense a consensus product, that is,  
17 a product that strikes a balance between the needs of  
18 various industry participants.

19          Q. The second bullet refers to choice among  
20 alternatives. What are you referring to there and how  
21 is that important to your economic analysis?





1 over, say, the perfect decision.

2 Q. The next bullet refers to cost/performance  
3 considerations. What do you mean by that term?

4 A. In terms of settling on technologies and  
5 representing diverse views, an important aspect of the  
6 decision-making process is what does it cost versus --  
7 this is what economists call cost-benefit analysis.  
8 But it's what does it cost versus how well will it  
9 perform.

1 industry moves perhaps makes IP more important, again,  
2 than in some other industries. Just there's more  
3 technological change, more technological advance, in  
4 this industry than in many industries.

5 Q. Going to the last point, satisficing, what does  
6 that term refer to?

7 A. So "satisficing" is an economic term for once  
8 you get something that's pretty good, you stop with it.  
9 That's a term I believe introduced by Herbert Simon who  
10 later won the Nobel Prize.

11 And "satisficing" refers to we're not going to  
12 actually get the absolute best product that's possible;  
13 we're going to get something that's pretty good, pretty  
14 much represents what the consensus view or the  
15 consensus preference is of the organization, and we're  
16 going to stop there and move on.

17 And it's a way of summarizing -- it's an  
18 economic term. It summarizes a kind of decision-making  
19 that seems applicable in this case.

20 Q. And when you say that, are you applying that  
21 term in this case based on your assumptions of how the  
22 JEDEC process works?

23 A. Yes.

24 Q. And how is that concept or how is this term  
25 relevant to your economic analysis?

1           A. Well, it's actually relevant in a number of  
2 respects, but probably the largest one is the choice of  
3 a technology doesn't necessarily mean it was even the  
4 best available technology. The choice was this was the  
5 first one looked at that was workable. And that is, it  
6 satisfied most of what was desired.

7           And part of this is driven by time to market,  
8 but that is to say, once we have a product that will do  
9 the job, we move on.

10           And so its importance in terms of the economic  
11 analysis is that this says generally you can't conclude  
12 from the very choice of the technology that it was  
13 necessarily even the best of the available  
14 alternatives. It just means it was in the top set or  
15 the top group. It had good qualities.

16           Q. Now, just to be clear, you said that the term  
17 "satisficing" is important in a number of ways to your  
18 economic analysis.

19           Is there something else, some other way that  
20 it's important, or did you summarize what you had to  
21 say in response to the earlier question?

22           A. It's important in that it's a -- it represents  
23 my understanding of the JEDEC decision process and the  
24 JEDEC decision process is itself important for  
25 understanding the behavior in this marketplace.

1 Q. Going back to the previous bullet, I asked you  
2 I believe what you meant by the term "IP  
3 considerations." I'm not sure that I followed up and  
4 asked you how that factor is important to your economic  
5 analysis. Could you explain.

6 A. Yes. IP matters because the big picture is  
7 standardization will create value, that is to say,  
8 the -- as I mentioned, the product that's in largest  
9 supply, which tends to be the standardized product,  
10 will get the die shrinks, will be -- have large  
11 investments made in it.

12 And intellectual property provides a route at  
13 which or provides a method by which some of the value  
14 of those investments could be expropriated, and so IP  
15 has a role because it could influence the ultimate  
16 success of a standard.

17 Q. Now, when you say that -- you used the term  
18 "expropriated." You said that the value of those  
19 investments could be expropriated in relation to your  
20 discussion of IP considerations.

21 What specifically are you referring to? Is  
22 this an economic concept?

23 A. Yes, this is an economic concept called  
24 hold-up.

25 Q. And do you have a slide relating to that?

1 A. I do actually have a slide from my own book.

2 Q. Okay. I think we have that now. This will be  
3 DX-148.

4 There's a quote, a quote here. Did you say  
5 this is a quote from your book?

6 A. It is.

7 Q. The book the cover of which we saw in an  
8 earlier slide?

9 A. That's correct.

10 Q. And let me ask you if you could read the quote  
11 here and then I can follow up.

12 A. "The hold-up problem arises because  
13 investments that are specific to another party are  
14 vulnerable in renegotiation -- the other party can  
15 extract some or all of the value of the investments.  
16 The value of specific assets -- those specific to a  
17 relationship with another party -- are vulnerable to  
18 expropriation by that other party because the assets  
19 have low or no value without the other party's  
20 participation."

21 Q. And is this essentially a definition of the  
22 economic concept that you referred to as hold-up?

23 A. It is.

24 Q. You refer in this language that you just read,  
25 you refer to specific investments with specific assets,

1 or I guess in the first line it's investments that are  
2 specific.

3           What do you mean by the concept of specific

1           And so I'm vulnerable to the loss of that --  
2           the loss of the value of that asset.

3           Q.   Let's go now to -- you said you had an example  
4           relating to this.

5           Is this the slide you were referring to?

6           A.   It is.   This is an example of what's -- well,  
7           it's the beginning of an example of a hold-up problem.  
8           This is actually referring to lock-in, which is to say,  
9           once you've made your investment, you're now tied to  
10          something related to the nature of your investment.

11          Q.   First let me identify this as DX-149.

12          There is a picture on this slide of a power  
13          plant and then in the -- just to the right of that a  
14          number of different potential fuel sources are listed.

15          Can you explain how that information relates to  
16          the concept of specific investments?

17          A.   Yes.   Prior to building a power plant, I have  
18          a lot of choices for the nature of the energy source  
19          for my power plant, and so prior to actually making  
20          the investment in the power plant, that is, prior to  
21          starting construction, I have a lot of available



1 I've built a power plant, I'm locked into one form of  
2 energy or one source, so I'm now -- I now have an  
3 investment which is at least specific to the energy  
4 source. A coal-fired plant is going to not be able to  
5 use solar power.

6 Q. So let's pause here for a moment and identify  
7 this next slide as DX-150.

8 And following up on what you just said, am I  
9 correct that in DX-150 what you're depicting is that  
10 the power plant, hypothetical power plant in this  
11 example, has made a choice of what type of fuel that it  
12 will design the plant to use?

13 A. That's correct.

14 Q. And that choice of one of among various  
15 alternative fuel sources, is that a form of specific  
16 investment?

17 A. Yes. The investment would be specific to the  
18 coal-powered fuel source, and so they are now, having  
19 built the power plant, they're now locked in. If the  
20 price of coal goes up, they will be unable to shift to  
21 solar power, because even at a substantial hike in the  
22 price of coal, it won't pay to try to use solar power.  
23 It won't even be feasible much less profitable.

24 Q. And by that you mean that once the plant has  
25 been designed to use coal, it's difficult, potentially

1       costly to try to redesign the plant to use some  
2       alternative fuel source?

3           A.   Yes.   That's correct.

4           I might add as a practical matter, they have  
5       built plants to burn, say, oil and natural gas.  
6       Typically a coal-fired plant would not shift to any  
7       other fuel, but there are plants that can substitute  
8       between oil and natural gas, and that's actually an  
9       advantage to those plants, is that flexibility.

10          Q.   Do you have an understanding, to refer to that,  
11       do you have an understanding as to what economic  
12       factors influence decisions of that sort to use two  
13       alternative sources in the fuel plant or power plant?

14          A.   Yes.   That provides them flexibility in the  
15       face of changing prices.   When the price of natural  
16       gas goes up, as it did a couple of years ago, goes up  
17       dramatically, the plants that were able to shift to  
18       oil actually had much lower energy costs than the  
19       plants that were locked in and could only burn natural  
20       gas.

21          Q.   Is there more to this example, of the power  
22       plant example, in your slides?

23          A.   There is.

24          Now having locked the power plant into coal,  
25       we're going to ask where in the country it should be

1 built.

2 Q. And let's go to the next slide. This will be  
3 DX-151.

4 And can you explain, Professor McAfee, what  
5 you're depicting through this slide?

6 A. I should say this is the classic economic  
7 example of specific investments. Normally a coal plant  
8 wouldn't consider where to locate in the entire  
9 United States. It might try to decide where to locate  
10 in Illinois or in a smaller geographic region.

11 But what this slide is intended to illustrate  
12 is that there may be multiple mines and you can decide  
13 where to locate your plant and you might want to locate  
14 your plant near an inexpensive source of coal. And  
15 since transportation costs are important in the price  
16 of coal, locating near an inexpensive source of coal is  
17 a way of saving on transportation costs and lowering  
18 the total price of the coal.

19 Q. Is there, in this example, is there an economic  
20 basis upon which the power plant builder would likely  
21 choose among alternative locations?

22 A. Yes. That's illustrated in the next slide. It  
23 would look at how much does coal cost and it would  
24 typically want to locate near an inexpensive source of  
25 coal, in this case mine number 1 whose price is \$10 a

1 ton.

2 Q. So other things equal, other considerations  
3 aside, economics, basic economics, would tell you that  
4 the preference, in referring to this slide which is  
5 now -- will be DX-152, that the power plant will choose



1 assumptions about whether contracts existed or when  
2 contracts were signed between the power plant and the  
3 coal mine?

4 A. Well, in this slide it doesn't say one way or  
5 the other whether there's a contract. It just says the  
6 power plant located next to the mine.

7 Q. Well, then let's go to DX-154, the next slide,  
8 and here you say in the heading of the slide that the  
9 power plant signs the contract after building. What is  
10 the significance of that?

11 A. Once the power plant has sunk hundreds of  
12 millions or even half a billion dollars into building  
13 the power plant, its willingness to absorb a price  
14 increase is enhanced. Essentially you can think of  
15 it's going to sell electricity for whatever it can sell  
16 electricity for.

17 Once it's spent hundreds of millions of  
18 dollars on the plant, an increase in the price of coal  
19 by \$10 a ton isn't enough economically to put it out  
20 of business. It may render the original decision to  
21 build the power plant unprofitable, but it won't  
22 actually cause the plant to shut down; that is, the  
23 plant will still cover its variable costs, it just  
24 won't be able to pay the debt associated with its  
25 investment.

1           And in this case it's -- this is what's known  
2 as hold-up. Once the power plant has made its  
3 investment, the rational move of the coal mine is to  
4 actually increase the price.

5           Q. And is this what you meant in the quote from  
6 your book that we looked at earlier about the potential  
7 for specific investments to make parties vulnerable to  
8 expropriation?

9           A. Yes. This would be the expropriation of the  
10 power plant's specific investment.

11          Q. And it's the fact that the power plant made the  
12 investment before entering into a contract with the  
13 coal producer that made it vulnerable to the  
14 investment?

15          A. That's correct.

16          Q. And does economic theory suggest anything in  
17 terms of how parties in this type of situation can  
18 avoid or might be able to avoid this type of  
19 expropriation?

20          A. Yes. One method of avoiding expropriation,  
21 which is shown on the next slide, is to contract in  
22 advance or do what's called ex ante contracting,

1 threaten the coal mine with -- the mine number 1 with  
2 the alternative of building elsewhere. Once it's  
3 built, it's now locked in to its geographical location  
4 and that threat is now empty.

5 And so by contracting prior to the building of  
6 the mine, the power plant contracts when it still has a  
7 great deal of bargaining power.

8 Q. Are these concepts that we've been discussing,  
9 specific investment, lock-in, hold-up, the manners of  
10 avoiding hold-up, are these concepts that are addressed  
11 in the economic literature?

12 A. Yes. These are very important and central  
13 concepts to industrial organization. And I've prepared  
14 a slide with a few references, a few of the more  
15 important references in that literature.

16 Q. Let's go to that. This would be DX-155.

17 I don't want to ask you to summarize the  
18 detailed contents of these various articles or books  
19 that you are referring to here, but generally speaking,  
20 do you have something to say about these or other  
21 portions of the economic literature relating to  
22 hold-up?

23 A. Yes. The first paper represents one of the  
24 most popular economic theories of vertical integration,  
25 and I think it's fair to say that in both the Grossman



1 and Hart and the Williamson book and actually other  
2 works of Oliver Williamson, they have subsumed the  
3 entire economic theory of organizations and of  
4 corporations to the question of hold-up. That is to  
5 say, hold-up is central to the understanding of how  
6 firms are organized.

7 MR. ROYALL: Now, before we go any further, I  
8 just want to make sure that we have identified the  
9 right demonstrative exhibit numbers.

10 I believe that this exhibit that we now have on  
11 the screen, the economic literature on hold-up, would  
12 be DX-156.

13 MR. STONE: You skipped the earlier one  
14 entitled Avoiding Hold-Up before which was DX-155.

15 MR. ROYALL: The avoiding --

16 MR. STONE: The Avoiding Hold-Up should be  
17 DX-155.

18 MR. ROYALL: Thank you. So the prior slide  
19 Avoiding Hold-Up will be DX-155.

20 BY MR. ROYALL:

21 Q. Professor McAfee, have you, as part of your  
22 work on this matter, part of your economic analysis,  
23 considered whether the hold-up problem that you have  
24 described has application in the context of  
25 standard-setting?

1           A. I have.

2           Q. And what, if anything, have you concluded in  
3 that regard?

4           A. I've prepared a slide which sets out the broad  
5 conclusions.

6           Q. Let's go to that.

7                   Is this the slide you're referring to?

8           A. It is.

9           Q. This would be DX-157.

10                   And can you explain what you're seeking to  
11 convey through this slide?

12           A. This slide lists the most important factors for  
13 the risk -- associated with the risk of hold-up for a  
14 standard-setting organization. And in particular -- so  
15 these are actually common from the hold-up literature  
16 itself.

17                   The size of the specific investments matters;  
18 so that is to say, how big are the investments in the  
19 standard will matter.

20                   How costly it is to change the standard, that  
21 corresponds to how hard is it -- in going back to the  
22 previous example, it would correspond to how hard is it  
23 to move the power plant once it's been built.

24                   The importance of intellectual property would  
25 be the risk of hold-up associated with intellectual

1 property, and the more important is intellectual  
2 property, the more at risk the standard would be at  
3 being held up by intellectual property.

4 And finally, the ease of reaching agreement  
5 would have a bearing again on the cost of changing the  
6 standard. That would be another factor on how hard it  
7 would be to get out from under intellectual property  
8 that whose purpose was to hold up the standard.

9 Q. And are these factors that the economic  
10 literature suggest have bearing on whether a hold-up is  
11 likely to be a problem in any given industry?

12 A. Yes. These would be -- well, other than the  
13 importance of IP, since normally hold-up is coming  
14 through other means besides intellectual property,  
15 these would be the standard analysis of risk of hold-up  
16 in any industry.

17 Q. And have you as part of your economic analysis  
18 reached conclusions as to whether these factors are  
19 present in the DRAM industry?

20 A. Yes, I have.

21 Q. And have you reached a conclusion as to whether  
22 the existence or presence of these factors in the DRAM  
23 industry creates a risk of hold-up?

24 A. I find that it does.

25 Q. And you in your example earlier, the coal mine



1 three different levels of advanced contracting that one  
2 might imagine not necessarily JEDEC but any  
3 standard-setting organization adopting.

4 You could imagine them just requiring  
5 disclosure, requiring licensing, and requiring searches  
6 to establish the disclosure was actually full.

7 Q. Let me ask you briefly about each.

8 How would, in the context of a  
9 standard-setting organization, how would requiring IP  
10 disclosure or disclosure commitments mitigate the risk  
11 of hold-up?

12 A. It would help ensure that if intellectual  
13 property was included in the standard, it was done so  
14 in a conscious and deliberate manner.

15 Q. What about the next point, IP licensing  
16 commitments?

17 Well, before I ask you about that, let me ask  
18 you to define a term. In the second of the three  
19 subbullets you use the term "RAND," R-A-N-D. What are  
20 you referring to by that?

21 A. That's reasonable and nondiscriminatory  
22 contracting. And it's a restriction on the kind of  
23 licenses that can be offered.

24 Q. Now, how can IP licensing commitments or the  
25 source of RAND or reasonable and nondiscriminatory

1 licensing commitments that you've described, how can  
2 that mitigate the risk of hold-up in the context of a  
3 standard-setting organization?

4 A. Well, let me give a more extreme example.

5 If the licensing commitment was for free  
6 licensing, that would completely eliminate the risk  
7 because it would say any participant agreed to give  
8 their IP away and not charge for it, so there's no  
9 mechanism by which hold-up would occur.

10 RAND is a less severe, substantially less  
11 severe requirement for licensing, and so it's not going  
12 to eliminate the risk of hold-up, but it might mitigate  
13 or reduce the risk of hold-up.

14 Q. And finally, the last subbullet refers to IP  
15 searches. How is that concept something that relates  
16 to the potential for mitigating the risk of hold-up in  
17 the standard-setting context?

18 A. So in addition to disclosure requirements, you  
19 could have a standard-setting body actually search for  
20 intellectual property or have a requirement for the  
21 members to search for intellectual property, and that  
22 would be a way of providing more -- identifying more  
23 potential intellectual property and hence reducing the  
24 likelihood that the standard is held up.

25 I should say that numbers 1 and 3 on this --

1 they're not numbered, but the items 1 and 3 on this  
2 list, both of those refer to ensuring that the  
3 standard-setting organization has better information  
4 and makes deliberate choices and is then not held up  
5 after the fact by making inadvertent choices that  
6 embody intellectual property.

7 Q. And is the existence of information or  
8 wholesome information in any way important to mitigate  
9 the risk of hold-up?

10 A. Yes. The better the information, the better  
11 the choices that will be made, as a general economic  
12 matter.

13 Q. Now, you explained earlier that it has been  
14 important to you in conducting your economic analysis  
15 to gain an understanding about and to make assumptions  
16 about how JEDEC's process works.

17 Have you gained an understanding or made any  
18 assumptions about how JEDEC's process works with  
19 respect to any of these issues that are listed in  
20 DX-158, including IP disclosure, licensing commitments  
21 or intellectual property searches?

22 A. I have. My understanding -- and again, this is  
23 an assumption more than a conclusion -- is that there  
24 are both disclosure requirements and disclosure  
25 commitments and RAND licensing commitments expected of

1 JEDEC members.

2 Q. Let's go to the next slide, which will be  
3 DX-159.

4 Does this slide, DX-159, set forth your  
5 understanding and assumptions or certain assumptions  
6 relating to the manner in which IP disclosure is dealt  
7 with in the context of JEDEC?

8 A. Yes, it does. These are assumptions that I've  
9 made on IP disclosure for JEDEC.

10 Q. And before we go through the assumptions, aCi9hnd before w  
1 3justtingndeteions tdifferentlylideyYes,was impet anttour  
1 4ure rth yetheomicdinalysittingmake and assumpti relatour  
1 5ing tittge maalsursueEDEC?

16 8 Wellioonetextugh impet anttursuesioonetextmytour  
1 7lisntextimpet anttursuesioinvolvedideeughroo ynotYes,our  
18 9 matdifferencsliRambus' theduct9 matdifferencsliour  
1 9ing ixtughbeforbefnoi quirements4ure IP disclo, Iiour  
2 10don' neee4lowough theduct9could have9 maour  
2T#0differencsEDEC.

22 4 Q. soions t--4soith4particular Yes, f ytieDEC.

2 3rolewith iat,with iat firstan But an Iisaid,oughse Ci9hnd before w2  
Waldorf, Maryling've



1 clear on what assumptions you are making.

2 Referring to the first bullet point, which  
3 states "preference to avoid patents," what assumption  
4 are you making relating to that and how is that  
5 important to your economic analysis?

6 A. So I'm assuming that JEDEC has a preference for  
7 avoiding patents, which I understand to be an  
8 expression of the hold-up problem; that is to say, a  
9 patent creates a risk of hold-up and a preference to  
10 avoid patents would be a natural consequence of the  
11 threat of hold-up.

12 Q. Referring to the second bullet, early  
13 disclosure/good faith, what do you mean by that and how  
14 is that important to your economic analysis?

15 A. Well, early disclosure is important also in  
16 avoiding hold-up because it gives the committee, the  
17 JEDEC committee, a better chance to avoid hold-up. The  
18 earlier they know, the better their decisions will tend  
19 to be.

20 So that's actually an economic statement. The  
21 disclosure requirement that goes along with that  
22 economic statement is one for early disclosure and one  
23 for full disclosure.

24 Q. What about good faith? What do you mean by  
25 that and how is that relevant to your economic

1 analysis?

2 A. That's in essence a -- actually let me back up  
3 and say I don't actually see any evidence -- I see  
4 contrary evidence that JEDEC requires searches; that is  
5 to say, there have been witnesses who have said JEDEC  
6 does not require searches.

7 So in the absence --

8 JUDGE McGUIRE: Mr. Stone?

9 MR. STONE: Oh, I didn't mean to interrupt. I  
10 will wait.

11 BY MR. ROYALL:

12 Q. If you could complete your answer.

13 A. In the absence of a requirement for searches,  
14 it would help in avoiding hold-up to have a requirement  
15 of providing as much information as you actually have  
16 access to.

17 And so that's the -- that's my understanding as  
18 to good-faith requirement, that is, to not try to  
19 change the outcome of the process by manipulating it.

20 Q. And let's go then to the next, to the third  
21 bullet point, where you say, "Disclosure applies to  
22 patents/patent applications relevant to JEDEC  
23 standards/work."

24 What do you mean by that language and how is  
25 that important to your economic analysis?

1           A. So this is stating what must be -- what I  
2 understand to be required, and the form of disclosure  
3 is intellectual property that might ultimately permit  
4 hold-up.

5           That is to say, what's -- so the only thing  
6 that can be held up are the actual standards, and so it  
7 would be intellectual property relevant to the  
8 standards and it would include both patents and patent  
9 applications as either one ultimately permits hold-up.

10           Hold-up is obviously something that happens in  
11 the future, not immediately, and so patent  
12 applications, because they tend to lead to issued  
13 patents, give scope for hold-up.

14           Q. Going to the next point, you've already defined  
15 what you mean by the term "RAND." You make two points  
16 in the fourth bullet point. Let me take them  
17 separately.

18           The first one is you say "mandatory for JEDEC."  
19 What do you mean by that?

20           A. That is to say, if JEDEC is aware of  
21 intellectual property, it's not supposed to incorporate  
22 that intellectual property into a standard absent a  
23 guarantee from the intellectual property owner of a  
24 reasonable and nondiscriminatory licensing.

25           Q. And that's an assumption that you're making as

1 to how JEDEC's process works?

2 A. That's correct.

3 Q. And what do you mean by the latter part of that  
4 same bullet point where you refer to the "voluntary for

1 analysis?

2 A. My understanding of the JEDEC rules is that

3 they prohibit -- and again, this is an assumption --

calologylid8need6ndoricalalalit's 72eperty, absenl0rohib, 7- valid ed. 72eperty

1 specific investments, and below that you have a check  
2 mark and the word "substantial." What do you mean by  
3 that?

4 A. Just that specific investments, that is,  
5 investments that are specific to particular standards,  
6 are quite large. You have a large number of companies  
7 who are making substantial investments in the specific  
8 technology and hence the size of specific investments  
9 is in the hundreds of millions of dollars, is a very  
10 large number.

11 Q. And all of these points you're making here are  
12 with reference to the DRAM industry specifically; is  
13 that correct?

14 A. That's correct. This is a threat to the DRAM  
15 investment from hold-up of the standard-setting  
16 process.

17 Q. The next bullet is "cost of changing standards"  
18 and below that you refer to switching costs. What do  
19 you mean by that?

20 A. This is just the cost of changing the standards  
21 is quite substantial in the sense that a large number  
22 of components all have to be changed, redesigned.  
23 There are testing costs, qualification costs, a large  
24 variety of costs, some of which we talked about this  
25 morning, to changing the standards. So those costs

1       tend to be substantial.

2               MR. STONE: Your Honor, could we just be clear  
3 we're still on the assumptions or understanding of this  
4 witness, not -- he's not testifying now to factual  
5 conclusions that he's drawn?

6               MR. ROYALL: I would like to clarify that.

7               I am asking Professor McAfee in the context of  
8 this slide about conclusions that he has drawn on  
9 economic issues predicated on assumptions about facts.  
10 I'm not asking about assumptions here.

11              MR. STONE: Your Honor, then I think this is an  
12 issue on which, if these are his conclusions, then he  
13 hasn't established that he has a foundation to draw  
14 these conclusions and these conclusions are outside his  
15 area of expertise.

16              MR. ROYALL: Well --

17              MR. STONE: I think these can be assumptions.  
18 I think these could be assumptions for his conclusions  
19 as an economist, but I think saying that this is the  
20 cost of changing a standard, so in other words  
21 purporting to actually have knowledge of the cost of  
22 changing from one standard to another, is something I  
23 don't think he has a foundation to testify to.

24              I had understood this -- and I apologize for  
25 not trying to clarify it sooner -- that this was simply

1 a summary of the assumptions that he had testified to  
2 earlier. If in fact he's drawing a conclusion, then I  
3 don't think there's been a foundation laid that he has  
4 a basis on which to draw this, other than the  
5 assumptions. And if this is simply a summary of the  
6 assumptions, the factual assumptions he made earlier,  
7 then I don't have an objection.

8 JUDGE McGUIRE: All right. Mr. Royall?

9 MR. ROYALL: I think we're not really in  
10 disagreement here, that -- I think if by re-asking the  
11 question I can --

12 JUDGE McGUIRE: All right. Good.

13 MR. STONE: Thank you.

14 BY MR. ROYALL:

15 Q. Relating to this slide, which I think we've  
16 previously identified as DX-160, what are you seeking  
17 to convey through this slide?

18 A. So I'm certainly not seeking to convey that I'm  
19 the factual witness on the cost of changing the  
20 technology. Rather, in trying to understand whether  
21 the DRAM industry is subject to hold-up, I identified  
22 the economic factors that were important, and in this  
23 slide I have actually summarized facts that have  
24 bearing on those -- on that economic analysis.

25 So when I say "substantial," it's a fact



1 question about whether it's substantial and it's an  
2 economic question about whether that matters to the  
3 threat of hold-up, in particular, the size of specific  
4 investments.

5           So my role as an economist I would say is to  
6 list the factors with the blue squares and the  
7 conclusion is drawn when added -- when the facts are  
8 added.

9           Q. Well, and the conclusion that you're seeking to  
10 convey here, if I'm not mistaken, is the conclusion d.

1 court were to find that those -- the size was  
2 substantial, the switching costs were high, the IP  
3 importance was high and the ease of reaching agreement  
4 was difficult and time-consuming, as he will explain  
5 what he means by those terms, then as long as the  
6 fact-finding is something that's left to the court and  
7 he's only saying "Given these factors, if the facts are  
8 found that way, and I'm assuming they are, then you  
9 should draw this conclusion," then I really have no  
10 quibble with what he said, and I thought that's what I  
11 just heard him say and I --

12 JUDGE McGUIRE: Even if that's not quite what

1           JUDGE McGUIRE: I just want to make sure the  
2 two of you are on the same page, and if that will save  
3 some time on cross, let's clear it up.

4           MR. STONE: And I think Mr. Royall later may go  
5 into this, and I'm not saying anything now that  
6 prevents him from doing it later.

7           When I did say a moment ago -- I know we're all  
8 being so careful with our words -- when I said I have  
9 no quibble with that, what I meant was I have no  
10 quibble with this witness' expertise to express  
11 opinions as to the four economic factors, not that I  
12 agree with his opinions, just so I don't get misquoted  
13 later.

14          MR. ROYALL: And I think, Your Honor, I think  
15 certainly for purposes of this slide, I think we have  
16 an understanding that I'm eliciting what factual  
17 assumptions he has made relating to these points that  
18 bear on his economic conclusion that hold-up is a  
19 problem in this industry, and there will be later  
20 issues that we'll get into where I think we may need to  
21 parse these assumption and conclusion issues --

22          JUDGE McGUIRE: And the court understands that  
23 distinction at this point.

24          BY MR. ROYALL:

25          Q. So then, Professor McAfee, I don't want to

1 belabor this or spend too much more time on this  
2 particular slide, but I think you've explained what  
3 you're seeking to convey through this slide.

4           Can I -- would it be fair to say that the  
5 bottom line in terms of what you're seeking to convey  
6 through this slide is that based on the understanding  
7 that you have about these factors in the DRAM industry  
8 that you have concluded that the hold-up problem is,  
9 from an economic standpoint, is a problem that arises  
10 in the context of the DRAM industry?

11           A. Yes, I have.

12           Q. Let's move on to something else then.

13           Let me ask you, from the standpoint of  
14 economics or economic theory, does it matter within the  
15 standard-setting context whether IP disclosure occurs  
16 early or late in the process?

17           A. Generally it matters a lot.

18           Q. And if I could ask you to explain why from the  
19 standpoint of economic theory it does matter a lot  
20 whether IP disclosure occurs early or late in the  
21 process.

22           A. I have actually prepared a series of slides  
23 that will address that point.

24           Q. Let's go to the first one of those, which we  
25 will mark as DX-161.

1           Can you explain what you're seeking to convey  
2 through this slide?

3           A. Yes. This slide shows three possible  
4 technologies all as candidates for standardization or  
5 for incorporation into standardization and illustrates  
6 the standard-setting process with a funnel, which will  
7 be the motif that will be followed through the  
8 remainder of the slides.

9           And this is actually an action slide, is it  
10 not?

11           So this is actually just introducing the  
12 funnel.

13           Q. Well, let's pause for a moment and just  
14 identify -- you said that there's some motifs that are  
15 represented here that are reflected in later slides.  
16 Let's make sure we identify what you're seeking to  
17 convey.

18           Let's start with the funnel. What is it  
19 precisely that you're seeking to convey through  
20 depicting the standard-setting process as a funnel?

21           A. The standard-setting process tends to narrow  
22 the choices as choices are made, and so this is using a  
23 funnel to depict that process in the sense that only  
24 one of the candidate technologies will be selected.

25           Q. And what are you seeking to depict through the

1 three blue arrows pointing into the funnel?

2 A. Those are candidate technologies which might be  
3 used for standardization.

4 And if I could give a specific example, think  
5 about battery size. This could be the size of the  
6 battery, it could be the voltage of the battery, it  
7 could be any of the specifics of a battery.

8 Q. So we're clear on that, by that are you  
9 suggesting that if the standard-setting process that we  
10 were focusing on was, let's assume, a process through  
11 which the battery industry were setting standards about  
12 the voltage of batteries, then what you would be  
13 depicting through the three arrows would be alternative  
14 proposals as to what voltage should be identified as  
15 the industry standard?

16 A. That's correct.

17 Q. Now, I understand you do have a series of  
18 slides here. Let's go to the next, which we will mark  
19 as DX-162.

1 candidate technologies.

2 For example, feature 1 has candidates A, B and  
3 C.

4 And the standard-setting process requires  
5 selecting each of a technology or a choice for each of  
6 the features.

7 And so in the battery example, the choices are  
8 being narrowed to two each. In the battery example,  
9 the choices might be both voltage, length, diameter of  
10 the battery, would represent three different selection  
11 choices.

12 Q. And as you were speaking, the -- this is an  
13 animated slide -- three of the arrows dropped down and  
14 changed colors in the process to white.

15 What are you seeking to depict through that  
16 animation?

17 A. There, the choices have been narrowed, so for  
18 example, with feature 1, there's been a consensus that  
19 A or B is a better choice than feature 3 and so that --  
20 excuse me -- than feature C, and so for feature 1,  
21 choice C has dropped out of the running and we're now  
22 down to the choices of A or B; that is, there are two  
23 choices left. And similarly for features 2 and 3.

24 Q. So keeping with the example here that you're  
25 illustrating, certain alternative proposals for these

1 certain -- for these features have been dropped out or  
2 rejected in the process, and the standardization body  
3 is still considering for each of the features  
4 identified -- at least in this case they're considering  
5 two alternatives still for each of those features; is  
6 that --

7 A. That's correct.

8 Q. -- right?

9 Now, is there further animation on this slide?  
10 Let's do that.

11 Can you explain in the animation that just  
12 occurred in the movement of three of the arrows what  
13 you're seeking to depict?

14 A. The selection process now has selected  
15 feature B for -- excuse me -- technology B for  
16 feature 1, technology F for feature 2, and technology G  
17 for feature 3. That is, the standard-setting funnel  
18 has actually picked one of the three technologies for  
19 each feature.

20 Q. And are these, these technologies, B, F and G,  
21 which went through the first series of funnels, have  
22 those, in this example, have those features become a  
23 standard yet or is there still something more that has  
24 to happen?

25 A. Not yet. They've been selected as the leading



1 feature, but at this point the standard-setting process  
2 has not produced the final standard.

3 Q. And in this -- in this view of DX-162, what  
4 are you seeking to depict as to alternatives A, E and  
5 I?

6 A. Well, they have not dropped out yet, so the  
7 process of dropping out is for them to fall to the  
8 bottom and they are not selected, they're not the  
9 leading candidate, but they're still there.

10 Q. Let's then go to the next level.

11 We just witnessed a further animation of  
12 DX-162. What were you seeking to depict through that?

13 A. Well, at this point features B, F and G have  
14 been incorporated into the final standard and that  
15 standard is now set, and so at that point the remaining  
16 candidates have now fallen aside.

17 Q. And by showing the remaining arrows falling to  
18 the bottom and changing color, are you again seeking to  
19 depict those alternatives were rejected in this  
20 particular standard-setting process?

21 A. That's correct.

22 Q. Do you have another slide that relates to  
23 that --

24 A. Yes, I do.

25 Q. -- example?

1           Let's go to that.

2           This -- is this the beginning slide?

3           A. So that wasn't quite what I expected to  
4 happen.

5           Q. I'm not sure that --

6           A. So but --

7           Q. Let's first identify this. This is DX-163.

8           And is this -- do we have the initial view of  
9 this slide up?

10          A. There they are.

11          Q. Now I believe we have the initial view in this  
12 slide and this again is animated.

13                 What are you seeking to convey through the  
14 initial view of DX-163?

15          A. Well, this is a reprise of the earlier slide  
16 with three candidate technologies, although it's also  
17 added the process has moved on some in that there are  
18 some rejected technologies lying at the bottom, which  
19 are actually labeled D, E and F, but I can't actually  
20 read that on the screen.

21          Q. Is there another view of this slide?

22                 Okay. And in that animation that just occurred  
23 which leaves only the C arrow at the top, what were you  
24 seeking to depict?

25          A. So technologies A and B in this case have not

1     been selected, C has been selected and it will move  
2     through the standard-setting process.

3           Q.   Let's see that view.

4           A.   And become part of the standard.

5                    At that point the value of C tends to rise,  
6     which is illustrated by this green -- the appearance of  
7     this green dollar sign down below, because C now is  
8     incorporated in the standard.  And the value is going  
9     to rise only insofar as that standard becomes  
10    successful, but it's going to -- that's going to tend  
11    to rise because of its incorporation in the standard.

12           Q.   And that concept, the concept that an  
13    alternative selected through a standard-setting process  
14    and embodied in the standard, that that alternative  
15    increases in value as a result of standardization, is  
16    that something for which there is some economic  
17    underpinning or rationale?

18           A.   Absolutely.  In fact, I suspect that every  
19    economics article on standardization has the statement  
20    that standardization confers value or may confer value.  
21    Certainly that's in most of them if not all.  That's a  
22    common economic conclusion.

23                    And the source of it is actually quite simple  
24    to explain.  It's just that the standardization,  
25    because it becomes a popular product through

1 standardization or insofar as it becomes a popular  
2 product through standardization, that increases the  
3 value of the components of the standard.

4 Q. And is that the basic point you're seeking to  
5 convey through this slide, DX-163, the economic concept  
6 that standardization confers value?

7 A. It is.

8 Q. Let's go to the next slide. This will be  
9 DX-164.

10 Can you explain what you're seeking to convey  
11 through this slide?

12 A. Well, this slide has added another component.  
13 The standard-setting process is still represented by a  
14 funnel as in the previous slides, but what this  
15 standard -- what this slide adds to that is over time,  
16 as the standard is rolled out, that is, as the  
17 standard is adopted and the industry uses it, so  
18 over -- time is on the bottom axis -- over time as the  
19 standard is rolled out, the value of the standard tends  
20 to rise.

21 So you'll see the dollar signs indicating the  
22 value associated with the standard or with control of  
23 the standard, and as plants are designed, as compatible  
24 feature or compatible products are introduced, because  
25 as -- as manufacturing arises, all of that is going to

1       tend to increase the popularity and the importance of  
2       the standard and create an enhanced value for each of  
3       the features in the standard.

4           Q.   There are four smaller green arrows in the  
5       left-hand side of this exhibit, DX-164.  What are you  
6       seeking to convey through those arrows?

7           A.   Those are the -- they convey the things that  
8       develop over time or the items that develop over time  
9       that tend to be industry commitments to that standard.

10           So this is compatible parts, plants being  
11       designed, investments in interoperability and finally  
12       manufacturing of the products.  All of these things are  
13       specific investments to the standard.

14           Q.   Does this slide have anything to do with the  
15       term "lock-in" that you've used earlier?

16           A.   It does.  The specific -- as I said earlier,  
17       specific investments create lock-in, and these are the  
18       specific investments, which then lead to the industry  
19       being locked into the standard and it's locked into the  
20       extent to which it's made investments specific to the  
21       standard.

22           Q.   And you used the terms in this slide, DX-164,  
23       you used the terms "ex ante" and "ex post."  Can you  
24       explain what you mean by use of those terms?

25           A.   Yes.  As you can see in this slide, time is

1 actually a continuum, and in fact that's the best  
2 economic model of the phenomenon. But generally, early  
3 in the process, what's known as the ex ante period,  
4 there has been little or no investment or a small  
5 amount of investment in the standard. The industry is  
6 not very locked into the standard and it's made few  
7 specific investments.

8 Over time and at some point that I'm referring  
9 to as ex post, the size of those investments has grown,  
10 and the more time that goes by, it tends to be the  
11 larger the specific investments to that standard  
12 itself.

13 Q. You'll recall that we started discussing these  
14 slides when I asked you about the economic implications  
15 of early versus late disclosure of intellectual  
16 property in the context of a standard-setting  
17 organization.

18 Does this slide bear on that issue?

19 A. It does.

20 Q. And how does it bear on that issue?

21 A. Early -- in the left -- and this is actually  
22 illustrated beginning with the following slide.

23 Q. Let's go to the next slide. This will be  
24 DX-165.

25 A. Early in the process, prior to the specific --

1 Q. Okay. We have this up. Is this the slide  
2 you're referring to?

3 A. It is.

4 Q. And this relates to what you have to say about  
5 early disclosure of IP in the standard-setting  
6 process?

7 A. Yes. Early in the process, so indicated with  
8 the red flag early on, early in the process or in the  
9 ex ante period, there have been few investments, so  
10 that's to the left, few specific investments, and the  
11 industry is not -- has very little exposure in the  
12 form of specific investments or locked in to this  
13 particular standard. And so early in the process,  
14 disclosure permits the industry to revise the standard  
15 if needed.

16 Q. And can early disclosure of IP, depending on  
17 the factual circumstances, alter the outcome of a  
18 standard-setting process?

19 A. Yes. That's correct. And I've illustrated  
20 that with another slide that involves scales.

21 Q. Let's go to that. This is DX-166.

22 Can you explain to us what you're seeking to  
23 convey through this slide?

24 A. Yes. In this slide there are two technologies  
25 A and C that are being considered for incorporation

1 into a standard. The assumption is that the red  
2 technology C has intellectual property attached to it  
3 and it is the winner absent patent disclosure; that is  
4 to say, it is the selected technology.

5           Once the disclosure occurs, that is, once it's  
6 found out that C has intellectual property attached to  
7 it and A does not, as a method of avoiding hold-up and  
8 risks, the industry chooses or the standard-setting  
9 organization chooses technology A, and so that is A is  
10 the selected technology with disclosure.

11           Q. Now, through this slide are you meaning to  
12 suggest that anytime that intellectual property is  
13 disclosed within a standard-setting organization that  
14 it will in fact alter the balance of considerations  
15 causing one alternative to be chosen over another?

16           A. No, I'm not. And in fact, if the technology C  
17 was sufficiently superior to the technology A and at  
18 least in the JEDEC case if it came with a RAND  
19 assurance, then in fact it might be selected in spite  
20 of having intellectual property, and of course there  
21 are standards that embody intellectual property.

22           Q. So the point that you make --

23           MR. STONE: Your Honor, I rise only to make  
24 clear that his statement about JEDEC is simply a  
25 statement of his assumptions again, not that he's



1       testifying to the state of mind of JEDEC members or  
2       other areas covered by the in limine.

3               MR. ROYALL: I'm happy to make that clear, that  
4       we do not intend to elicit nor do I believe that  
5       Professor McAfee intends to testify as to any issues  
6       relating to the state of mind of JEDEC members.

7               JUDGE McGUIRE: Noted.

8               MR. STONE: Thank you, Your Honor.

9               BY MR. ROYALL:

10              Q. Before we leave this slide, just to make it  
11       clear, all you're seeking to depict through this slide  
12       is that early disclosure of intellectual property in  
13       the context of a standard-setting process can alter the  
14       outcome of the process; is that a fair statement?

15              A. That is a fair statement.

16              Q. From the standpoint of economic theory, is  
17       there any preferred time for IP disclosures or  
18       intellectual property disclosures to be made in a  
19       standard-setting process?

20              A. Yes. As I believe I testified earlier, the  
21       earlier actually any economic agent, not just a  
22       standard-setting organization, has access to  
23       information the better. Decisions with early  
24       information is good, but the earlier the information,  
25       the better.

1 Q. And do you have a slide relating to that?

2 A. I do.

3 Q. Let's go to the next slide. This will be  
4 DX-167.

5 Can you explain, Professor McAfee, what you are  
6 seeking to depict through this slide?

7 A. Well, in the context of several of -- of a  
8 series of slides, this slide is going to illustrate  
9 very early revelation of relevant information, so that  
10 is to say before the decision is made, and it's  
11 illustrated in this way by having a red flag before  
12 the funnel, that is, early in the process. And on  
13 the -- towards the left of the process. And that's  
14 going to cause the standard that has intellectual  
15 property attached to it, in this case C, not to be  
16 selected.

17 And here what's happened now is that A has been  
18 selected.

19 Q. When disclosure of intellectual property occurs  
20 early in the standard-setting process, does that give  
21 rise to opportunities within the process that would not  
22 exist or might not exist if the disclosure occurs  
23 later?

24 A. That's correct. That allows for a deliberation  
25 that invo gQ. When disclosure of intellectual property occurs

1 Q. And do you have a slide that seeks to depict  
2 that concept?

3 A. Yes.

4 Q. Let's go to the next slide. This is DX-168.

5 And could I ask you, Professor McAfee, to  
6 explain what you're seeking to depict through this  
7 slide.

8 A. This slide depicts a disclosure that occurs  
9 middle way through the process, that is, after the --  
10 after some amount of deliberation has already occurred.  
11 And what happens in this slide is that first the  
12 technology C is the leader, then the disclosure occurs,  
13 but because it's not -- it's still in the midst of the  
14 process, technology A will then go on to win the -- to  
15 be selected.

16 Q. And you refer in the title to this slide to the  
17 term "work-around." What are you referring to by that  
18 term?

19 A. Yeah. So let me say that I've actually assumed  
20 with JEDEC that the process takes time and effort on  
21 the part of the participants, that is to say -- this is  
22 a factual assumption on my part -- that when proposals  
23 are made, they actually go back to their labs and  
24 examine how that proposal affects them.

25 And having made that assumption, the later in

1 the process, the more effort that's been devoted to  
2 candidate technologies without full information, but if  
3 it's not too late in the process, there's still time to  
4 actually investigate alternatives, and that's what this  
5 refers to as a work-around option.

6 Q. And when we saw the animation earlier of this  
7 slide, DX-168, as alternative A went through the  
8 funnel, I believe the balance at the bottom of the  
9 slide shifted with A dropping down and C moving up.

10 What are you seeking to convey through that?

11 A. Well, this is a reflection of the earlier slide  
12 in which the revelation of intellectual property  
13 shifted the balance from technology C to technology A,  
14 and as I said, that's -- can happen. It need not be  
15 the outcome of the -- in that case.

16 Q. So we've talked now about, in terms of economic  
17 theory and this hypothetical context, the benefits of  
18 early disclosure.

19 What, if anything, does economic theory suggest  
20 about the consequences of late disclosure of  
21 intellectual property in a standard-setting process?

22 A. Well, I've prepared a slide on this.

23 Late disclosure which I'll refer to as ex post  
24 disclosure after the investments are made exposes an  
25 industry to hold-up.

1           And so in this case, late disclosure after  
2   complementary products have been developed and  
3   investments made in plant and equipment exposes the  
4   industry to classic hold-up as we've discussed.

5           And you see that depicted in this diagram by  
6   the increasing size of the dollar signs. Those are the  
7   values of the technology.

8           And I should say the value of the technology  
9   that's depicted there is meant to represent the value  
10  that's been conferred by the standardization itself.  
11  The technology of course may have additional value in

1 that the standard-setting process chose as its  
2 standard, when it learns that that technology or that  
3 alternative is subject to a patent that it, the  
4 industry, has already invested substantial specific  
5 investments relating to that standard?

6 A. Yes. That is the -- that is what I mean by  
7 "lock-in. Specific investments in the plant and  
8 equipment, complementary goods and other investments  
9 that are specific to that technology.

10 Q. And in that situation, understanding that  
11 you're discussing these issues in a hypothetical  
12 context, but in that situation, when it occurs, what,  
13 if anything, does economic theory tell you about  
14 whether the industry can go back and resurrect  
15 alternatives A and B which were rejected in the initial  
16 standard-setting process?

17 A. Well, generally the industry has suffered or  
18 experienced lock-in to that standard and the size of  
19 the lock-in is measured by the size of those specific  
20 investments. So the industry might be able to go back  
21 to technologies A and B, but not without losing the  
22 specific investments.

23 Q. And you've talked earlier about hold-up and  
24 about the potential to be vulnerable to expropriation.

25 Does that condition in the context of this

1 hypothetical, does that condition exist in what you're  
2 depicting here?

3 A. Yes, it does. It's a consequence of hold-up.  
4 The problem of hold-up is the vulnerability to  
5 expropriation and the size of the vulnerability is the  
6 size of the specific investments that have been made.

7 Q. And in the example that you depict in DX-170,  
8 specifically whom is vulnerable to expropriation?

9 A. Well, the users of the technology, which would  
10 be all those who have made specific investments in the  
11 technology, would be the ones vulnerable to the  
12 expropriation of the size of the specific investments.

13 Q. And what is the nature of the expropriation  
14 that they're vulnerable to?

15 A. It's charging royalties that are beyond the  
16 ex ante value of the technology but are conditioned on  
17 the specific investments that have been made.

18 Q. And when you say that they're vulnerable to  
19 expropriation by being forced to pay royalties that  
20 exceed the ex ante value of the technology, precisely  
21 what do you mean by "the ex ante value of the  
22 technology"?

23 A. The ex ante value is the amount that the  
24 industry participants would have been willing to pay to  
25 use C over its best alternative, which ex ante were

1 technologies A and B in this example. And ex post, the  
2 value is that same value over the technologies A and C  
3 plus the entire specific investment that's been made in  
4 the technology -- into the standard.

5 Q. And just to follow up on that last answer, when  
6 you said that the ex ante value is the value that the  
7 participants would have been willing to pay for C over  
8 its best alternatives, by that do you mean the value  
9 that the participants would have been willing to pay  
10 for C if the participants had known at the time of the  
11 standard-setting process that that technology was  
12 subject to patents?

13 A. That's correct.

14 MR. ROYALL: Your Honor, this would be a  
15 convenient point for me to take a afternoon break. I  
16 don't know if others are ready for a break.

17 JUDGE McGUIRE: I think we're all ready for a  
18 break. Let's take a ten-minute break.

19 MR. ROYALL: Thank you.

20 (Recess)

21 JUDGE McGUIRE: You may proceed, Mr. Royall.

22 MR. ROYALL: Thank you, Your Honor.

23 BY MR. ROYALL:

24 Q. Professor McAfee, you'll recall that earlier  
25 today you identified for us five what you've termed



1 key economic questions relating to your assignment in  
2 this case of an economic analysis that you've  
3 conducted.

4 And the first one of those was the question:  
5 What are the relevant antitrust markets in this case?

6 And I'd like to turn to that issue now.

7 Let me ask you as a starting point, can you  
T ofe you ~~exp~~plain to us precisely what a relevant market is or

1           What do you mean by that?

2           A. This is the normal starting point for really  
3 any antitrust or investigation, economic investigation  
4 of an antitrust matter. It's in the Department of  
5 Justice and the Federal Trade Commission Merger  
6 Guidelines. It's the beginning point of most if not  
7 all antitrust economic inquiries.

8           Q. You talked earlier about matters that you've  
9 worked on as a consultant, other than this matter, as a  
10 consultant to the Federal Trade Commission, such as the  
11 Exxon-Mobil merger, the BP-ARCO merger.

12           In those matters, did your economic analysis  
13 involve definition of relevant markets?

14           A. Yes. And in both matters relevant market was  
15 required.

16           Q. And without going into identifying the specific  
17 matter, but in the other consulting, private consulting  
18 matters or litigation-related matters that you've been  
19 involved in in the antitrust area, have you typically  
20 started your economic analysis with the definition of  
21 relevant markets?

22           A. Yes. That would be the normal starting point  
23 and I've even been -- dealt with matters in which I was  
24 defining technology matters that began with market  
25 definition.

1 Q. We'll come to that in more detail, but you did  
2 mention earlier today that the markets that you've  
3 defined are technology markets --

4 A. That's correct.

5 Q. -- is that right?

6 And what other matter or matters other than  
7 this case have you been involved in in which you've  
8 defined relevant technology markets?

9 A. There was a relevant technology market in the  
10 BP-ARCO merger concerning oil exploration technology.

11 In addition, I worked on the Lockheed-Northrop  
12 merger, which in the end was not consummated, and in  
13 that case all of the markets that were involved were  
14 technology markets.

15 Q. Are there contexts in which an economist is  
16 able to render opinions or conclusions about such  
17 things as market power and anticompetitive effects  
18 without defining a relevant market?

19 A. There are such contexts.

20 Q. Can you think of an example?

21 A. In some cases you can actually observe the  
22 exercise of market power directly and you're not in a  
23 position where you need to infer the exercise or  
24 conclude the existence of market power but in fact can  
25 see the effects of market power directly.

1           But this is not one of those cases.

2           Q.   You say that this is not a case in which -- if  
3 I'm understanding you correctly, you as an economist  
4 are able to render opinions and conclusions about  
5 market power and anticompetitive effects without  
6 defining a market; is that your --

7           A.   That is correct.

8           Q.   -- point you're making?

9           A.   Yes.

10          Q.   And why in this case is it necessary in your  
11 view, if that's the point you're making, to define a  
12 relevant market before you can render conclusions,  
13 economic conclusions about market power and  
14 anticompetitive effects?

15          A.   Well, the nature of exclusionary conduct is the  
16 elimination from the marketplace or the threat of  
17 elimination from the marketplace of equal or superior  
18 competitors.  If you haven't identified the market, you  
19 aren't in a position to say whether alternatives have  
20 been excluded or not.

21          Q.   Is there any well-accepted methodology among  
22 economists for defining relevant markets in antitrust  
23 cases?

24          A.   Yes.  And I have prepared a slide illustrating  
25 that methodology.

1 Q. This slide I believe will be DX-172.

2 Let me ask if you could to explain -- start  
3 with the first point -- explain what you mean here when  
4 you say that the analysis starts with market  
5 hypothesis.

6 A. This is an approach which is iterative in  
7 nature. That is, it starts out with a market  
8 hypothesis and then seeks to say is that -- and tests  
9 whether that hypothesis actually constitutes or  
10 comprises a market, and if not, it adds products or  
11 technologies to the market and then goes back and  
12 tests again is this a market, and so in that sense  
13 it's a self-referential or a looping definition that  
14 works like a computer program in some sense as a  
15 method.

16 And so it starts with a market hypothesis,  
17 which you would -- your natural starting point is  
18 whatever the relevant product or products -- the  
19 product or products that are relevant to the issue at  
20 hand, so in a merger, it tends to be products that are  
21 produced by both firms.

22 In this case it's the challenged technologies  
23 that I start with.

24 Q. And when you say that typically the market  
25 definition process starts with the product or products

1 at hand, does that relate to your second bullet point?

2 A. That's correct. You tailor the initial  
3 hypothesis to the antitrust issues under consideration,  
4 so as I said, in a merger, it would be the relevant --  
5 the products that are an overlap of the two companies.

6 In this case it's the technologies that are  
7 relevant in the alleged -- about which the alleged  
8 conduct concerns.

9 Q. What do you mean by the third bullet point on  
10 DX-172, assume hypothetical monopolist?

11 A. The goal here is to identify products that  
12 don't have serious constraining alternatives, so to  
13 identify products or in our case technologies which  
14 lack price-constraining alternatives.

15 And the approach is to say, well, suppose I  
16 controlled all of the technologies in the market, would  
17 I be constrained by products outside the market, would  
18 I feel that is a major constraint or would I actually  
19 enjoy a substantial monopoly power.

20 And so the approach, which is taken both by the  
21 Federal Trade Commission and the Department of Justice  
22 guidelines, is to assume a hypothetical monopolist who  
23 controls those products and say does that monopolist  
24 have serious price-constraining alternatives or can  
25 they exercise monopoly power on the products that are

1 in the market.

2 And the basic logic is, if it's no use to be a  
3 monopolist over a set of products, then that set of  
4 products is not a market. There are other products  
5 that are relevant to that market and must be included.

6 And so that's the sort of underlying logic of  
7 the market definition.

8 And this is a method of identifying -- if we go  
9 to the fourth bullet, identifying the competitive  
10 constraints on that marketplace.

11 Q. And did you say that the methodology for  
12 defining markets that you've just described is  
13 reflected in Department of Justice and Federal Trade  
14 Commission guidelines?

15 A. Yes. That's correct. These guidelines have  
16 evolved over the years, but they continue to have the  
17 hypothetical monopolist market definition logic.

18 Q. And when you were working as an economist at  
19 the Department of Justice in the Antitrust Division,  
20 did you apply those same guidelines that you're  
21 referring to in defining markets?

22 A. Yes, I did. Or to be exactly accurate, I  
23 helped others in that, in the sense that I never did it  
24 alone at that time.

25 Q. And in the antitrust-related matters, unrelated

1 to this case, but in the other antitrust-related  
2 matters in which you've served as a consultant to the  
3 Federal Trade Commission, did you, in defining markets  
4 in those matters, follow these same FTC/Department of  
5 Justice guidelines that you referred to?

6 A. Yes, I did.

7 Q. Do you have a slide that graphically depicts  
8 or illustrates the process of defining a relevant  
9 market?

10 A. I do.

11 Q. Let's go to that. This will be DX-173.

12 A. And so as I indicated before, one starts with a  
13 relevant product or products, and in this case the  
14 product we'll start with is C.

15 Q. And what are you depicting here with the other  
16 letters other than C?

17 A. These are other candidates for inclusion in the  
18 marketplace. These are other -- if we're talking about  
19 technologies, these would be other technologies which  
20 are potential substitutes for the technology C.

21 Q. I think this again is an animated slide. Let's  
22 go to the next view.

23 A. And so here we are starting with the  
24 technology C and asking the question: Does C comprise  
25 a market in its own?



1 Q. Well, let's stop there.

2 Does this relate to what you mentioned earlier,  
3 in the context of the earlier slide, that the market  
4 definition process is tailored to the antitrust issues  
5 or the products that are presented?

6 A. Yes. That's correct. So if the issue involves  
7 technology C, one would start with technology C as a  
8 candidate market, candidate market.

9 Q. And then let's go to the next view of DX-173.

10 A. So if C does not comprise a market, that is, a  
11 monopoly over C faces significant price constraints  
12 and would face significant substitution from  
13 alternative technologies, the next step is to include  
14 the closest substitutes into the market, that is, the  
15 technologies which are the most price-constraining for  
16 technology C.

17 In that case those technologies are A, B and D,  
18 and so those are incorporated into the marketplace.  
19 And then we go back to the beginning of the market  
20 definition and say do the technologies A, B, C and D  
21 together comprise a market; that is, if we had a  
22 monopoly over those technologies, would we face  
23 significant price constraints from outside or would we  
24 actually be able to profitably charge a higher price.

25 Q. And in asking that question, are you in essence

1 asking whether the products that you've now defined in  
2 your provisional market, A, B, C and D, whether those  
3 products face material price competition with the  
4 products that are outside of the circle?

5 A. That's correct.

6 Q. And let's go to the next view.

7 We've just seen another view of this same  
8 slide. What are you depicting here?

9 A. So what's depicted here are that A, B, C and D  
10 face significant price constraints from technologies E,  
11 F and G outside of the market, and so those  
12 technologies have been added in as -- into the  
13 marketplace now to give A through G as the set of  
14 technologies in the marketplace.

15 And in this case, in this example, technology H  
16 is not going to be a significant price constraint, and  
17 so the process stops there. That is to say, A through  
18 G, if a hypothetical monopolist controlled all  
19 technologies A through G, they would not face  
20 significant price constraints from technology H and  
21 would be able to substantially increase the price and  
22 enjoy the benefits of monopoly pricing.

23 Q. And in that case would you stop at this point  
24 and define the relevant economic market or relevant  
25 antitrust market to consist of all of the products

1 depicted here except H?

2 A. Yes. That's correct. So technologies A  
3 through G would be the technologies.

4 And I should also say there's a principle  
5 called the smallest market principle. The goal is to  
6 stop with the fewest number of market members. And  
7 the purpose of that is not to include spurious  
8 candidates but just include the minimum number of  
9 technologies or products that are required to reach  
10 market status.

11 Q. And what you've depicted in this, in these  
12 slides, is this an attempt to illustrate the same  
13 market definition process that you just described being  
14 contained within the FTC/Department of Justice  
15 guidelines?

16 A. It is.

17 Q. Now, in conducting this type of economic market  
18 definition analysis, what information would you need as  
19 an economist to make judgments about whether the  
20 various alternative products that you're considering do  
21 in fact impose material price constraints on one  
22 another?

23 A. Well, I need information about substitution by  
24 the buyers or selectors of the technology; that is, the  
25 information I need -- and this would be parallel to in

1 any market definition -- is I need information about  
2 what buyers will substitute to.

3 So when I'm defining gasoline markets and  
4 markets for retail gasoline, what I need to know is  
5 when the price goes up at one station or a set of  
6 stations, how far will consumers drive and how much  
7 substitution is there to more distant but less  
8 expensive stations. And so I need information on the  
9 choices that consumers make in that marketplace.

10 Q. In performing this type of market definition  
11 analysis, would it be helpful to you as an economist to  
12 have historical data relating to relevant changes in  
13 price, actual changes in price that have occurred in  
14 the marketplace that you're studying?

15 A. Absolutely.

16 Q. And why would that type of data be helpful to  
17 you?

18 A. Well, as I indicated, what's important is  
19 actually substitution by buyers, so that is to say an  
20 alternative is price-constraining if, when you try to  
21 raise the price of the products in the marketplace,  
22 the buyers substitute in a meaningful way, in a  
23 significant way, to a product outside of the  
24 marketplace.

25 If you can directly witness that substitution

1 through historical data is of course a major advantage  
2 in identifying which products are in the marketplace  
3 and which products are not.

4 Q. Is that type of historical pricing data  
5 generally available to you as an economist in instances  
6 in which you're seeking to define relevant antitrust  
7 markets?

8 A. Well, sometimes it is and sometimes it isn't.  
9 It's certainly not always available and in some cases  
10 it is available.

11 Q. Are there some industries of which that type of  
12 historical pricing data tends to be more readily  
13 available than in other industries?

14 A. Well, physical products that are traded  
15 frequently will often have more of a history of data  
16 than in this case, which involves technology markets  
17 where you don't see frequent trades or even any trades  
18 in some cases.

19 Q. How do you go about defining relevant markets  
20 in industries in which you do not have historical  
21 pricing data relating to actual sales or transactions?

22 A. Well, the general economic approach is to  
23 nonetheless try to understand buyer substitution and so  
24 to try to understand the buyers.

25 And when I worked on the technology markets for

1 the Department of Defense, my procedure was actually to

1           A. Yes. That's true for both the Exxon-Mobil and  
2 for the BP-ARCO mergers, for example.

3           Q. And you told us earlier today about various  
4 interviews that you've conducted in relation to your  
5 work in this case and the general types of people that  
6 you interviewed.

7                   Was your purpose for conducting those  
8 interviews, was that at all in relation to the market  
9 definition aspect of your work?

10          A. It was a critical input to the market  
11 definition, in particular to understand the  
12 substitution by the buyers in terms of technology  
13 choice.

14          Q. And when you use the term "buyers" in the  
15 context of the markets that you've defined in this  
16 case, who specifically are you referring to?

17          A. Well, the buyers are the firms that select  
18 technologies. The importance of JEDEC, as we already  
19 discussed, in the standard-setting process -- now,  
20 JEDEC is not a monopoly in the standard-setting  
21 process, but the importance of JEDEC means that the

1 are then driven by their customers, and so all of the  
2 market participants are in some sense the buyers of the  
3 technology.

4 Q. Now, you've mentioned several times that the  
5 markets that you've defined in this case, the relevant  
6 markets, relevant antitrust markets, are technology  
7 markets.

8 What do you mean -- to be clear, what do you  
9 mean by the term "technology market"?

10 A. So technology markets are markets for ideas or  
11 inventions, markets for discovery, markets for  
12 technology-related products, where technology is itself  
13 a product.

14 I have actually a slide concerning technology  
15 markets.

16 Q. This slide I believe will be DX-174.

17 Does the market definition methodology that you  
18 described earlier, does that methodology apply in the  
19 case of technology markets as opposed to physical  
20 product markets?

21 A. Sure. It's -- actually the concept or the  
22 logic of it is no different than in physical products,  
23 and that's recognized by the Department of Justice  
24 intellectual property guidelines. I think it's  
25 well-accepted in economic analysis.



1 Q. And you say in the third bullet point in this  
2 slide, DX-174, you state, "Data on price/sales may be  
3 more limited."

4 What do you mean by that?

5 A. There are many technology markets, but one sees  
6 few trades.

7 For example, in the Department of Defense  
8 technology markets you would see at most one trade, the  
9 ones that I worked on, and so often the sales data is  
10 just not available. You don't have -- it's not like  
11 gasoline where you see millions of transactions. In  
12 fact, it's kind of the opposite. You see very few  
13 transactions and so you often -- with technology  
14 markets you're often in a situation where you have  
15 little data, direct data, on pricing.

16 Q. And related to your earlier testimony, does  
17 that suggest that in technology markets you're more  
18 often in the situation as an economist defining markets  
19 in which you need to seek to gain information directly  
20 from relevant purchasers through interviews or other  
21 sources?

22 A. Yes. That's correct.

23 Q. You've mentioned in the second to last bullet  
24 on DX-174, you say, "Geographic scope is generally  
25 worldwide."

1                   What do you mean by that?

2           A.  Users of technology generally don't care about  
3 the source of their technology.  They don't -- they  
4 care about the quality of the technology, they care  
5 about the price of the technology, but they don't care  
6 if it comes from the United States or Japan.

7                   And so the effect of that is that technologies  
8 tend to compete worldwide, which is really just another

1 referring back to the previous slide with the circles  
2 on it, the A through H, that is, the technologies that  
3 would be candidates for inclusion in one of the  
4 technology markets.

5 And I did that by looking at what experts said  
6 about technical feasibility; so that is to say, I  
7 relied on others to identify whether technologies in a  
8 sense could do the job, that is to say, were they  
9 feasible for the issue at hand.

10 Q. And do you have a slide relating to that?

11 A. I do.

12 Q. Let's go to the next slide. This is DX-175.

13 Let me ask you first of all to define for us  
14 what you mean by the term "technical feasibility."

15 A. So the technology markets -- let me remind you  
16 that we start with the technology that's one of the  
17 relevant technologies, so we're starting with the  
18 technology, so technical -- the technologies that are  
19 technically feasible are technologies that have some  
20 related performance to the technology at hand and can  
21 actually be carried out.

22 Now, it's somewhat of a challenge in this case,  
23 it's fortunately not my challenge, but it's somewhat of  
24 a challenge in this case because my understanding --  
25 and again, this is an assumption rather than a

1 conclusion -- my understanding is that all of these  
2 technologies had problems to be solved in order to  
3 implement them; that is to say, none of them worked in  
4 a sense right out of the box, they all took work to  
5 implement or to use.

6 And in that sense, what's technically feasible  
7 when you haven't actually solved all of the problems  
8 associated with the technology is going to be a  
9 challenge. But it's not my challenge; it's something  
10 on which I rely on the testimony of others.

11 Q. Are you a technical expert?

12 A. No.

13 Q. Are you an engineer?

14 A. I'm not.

15 Q. Are you intending through your testimony to  
16 offer your own opinions or conclusions about technical  
17 issues relating to DRAM designs or the benefits from a  
18 technical standpoint of any given DRAM design?

19 A. I am not.

20 Q. You say that you've relied on others with  
21 regard to such technical issues; is that correct?

22 A. That's correct.

23 Q. Who have you relied on in that regard?

1 deposition. Professor Bruce Jacob -- is it Jacob or  
2 Jacobs?

3 JUDGE McGUIRE: Jacob.

4 MR. ROYALL: Jacob.

5 THE WITNESS: And discussions that I've had  
6 with engineers.

7 BY MR. ROYALL:

8 Q. And to be clear, what have you relied on these  
9 various technical sources for?

10 A. For a -- well, for a description -- in this  
11 case what this slide refers to is for -- it's the  
12 conclusion in the universe of technologies that are  
13 potential candidates for market inclusion.

14 So again, to refer back to the circle diagram,  
15 it's A through H, all of the things that are going to  
16 be considered as potential candidates.

17 Q. And we don't need to pull it up, but by that  
18 are you saying that by determining what technologies  
19 are technically feasible for a given DRAM design  
20 purpose you are essentially defining the universe of  
21 the various options from which you will then assess  
22 through economic means whether various options should  
23 be included in the same relevant market?

24 A. That's correct.

25 Q. So since you are not yourself a technical

1 expert and you are not offering conclusions about  
2 technical feasibility, once you have determined through  
3 others and through relying on others which technologies  
4 are technically feasible, what then do you do from the  
5 standpoint of economics to make judgments about  
6 relevant markets?

7 A. Well, the next step in the process -- and  
8 there's a slide to this effect -- is to examine which  
9 of those technologies are price-constraining on the  
10 technology at issue.

11 So that is to say which of the technologies are  
12 commercially viable, which are the ones that in the  
13 event of a price increase associated with the  
14 technology in question would have been adopted or were  
15 adoptable, were preferred over a significant price  
16 increase of a technology in question.

17 Q. Let's identify this new slide, the slide on the  
18 screen now with the title Commercial Viability, let's  
19 identify that as DX-176.

20 Relating to the text of this slide, let me ask  
21 you first of all to define for us what you mean by the  
22 term "commercial viability."

23 A. Well, this is -- what I mean by this is just  
24 the technology exercises a constraint on the pricing of  
25 a technology in question.

1           So that is, when we did the hypothetical market  
2 experiment, we asked, well, if you controlled these  
3 technologies, would you face serious price constraints  
4 from an attempt on -- an attempt to increase the price.  
5 If you do, then we had to include those technologies.  
6 The ones that exercise such a price constraint or  
7 constrain the prices of our hypothetical monopolist are  
8 the commercially viable technologies.

9           And so what I mean by that are the technologies  
10 which would have an impact on the buyers or would be  
11 substitutes for the buyers.

12           Q. What do you mean here in DX-176 by the second  
13 bullet point, which states "parallel to the SSNIP" --  
14 S-S-N-I-P -- "test for markets with no price data"?

15           A. So the SSNIP test comes directly from the  
16 Federal Trade Commission and Department of Justice  
17 Merger Guidelines. It hypothesizes a small but  
18 significant and nontransitory increase in price.

19           So that is, take the products in the  
20 marketplace, increase the price that is charged for  
21 them by a small, not too large amount, but still  
22 nonetheless significant -- and significant is in the  
23 eyes of the market participants; that's the meaning of  
24 it -- and nontransitory. That is, you don't do it for  
25 a week, but you do it for weeks. The price increase

1 has to survive.

2 And you increase the price, and if you get  
3 substitution away significant enough that the  
4 hypothetical monopolist would not like to increase the  
5 price, then in that case you have not found a market  
6 and must add products.

7 And so that's parallel in the sense that the  
8 commercially viable technologies are exactly those that  
9 don't survive the SSNIP -- that would be included or  
10 would be price-constraining under a SSNIP test.

11 Q. And so are you saying that the analysis that  
12 you've conducted to define markets involving the  
13 identification of which technologies are, economically  
14 speaking, commercially viable, that that methodology is  
15 in your view parallel to the SSNIP test reflected in  
16 the FTC/DOJ guidelines?

17 A. That's correct.

18 Q. And when you say here "for markets with no  
19 price data," what do you mean by that?

20 A. Well, you would like to carry out the SSNIP  
21 test generally by actually asking how substitution  
22 would occur. Here, we don't have historical data on  
23 substitution, so the approach that I'm taking is then  
24 to examine whether the market participants view these  
25 technologies as being price-constraining alternatives



1 or being good substitutes.

2 And so it's like a SSNIP test, but it's being  
3 applied in a technology market without historical price  
4 data.

5 Q. Below the reference to the SSNIP test you have  
6 three subbullets. Let me ask you about those.

7 What do you mean by the first point,  
8 well-informed market participants treat as good  
9 substitutes?

10 A. A technology is going to constrain an existing  
11 technology, that is, a second technology will constrain  
12 the first technology in price and hence be commercially  
13 viable if the buyers of the technology would  
14 substitute, and so in this case what I'm looking for is  
15 evidence that well-informed market participants view  
16 these technologies as good substitutes. And if they  
17 do, that would be evidence that they are  
18 price-constraining alternatives. If they don't, that  
19 would be evidence that they aren't price-constraining  
20 alternatives.

21 Q. And again, was this -- did this have something  
22 to do with your purpose in conducting the interviews  
23 that you've conducted?

24 A. It did. This is part of the investigation of  
25 the facts which I'm using as evidence for market

1 definition conclusions.

2 Q. And to the extent that you have gathered  
3 information about whether well-informed market  
4 participants treat certain alternatives, technology  
5 alternatives, as good substitutes, are you relying  
6 solely on interviews that you've conducted or is there  
7 some other source of information that you've relied on  
8 for this purpose?

9 A. Well, as this slide suggests, that  
10 consideration of JEDEC -- and it's not just any  
11 consideration, but serious consideration -- is also  
12 suggestive that the buyers of the technology, in this  
13 case the market participants, viewed those technologies  
14 as significant substitutes and hence price-constraining  
15 substitutes.

16 Q. And what do you mean by the last point here,  
17 qualitative judgments of knowledgeable engineers?

18 A. So engineers today have knowledge -- of course  
19 unfortunately over time the base of knowledge that they  
20 have is changed, it's improved, but it also means that  
21 it's hard to go back and say as of 1992 were these  
22 price-constraining disputes, but the judgments of the  
23 engineers are certainly informative about whether  
24 technologies are substitutes. And if in the view of  
25 knowledgeable engineers they're substitutes, then that

1 makes them substitutes.

2 Q. Does this issue of commercial viability have  
3 any connection to the JEDEC standardization process or  
4 your understanding of that process?

5 A. Yes, it does. And I've prepared a slide that  
6 lists some of the considerations that are relevant.

7 Q. Let's go to that. This will be DX-178.

8 Oh, I'm sorry. 177.

9 Before I ask you about the various points that  
10 you list in DX-177, let me ask you, first of all, what  
11 are you seeking to convey through this slide?

12 A. This slide is listing considerations which are  
13 relevant to the evaluation of the technology as  
14 commercially viable, that is to say, as a price  
15 constraint on one of the relevant technologies.

16 So these are listing the kinds of  
17 considerations that would inform such a judgment.

18 Q. Let me ask you what you mean by the first  
19 point, which refers to time to market.

20 A. Well, I spoke earlier about satisficing  
21 behavior. Now, that as an assumption on JEDEC's -- as  
22 an assumption -- well, the term "satisficing" is an  
23 economic term, but its application to JEDEC would be an  
24 assumption.

25 And that arose out of the time-to-market

1 issues, and what that meant was or what that entails  
2 is that for commercial viability is that several  
3 products can easily be commercially viable in that  
4 they aren't trying to make it perfect. They're trying  
5 to get a workable product that everybody or most of  
6 the companies can manufacture and that the buyers can  
7 use in their installations in a rapid and expedient  
8 manner.

9           And given that assumption, what that does is  
10 make products with similar performance essentially  
11 equal.

12           Q. And how is that relevant to your consideration  
13 of whether various technology alternatives are  
14 commercially viable?

15           A. Well, so it -- in a process that took an  
16 extremely long period of time, it could be that two  
17 technologies which were barely distinguishable but one  
18 was slightly better in performance were not in the same  
19 market because the market participants would choose the  
20 superior technology.

21           In this case the decision-making under  
22 satisficing behavior would actually make -- would  
23 render such technologies equal.

24           Q. Let's go to the second bullet on DX-177, which  
25 refers to IP/royalties. What are you referring to

1 there and how does that relate to the process that you  
2 conducted in analyzing issues of commercial viability?

3 A. Well, again, I'm assuming that JEDEC has a  
4 preference to not adopt intellectual property; that is  
5 to say, that's a factual question. But given that  
6 assumption, it has implications for commercial  
7 viability because it says an intellectual property is  
8 actually -- you can think of it as hobbling a  
9 technology; that is, it makes it less likely to be  
10 selected. And that's not to say that it will never be  
11 selected but, rather, to say that it's less likely to  
12 be selected.

13 So that has the effect of making other  
14 technologies, that is, technologies other than the one  
15 with intellectual property, more likely to be  
16 commercially viable.

17 Q. The third bullet refers to the cost of the  
18 solution to DRAM manufacturers and others. Can you  
19 explain how that relates to your views on commercial  
20 viability?

21 A. Yes. If I can, I'll take that bullet and the  
22 subsequent bullet in the same answer.

23 The industry generally, that is, both the  
24 buyers and the sellers care both about the cost of  
25 manufacture and the performance. And I should say just

1 as a matter of basic economics, buyers care about costs  
2 because costs tend to get passed on to buyers; that is,  
3 buyers ultimately bear the cost.

4 So both the buyers and sellers care about both  
5 the cost and performance, and in fact the general  
6 economic model is that the goal of the organce, se costs tend to  
3 buyerl coias tend to get pas7 be thet doesn' isctualcare costsw  
511 the cost aAin foodel iat going3ar e coststoodemmercists tend to ge

1 their technical ability. That would actually be a fact  
2 assumption that there are differences. Although it's a  
3 normal fact assumption for economic analysts.

4 And the effect of that is going to make  
5 differences among members in terms of what kind of  
6 technologies are preferred by them in their preferences  
7 and there will be some disagreements and you can think  
8 of those as strategic considerations.

9 And I believe we already spoke about the  
10 graphics card manufacturers preferring relatively

1     implications of the technology are. All of them had  
2     problems to be solved.

3             And that's important for the understanding of  
4     commercial viability because, again, what it says is at  
5     the time that the technologies are selected, not all  
6     the facts are known. There is still substantial  
7     uncertainty attached with each of the technologies that  
8     were considered. And only in the technology that was  
9     actually exploited are those uncertainties all  
10    resolved.

11            That is to say, at the time that you make the  
12    determination, the time that the standard-setting  
13    organization makes the determination, they don't know  
14    all of the problems that have to be solved, and in fact  
15    it may be the case -- again, this is a fact question --  
16    different manufacturers solve those problems in  
17    distinct ways.

18            The effect of this, though, from a JEDEC  
19    perspective or from a buyer substitution perspective is  
20    that all of the technologies have uncertainty and hence  
21    that tends to blur the distinctions of the  
22    technologies.

23            And I guess the -- so a short way of  
24    summarizing what I'm assuming in that bullet is that  
25    the cost and benefits of these technologies are not



1 known with precision, and as a result it will not  
2 generally be the case that necessarily the best  
3 technology is selected but, rather, the technology  
4 that's workable.

5 Q. And how does that bear on the economic  
6 judgments that you have made as to whether a given  
7 alternative technology is or is not commercially  
8 viable?

9 A. Well, the presence of uncertainty tends to blur  
10 the distinctions between the technologies and again  
11 would make more technologies commercially viable or  
12 make it more likely that a technology was commercially  
13 viable.

14 Q. Do you have an understanding as to how -- you  
15 mentioned in this slide both cost and performance. But  
16 do you have an understanding as to how cost and  
17 performance issues were dealt with within JEDEC's  
18 standardization process?

19 A. Yes. And I've prepared a slide that summarizes  
20 some of the issues that we've -- the fact issues that  
21 we've already discussed.

22 Q. Let's go to that slide. So this would be  
23 DX-178.

24 Can you explain what you are seeking to convey  
25 through this slide?

1           A. Well, this is listing some of the -- it's  
2 listing actually two -- well, three separate points.

3           First, we've already talked about the different  
4 preferences both on cost and performance, and so I  
5 won't belabor that.

6           A different -- a distinct point, a distinct  
7 economic analysis point is the value of a technology  
8 may depend on the deployment of subsequent  
9 infrastructure. And there's a nice example of that.  
10 This would of course be a fact, but there's a nice  
11 example of that that's been given in the trial  
12 testimony, which is that AMD has engineered its  
13 processors to exploit a burst length of 8.

14           Now, it's done that only because a burst length  
15 of 8 was available. So that is to say, once the  
16 technology of programmable burst length which permitted  
17 burst lengths of 4 and 8 was deployed, that's the point  
18 at which it became possible for AMD to specialize its  
19 processors for the burst length of 8.

20           And it's made investments that exploit that  
21 possibility. Those investments would be lost if the  
22 programmable features of the processor were removed.

23           But the point I want to make in this is that  
24 the value of the technology wasn't fully realized until  
25 subsequent investments were made, and so as a result,

1 when you look ex ante, before those investments are  
2 made, that technology has lower value than it does  
3 subsequently.

4 Q. And I think you covered the first three points  
5 either in reference to this slide or earlier slides,  
6 but let me ask you about the last point, costs are  
7 uncertain until DRAM is manufactured commercially.  
8 What do you mean by that?

9 A. So there are always unknowns, and I think I've  
10 already -- well, I've already assumed that, that there  
11 were unknowns, and this is actually just highlighting  
12 that point, that the actual costs of production  
13 generally are not going to be realized.

14 And in fact, it is my understanding that the  
15 companies guard their costs of production as trade  
16 secrets; that is, they try to keep that secret from the  
17 world at large and from their competitors.

18 The costs are uncertain because there are  
19 problems to be solved and there are technologies to be  
20 exploited. And developed. Excuse me. Technologies to  
21 be developed.

22 Q. And how, if at all, does that uncertainty about  
23 cost impact your analysis of questions related to  
24 commercial viability?

1 substantial uncertainty attached to them and the  
2 determination of which -- so that makes the solution  
3 look closer to each other.

4 That's a thumbnail way of summarizing it, but  
5 that the uncertainty about the technologies blurs the  
6 distinctions between the technologies because it could  
7 easily be the case and it could easily prove to be the  
8 case that the technology that looked least promising  
9 wound up being best.

10 Q. Now, I believe that you've explained this point  
11 that in defining the relevant markets that you defined,  
12 ultimately you defined them so as to include the  
13 commercial -- what you've determined, economically  
14 speaking, to be the commercially viable technologies;  
15 is that right?

16 A. That's correct.

17 Q. Now, I think you have a slide relating to that,  
18 but before we go to that, let me ask you on this slide  
19 before we leave it, DX-178, the final bullet or  
20 subbullet that you identified here relates to DDR. You  
21 say "DDR in 1998 versus 2003."

22 Before we leave this slide, can you explain  
23 what you mean by that?

24 A. Well, DDR in 1998, it wasn't clear that DDR was  
25 ever going to work, and in fact -- so to refer -- so

1 this is a fact-intensive discussion.

2 To refer to -- I think it was Mr. MacWilliams  
3 who said that DDR, while it was less negative, it was  
4 still negative, had negative margins even in 1998.  
5 That is, it didn't appear that DDR was going to work to  
6 Intel.

7 So what that's referring to is an example from  
8 the factual record of products that are -- uncertainty  
9 associated with products. It appeared to quite  
10 knowledgeable market participants that DDR wouldn't  
11 work as of 1998, and of course it's available today.

12 Q. So over time that uncertainty was removed and  
13 with full information the market could better assess  
14 the value of the technology; is that the point you're  
15 making?

16 A. That's correct.

17 Q. Now, let's go to the next slide, which I think  
18 will be DX-179.

19 Can you explain what you're depicting through  
20 this slide?

21 A. Yes. This slide depicts two separate points.

22 The first is the determination of the relevant  
23 technology market, and here there are in this example  
24 things have been phrased in terms of cost, so you can  
25 think about this as cost per unit of performance, so a

1 low number is good.

2           There are three technologies A, B and C that  
3 have roughly comparable costs. Those are constraints  
4 on each other in the sense that if I started with  
5 technology A as a candidate market and tried to  
6 increase the price of technology A, the buyers would  
7 substitute to B or substitute to C.

8           And so I don't have a relevant market until  
9 I've included all of A, B and C. Once I include those  
10 three, however, the next best technology, technology D,  
11 is actually noticeably further away or it's  
12 significantly further away, and so it ceases to be --  
13 it's not a serious price constraint on A, B and C and  
14 the price of those could increase significantly.

15           And so it illustrates the definition of the  
16 relevant technology market.

17           In addition, it illustrates the uncertainty by  
18 the fuzziness of the lines, that is, the cost of A is  
19 not -- it's not a clear, sharp amount. It's actually  
20 uncertain.

21           So it's illustrating both of those points  
22 simultaneously.

23           Q. And by the last point that you're making about  
24 the fuzziness of the lines, are you -- by that are you  
25 saying that there is some inherent uncertainty as to

- 1 costs at the time that technologies are assessed for
- 2 standardization process?

1                   And did you in fact in defining relevant  
2                   markets in this case start with any given product or  
3                   products as the starting point for your analysis?



1 A. Yes.

2 Q. The first bullet point, technology used to set  
3 latency on DRAM, by that are you referring to your  
4 understanding of what function this technology,  
5 programmable CAS latency, serves within a DRAM design?

6 A. Yes. That's correct.

7 I'm not testifying as to what programmable CAS  
8 latency is but, rather, taking from other witnesses the  
9 assumption that what that does is set latency and that  
10 there are substitutes for it.

11 I'm also not going to testify as to what the  
12 substitutes are for it; rather, I take those as from  
13 other witnesses who are more skilled than I am.

14 Q. And when you refer to substitutes, by that are  
15 you referring to what you understand from technical  
16 witnesses or technical sources to be technically  
17 feasible alternatives to programmable CAS latency for  
18 the purpose of setting latency on a DRAM?

19 A. That's correct.

20 Q. And the third bullet point here states, "Some  
21 alternatives are commercially viable"?

22 A. Right.

23 Q. Can you explain what you mean by that?

24 A. Well, I should say some alternatives may be  
25 commercially viable, but this is -- the process of

1 market definition is to identify which of these  
2 technically feasible alternatives are commercially  
3 viable.

4 Q. And I think that's the point that you make in  
5 the final bullet point here; is that right?

6 A. That's correct.

7 Q. Let's go to the next slide. This will be  
8 DX-182.

9 Can you explain what you are depicting through  
10 this slide?

11 A. In this case I began with a list of technically  
12 viable alternatives as listed by Professor Jacob.  
13 These correspond to the alternatives that  
14 Professor Jacob identified as alternatives to  
15 programmable CAS latency.

16 Q. And having identified what you understood from  
17 other sources to be the technically feasible  
18 alternatives to programmable CAS latency, having  
19 identified the universe of such technologies, what did  
20 you do then in defining the relevant market?

21 A. Then at that point I tried to assess or set out  
22 to assess the whether these alternatives were in fact  
23 commercially viable given the procedure that we  
24 discussed earlier that was described in the earlier  
25 slide.

1           That is, I examined four facts that would  
2 suggest one way or the other whether these alternatives  
3 were commercially viable.

4           And I should say we haven't talked about time,  
5 but the relevant time here is -- well, the relevant  
6 time is a fact question, but the relevant time that I  
7 used was as of approximately 1992.

8           So that is to say, the question that I set out  
9 to address is whether in the -- whether market  
10 participants considered these and would have  
11 substituted to one of these alternatives in the event  
12 of a significant price increase, a small but  
13 significant price increase, in programmable CAS  
14 latency, that is, were these price-constraining  
15 alternatives for the market participants to  
16 programmable CAS latency.

17           Q. And when you say "1992," by that do you mean  
18 that that is a reference point for your analysis in  
19 terms of a relevant time frame?

20           A. Yes. SDRAM was standardized in 1993, and so  
21 the relevant time for a disclosure would have been  
22 prior to the standard being issued; that is, an  
23 ex ante disclosure would be prior to the standard  
24 being issued.

25           So to identify a relevant market for that

1 purpose I want something -- I want a market at the  
2 moment in time that's relevant, and so roughly 1992.

3 Q. And when you say at the time that's relevant,  
4 by that do you mean the earliest point in the time  
5 period in which you understand from your assumptions  
6 about the allegations or your understanding of the  
7 allegations that when would be the earliest point in  
8 time, roughly speaking, when Rambus allegedly should  
9 have made intellectual property disclosures?

10 A. Well, I don't know --

11 MR. STONE: Objection. Leading, Your Honor.

12 I think at this point he could simply ask him  
13 what you mean when you say the time is relevant as  
14 opposed to telling him and suggesting the answer.

15 JUDGE McGUIRE: Sustained.

16 BY MR. ROYALL:

17 Q. Well, you said in your earlier answer "roughly  
18 1992."

19 When you say "roughly 1992," what do you mean  
20 by that?

21 A. So I wanted a time that's prior to the issuing

1 the sense of whether it's 1991, 1992 or middle 1993.  
2 The analysis was not sensitive to the time to that  
3 level of precision.

4 And so when I say "1992," that's a short form  
5 for during the 1991 to 1993 period.

6 Q. And would information about the technical  
7 feasibility or commercial viability of alternatives for  
8 programmable CAS latency from the time frame of 1996 or  
9 1995, would information of that sort be relevant for  
10 you to consider for purposes of defining relevant  
11 markets?

12 A. Well, it's certainly relevant. The --  
13 generally economists in carrying out market definitions  
14 don't have the perfect information. In fact, it would  
15 be really unusual analysis in which the perfect  
16 information was available.

17 The information in 1995 in terms of a 1993  
18 buyer would be imperfect but nonetheless informative.

19 Q. You've identified I believe a total of six  
20 technologies that you understand from other sources to  
21 be technically viable or technically feasible  
22 alternatives to programmable CAS latency.

23 When you conducted your economic analysis  
24 relating to market definition, did you conclude that  
25 any of these technically feasible alternatives was also

1 commercially viable?

2 A. Yes, I did. And if we can go to the next  
3 slide, I'll illustrate that with fixed CAS latency.

4 Q. Let's identify this first of all as DX-183.

5 Now, this slide relates to one of the  
6 technically feasible alternatives that you identified  
7 on the earlier slide, DX-182; is that right?

8 A. That's correct.

9 Q. And have you reached any conclusion as to  
10 whether this alternative, that is, fixed CAS latency,  
11 was a commercially viable alternative to programmable  
12 CAS latency?

13 A. Yes. I've concluded that fixed CAS latency is ally viable

i 12 ingnins rviews 10 whethe2 Q. i 12 ingnll timon deposi7 8

1                   And this slide sets out a tiny fraction of the  
2    relevant information in making that determination.

1 certainly strong corroboration that a substantial  
2 fraction of the market participants viewed the  
3 technology as -- it's strong corroboration that one  
4 market participant believed that it -- that that  
5 technology was commercially viable and, moreover,  
6 believed that it could persuade others that the  
7 technology was commercially viable.

8           So it's significant evidence if not proof --  
9 but not proof of commercial viability.

10           Q. And below that you have a reference to cost  
11 impact and then a reference to certain trial  
12 testimony.

13           Without -- I'm not asking you to read or  
14 summarize that testimony, but let me ask you from the  
15 standpoint of your economic conclusion about the  
16 commercial viability of this technology, what, if any,  
17 significance do you attribute to the testimony that's  
18 referenced in this slide?

19           A. Well, this testimony is not actually testimony  
20 I had at the time, available to me at the time that I  
21 made the determination. Its presence on the slide is  
22 to be illustrative of the kinds of information on  
23 which I'm relying, and so the purpose here is to  
24 illustrate the factual background that I investigated  
25 in trying to assess the commercial viability of the --



1 of fixed CAS latency as a technology and I think it's  
2 representative of the kinds of information that I've  
3 collected.

4 Q. So I take it from that answer that there's  
5 other evidence that you have considered and relied upon  
6 in reaching the conclusion that fixed CAS latency is a  
7 commercially viable alternative to programmable CAS  
8 latency?

9 A. Absolutely.

10 Q. And I won't, in respect to the judge's rulings  
11 earlier, I won't ask you to summarize that evidence  
12 now. But let's -- well, let's turn to other  
13 alternatives.

14 Have you reached any conclusions as to whether  
15 other technically feasible alternatives to programmable  
16 CAS latency are also, in your view and from the  
17 standpoint of economics, commercially viable?

18 A. Yes. And I have provided similar slides to  
19 that one for three further technologies.

20 Q. Now, this next slide we'll identify as DX-184.

21 A. That's correct.

22 Q. And this slide relates to a technology  
23 identified as programmable by pin strapping; is that  
24 right?

25 A. That's correct.

1           Q. You again refer in this slide, DX-184, to a  
2 JEDEC presentation?

3           A. That's correct.

4           Q. And what, if any, significance do you attribute  
5 to that?

6           A. Well, the presentation is of course much more  
7 recent, and so I would attach less significance to one  
8 that's more recent than I would to an earlier one,  
9 partly because the economics of DRAM manufacture has  
10 evolved over time. But nonetheless, it's suggestive of  
11 serious consideration by Micron in this case as a  
12 technology that is an alternative to programmable CAS  
13 latency.

14          Q. And the bottom half of the slide again refers  
15 to certain trial testimony. Without asking you to  
16 summarize that, let me ask you this.

17                   Is your purpose in identifying that trial  
18 testimony the same as the purpose that you explained  
19 for identifying other trial testimony in the prior  
20 slide?

21          A. Yes. Although I might actually add in this  
22 specific testimony that there is more diversity of  
23 opinion on the cost of pins than there was on the  
24 fixing of CAS latency, and this testimony also has  
25 bearing on that, but that is to say that it depends on

1 the -- it appears to depend on the implementation as to  
2 whether it's commercially viable and this testimony is  
3 suggestive of that.

4 Q. But you have concluded based on all of the  
5 evidence that you've reviewed that this particular  
6 technology is commercially -- is a commercially viable  
7 alternative?

8 A. Yes. And for the same reasons as with fixed  
9 CAS latency. Or in the same method, rather, is what I  
10 meant to say, as fixed CAS latency.

11 Q. In addition to fixed CAS latency and  
12 programmable by pin strapping, are there any other  
13 technologies that through your economic analysis you  
14 have concluded are commercially viable alternatives to  
15 programmable CAS latency?

16 A. Yes. There are two. The next one is  
17 programmable in the read command.

18 Q. Let's go to the next one. This would be  
19 DX-185.

20 And can you summarize the basis for your  
21 conclusion that this technology is a commercially  
22 viable alternative to programmable CAS latency?

23 A. Yes. Again, in parallel to the previous two  
24 technologies, there's a -- he surveyed a large amount  
25 of facts and concluded that this technology appears to

1 be commercially viable, that is, appears to be a  
2 substitute for programmable CAS latency.

3 Q. And when you refer to cost impact on this  
4 slide, DX-185, what are you referring to there?

5 A. Well, this is actually from Professor Jacob who  
6 is discussing the advantages and disadvantages of  
7 programming CAS latency in the read command. It has  
8 some physical advantages. Actually I think it's the  
9 case that you don't eliminate the mode register.  
10 Again, this is a fact. You just reduce this piece of  
11 the mode register. I think that's what happens next in  
12 the trial.

13 But that you -- so it has some advantages in  
14 manufacture and it has some disadvantages in that it  
15 could suffer somewhat on performance, and on balance,  
16 these are approximately canceling.

17 Q. And in concluding that this is a commercially  
18 viable alternative, have you concluded that this  
19 technology would have a price-constraining effect on  
20 programmable CAS latency?

21 A. Yes. That's correct.

22 Q. And is that true of all of the technologies  
23 that you have concluded to be commercially viable  
24 alternatives; that is, in making that conclusion, have  
25 you concluded, based on your investigation and the

1 facts that you've reviewed and your economic analysis,  
2 that those commercially viable alternatives are --  
3 have a price-constraining effect on the technologies  
4 that were the focal point of your relevant market  
5 analysis?

6 A. That's the definition of commercial viability  
7 that I'm using, so they all must be  
8 price-constraining.

9 Q. You mentioned that there was one other  
10 technology that you found, based on your analysis, to  
11 be a commercially viable alternative to programmable  
12 CAS latency. I believe the next slide relates to that.  
13 This will be DX-186.

14 And the technology referred to here is setting  
15 by fuses?

16 A. That's correct.

17 Q. Can you explain the basis for your economic  
18 conclusion that this technology is a commercially  
19 viable or was a commercially viable alternative to  
20 programmable CAS latency?

21 A. Again, it's the same kind of basis as in the  
22 earlier technologies. I've examined a large amount of  
23 evidence bearing on the substitution possibilities of  
24 this technology. Evidence is all in the form of  
25 engineers, analyst reports, JEDEC meetings and the

1 like. And some of that evidence is illustrated by this  
2 slide.

3 Q. Of the technologies that you understood to be  
4 technically feasible alternatives to programmable CAS  
5 latency, did you eliminate any as commercially viable;  
6 that is, did you conclude that any of those technically  
7 feasible alternatives were not commercially viable  
8 alternatives from the standpoint of the time frame that  
9 you were focusing on?

10 A. There's -- I didn't reach -- so the answer to  
11 that question specifically is no, but I didn't reach a  
12 determination on one of the technologies.

13 Q. Let's go to the next slide. This will be  
14 DX-187. I think that this lists the five technologies  
15 here.

16 Is this the same list of the technologies that  
17 you started with as the set of what you understood from  
18 the technical sources that you considered to be  
19 technically feasible alternatives?

20 A. It is the same set, yes.

21 Q. And you said that you did not ultimately  
22 conclude, or to put it differently, you concluded  
23 ultimately that one of these technologies was not a  
24 commercially viable substitute or you could not  
25 conclude that it was a commercially viable substitute

1 for programmable CAS latency?

2 Should I restate that? Are there too many  
3 double negatives?

4 A. I'm happy to answer the question.

5 Q. Let me restate it.

6 Was there any one of these five technologies  
7 that you, based on your analysis, did not conclude to  
8 be commercially viable, a commercially viable  
9 alternative?

10 A. I did not determine that scaling CAS latency  
11 with clock frequency was a commercially viable  
12 alternative primarily because I did not find out  
13 enough information to reach a determination in that  
14 case.

15 Q. And so the others -- this is an animated slide  
16 and there are now red check marks by four of the five  
17 alternatives.

18 Are these the alternatives that based on the  
19 information that you analyzed you concluded to be  
20 commercially viable alternatives to programmable CAS  
21 latency in the time frame that you focused on?

22 A. That's correct.

23 Q. And having determined that these technologies  
24 were commercially viable alternatives, did you then  
25 proceed to define a relevant market?

1           A. Yes. This set -- the relevant market is --  
2 contains those four.

3           Q. And the relevant market that you defined you  
4 termed the latency technology market; is that right?

5           A. That's correct.

6           Q. And to be clear, what you have termed the  
7 latency technology market, does that market consist of  
8 programmable CAS latency and the four technologies that  
9 are checked in DX-187?

10           MR. STONE: Objection.

11           THE WITNESS: That is correct.

12           MR. STONE: Objection. Leading, Your Honor.

13           The proper way is to say "Tell us what the  
14 latency technology market consists of," not to lead him  
15 to the answer.

16           JUDGE McGUIRE: Sustained.

17           Restate, Mr. Royall.

18           MR. ROYALL: That's fine, Your Honor.

19           BY MR. ROYALL:

20           Q. Tell us what technologies you included in the  
21 latency technology market.



1       them in this slide. And so all five technologies are  
2       members of the latency technology market.

3           Q. Now, let's turn to the next of the four  
4       relevant technologies that you identified earlier.  
5       Let's turn to the next technology, programmable burst  
6       length.

7           A. So --

8           Q. Before we go any further, the slide that we're  
9       now looking at I believe is slide 188, or DX-188.

10           And this slide relates to the analysis that you  
11       conducted in defining relevant markets relating to  
12       programmable burst length; is that right?

13           A. That's correct.

14           Q. And the first bullet point, what does that  
15       relate to?

16           A. So again I'm relying on technical experts and  
17       technical knowledge, so this is a factual -- there's a  
18       factual matter embedded in this.

19           My understanding of programmable burst length  
20       is that this is something that sets the burst length.  
21       Programmable burst length normally refers to setting  
22       the burst length at either 4 or 8. And it determines  
23       how many steps the DRAM takes, what's called a burst,  
24       sometimes called a wrap.

25           And the -- so the technology -- the substitutes

1 for programmable burst length are other technologies  
2 that set the amount of data read from a DRAM in  
3 response to a request for data.

4 Q. And in defining the relevant technology market  
5 with reference to programmable burst length, did you  
6 follow the same methodology that you described earlier  
7 with respect to programmable CAS latency?

8 A. Absolutely. And this slide illustrates that by  
9 being essentially identical to the earlier slide on  
10 programmable CAS latency.

11 Q. So having first identified the relevant  
12 product, you went on then to identify, based on the  
13 technical sources you considered, the universe of what  
14 you understood to be technically feasible  
15 alternatives?

16 A. That's correct. And again, to emphasize,  
17 that's an assumption on my part, not a conclusion.

18 Q. Let's go to the next slide. This will be  
19 DX-189.

20 And what does this slide present?

21 A. This lists Professor Jacob's technically viable  
22 alternatives for programmable burst length.

23 Q. And following the same methodology that you've  
24 described, did you conclude that any of these  
25 technically viable or technically feasible alternatives

1 were also, from the standpoint of economics,  
2 commercially viable?

3 A. Yes, I did. And I followed again the same  
4 procedure that we used on programmable CAS latency.

5 Q. Let's go to the next slide. This will be  
6 DX-190. DX-190 relates to the alternative identified  
7 on the prior slide, the fixed burst length.

8 Did you reach a conclusion as to whether this  
9 technology was, based on your analysis, a commercially  
10 viable alternative to programmable burst length?

11 A. Yes. And the logic is in fact almost exactly  
12 parallel. The logic and the evidence is almost  
13 exactly parallel to fixed CAS latency, and there is  
14 highlights of evidence as before presented on the  
15 slide.

16 Q. And by that, you're referring to the substance  
17 of the slide being what's conveyed or the information  
18 conveyed being similar to the substance of what was  
19 conveyed in the earlier slide related to fixed CAS  
20 latency?

21 A. Similar or analogous.

22 Q. Did you conclude that any other technically  
23 feasible alternatives to programmable burst length were  
24 also commercially viable from the standpoint of  
25 economics?

1           A.  Yes.  Again, using a pin, if we can go to the  
2  next slide --

3           Q.  This would be DX-191.

1 part of my factual basis at the time that I reached the  
2 determination. They've since become available to me.  
3 But I illustrate them with the slides for relevance and  
4 as further information.

5 Q. Are the bases for your original conclusion that  
6 these technologies were commercially viable  
7 alternatives, are those bases set forth in your expert  
8 report which we identified earlier?

9 A. That's correct.

10 Q. Were there any other technically feasible  
11 alternatives to programmable burst length that you  
12 concluded, based on your economic analysis, to be  
13 commercially viable?

14 A. Yes. If we can go to the next slide, the  
15 programmable in read command, so this is a technology  
16 which embodies in the read command, so it's the request  
17 of the DRAM for data, how long a burst to send, I found  
18 to be commercially viable.

19 This technology has both advantages and  
20 disadvantages over programmable CAS latency.

21 Q. And what was your -- how would you summarize  
22 the basis for your conclusion that this technology was  
23 a commercially viable alternative to programmable burst  
24 length?

25 A. Again, I investigated the relevance of this

cially viable alternataFr yhey R

1 technology as a substitute or its price-constraining  
2 ability on programmable CAS latency to -- its ability  
3 to serve as a substitute to programmable CAS latency  
4 for the purposes of price constraint.

5 Q. And this slide, before we move to another  
6 slide, I believe should be identified as DX-192.

7 Were there any other technologies that you  
8 considered technically feasible technologies that you  
9 considered that, based on your economic analysis, you  
10 concluded to be commercially viable alternatives to  
11 programmable burst length?

12 A. Yes. The final technology is burst interrupt.

13 Q. Burst interrupt, and that's the subject of the  
14 next slide, DX-193.

15 And can you state or summarize the basis for  
16 your conclusion that the burst interrupt technology was  
17 a commercially viable substitute or alternative to  
18 programmable burst length?

19 A. So again, burst interrupt has advantages and  
20 disadvantages. It's actually technology that was  
21 already available in the standard. It has advantages  
22 and disadvantages over programmable burst length and as  
23 a technology for setting burst length, and those are  
24 relatively small advantages and disadvantages, which  
25 renders it a close substitute, and that was what I

1 found from my examination of the facts.

2 Q. And what do you mean by the second bullet point  
3 here? You say "in SDRAM and DDR SDRAM standards and  
4 proposed for DDR-II."

5 A. So this is technology that's already available;  
6 that is, you use burst interrupt in an SDRAM in that  
7 it's possible to interrupt your -- so my understanding  
8 of burst length -- again, this is a factual question --  
9 is that when I ask for data, I'm not going to be just  
10 given back one piece of data, I'm going to be given  
11 back a number of pieces data, and what the burst length  
12 is -- so the question is how many and what the delay is in the  
1011 case. So the delay would have

1           Again, I'm explaining my understanding of the  
2 facts.

3           That has the effect of giving you programmable  
4 burst length in the sense that if I want a burst length  
5 of 4, I could ask for eight and then interrupt myself  
6 after four have come, and that gives you an alternative  
7 for programmable burst length.

8           And then the -- so that's a long -- somewhat  
9 long-winded answer to your question of this is already  
10 available; that is, it's possible to issue a burst  
11 interrupt command for SDRAM or for DDR SDRAM. That is  
12 my understanding of the facts, is it's already  
13 available in the standard.

14          Q. We've talked now I think about four  
15 alternatives, technically feasible alternatives to  
16 programmable burst length that you've concluded to be  
17 commercially viable through your economic analysis.

18           Were there any of the alternatives that you did  
19 not conclude to be commercially viable?

20          A. Yes. I didn't conclude it not to be  
21 commercially viable, but I did not reach a  
22 determination for using fuses to set burst length.

23          Q. Let's go to the next slide. This next slide is  
24 DX-194.

25 you programmable len 3 eggn s not fnt euded tg fuses. ves tha 72



1 what's in DX-194, is that what's -- is that the  
2 technology referenced in the final bullet point?

3 A. That's correct.

4 Q. The other four bullet points identified here,  
5 were those all technologies that you did conclude to be  
6 commercially viable?

7 A. That's correct.

8 Q. And based on your analysis, did you define a  
9 relevant technology market related to programmable  
10 burst length?

11 A. Yes. I defined a burst length technology  
12 market consisting of programmable burst length and the  
13 four technologies that are checked on the slide.

14 Q. Now, let's go to the next --

15 MR. STONE: I just wondered if we might be  
16 getting close to a convenient breaking point or if this  
17 was one for the evening.

18 JUDGE McGUIRE: I can't hear you.

19 MR. STONE: I wonder if we were about at a  
20 convenience breaking point.

21 JUDGE McGUIRE: I was going to inquire of that.

22 I assume you're going to be about another  
23 twenty minutes or so, Mr. Royall?

24 MR. ROYALL: Well, I'm going to be another --  
25 probably another twenty minutes just defining these

1 relevant markets.

2 JUDGE McGUIRE: Right. That's what I meant.

3 MR. ROYALL: Yes.

4 JUDGE McGUIRE: And then after that, what was  
5 your intention?

6 MR. ROYALL: After that, Your Honor, I don't  
7 have a time precisely, but I would expect that I'm  
8 likely to have roughly another hour and a half, could  
9 be slightly longer, but I would think we're in the  
10 range of an hour and a half. I would be happy to  
11 finish up in the morning.

12 JUDGE McGUIRE: When you say "another hour and  
13 a half," you mean with this witness or just for this  
14 evening?

15 MR. ROYALL: I meant with the witness after we  
16 finish relevant markets. Again, I need to review my  
17 notes, but I think that may be in the ballpark, and I'd  
18 be happy -- it would make sense to me to at least  
19 finish the relevant markets today and I'd be happy to  
20 finish the rest in the morning.

21 JUDGE McGUIRE: Okay. Are you asking now,  
22 Mr. Stone, for a break?

23 MR. STONE: No, no, no.

24 JUDGE McGUIRE: I'm just trying to get an idea  
25 of when we're going to break for the evening. Let's

1 go ahead and spend the next twenty minutes or so and  
2 get over this topic and then we'll break for the  
3 evening.

4 MR. ROYALL: That will be fine. Thank you,  
5 Your Honor.

6 JUDGE McGUIRE: All right.

7 BY MR. ROYALL:

8 Q. I believe we've now covered your relevant  
9 market analysis relating to two of the four relevant  
10 technologies you identified earlier.

11 Let's move to the third relevant technology,  
12 and I think in the list that you provided in an earlier  
13 slide that technology was the dual-edged clock  
14 technology?

15 A. That's correct.

16 Q. And we have another slide now on the screen  
17 relating to dual-edged clock. I believe that this will  
18 be identified as DX-195.

19 A. That's correct. Oh, I don't...

20 Q. And I think we all recognize that this is  
21 similar to the earlier slides in explaining the basic  
22 methodology, but the top bullet point I believe is  
23 unique to this technology. Can you explain what you're  
24 referring to there?

25 A. Yes. Again, I'm relying on the testimony of

1 other witnesses to characterize the dual-edged  
2 clocking, one of the technologies at issue, is used as  
3 a way of increasing the bandwidth or the amount of data  
4 that's transmitted from the DRAM to the controller or  
5 back.

6 Q. And with respect to this technology, dual-edged  
7 clock, did you follow the same methodology of  
8 initially, based on technical sources, identifying a  
9 universe of what you understood to be technically  
10 feasible alternatives?

11 A. I did.

12 Q. Let's go to the next slide, which will be  
13 DX-196.

14 And does this slide reference all of the  
15 technologies that you understood from the technical  
16 sources you relied on to be technically feasible  
17 alternatives to the use of dual-edged clock technology  
18 in a DRAM?

19 A. It does.

20 Q. Let me ask before we go any further, did the  
21 time period that -- did the time period that you were

1 earlier technologies, programmable CAS latency and  
2 programmable burst length?

3 A. It did because these are technologies -- the  
4 dispute on these technologies involves DDR SDRAM rather  
5 than SDRAM and that technology was standardized later,  
6 so in this case the approximate time period that I  
7 aimed at was 1995.

8 Q. Based on your economic analysis, did you  
9 conclude that any of these technically feasible  
10 technologies presented in DX-196 was also, economically  
11 speaking, commercially viable?

12 A. I did. If we can go to the next slide.

13 Q. This will be DX-197.

14 And this slide refers to keeping each DRAM  
15 single data rate and interleaving banks on the module?

16 A. That's correct.

17 Q. Is this a technology -- a technological  
18 alternative that you concluded based on your analysis  
19 to be a commercially viable alternative to dual-edged  
20 clocking?

21 A. It appears to be, yes. That is, I did conclude  
22 that it's a commercially viable alternative.

23 Q. And what -- can you summarize generally what

1 interviewed witnesses, and I read market reports to  
2 reach that determination.

3 Q. Were there any other technically feasible  
4 alternatives to dual-edged clocking that you concluded  
5 were commercially viable?

6 A. Yes.

7 Q. Let's go to the next slide. This is DX-198.

8 And this slide relates to increasing the number  
9 of pins per module?

10 A. Yes. I put this slide in because I did not in  
11 fact conclude that this technology is commercially  
12 viable; so that is to say, it does not appear to be  
13 commercially viable.

14 There is some contrary evidence to that,  
15 although the evidence is recent and in fact involves a  
16 graphics design, a graphics card designer.

17 So there is some contrary evidence, but overall  
18 this is a technology that I think I can rule out as  
19 being commercially viable.

20 Q. And by that, do you mean that you've not  
21 included it in any relevant technology market?

22 A. In fact, I've gone -- the others I was silent  
23 on. I've gone further and excluded this one.

24 Q. Were there any other technologies other than  
25 the prior technology, keeping each DRAM single data

1 rate, that was referred to in DX-197, were there others  
2 besides that technology that you've concluded to be  
3 commercially viable alternatives to dual-edged  
4 clocking?

5 A. Yes. And the next slide will set that out.

6 Q. The next slide will be DX-199, and this refers  
7 to doubling the clock frequency?

8 A. Right.

9 Q. Is this a technology that you've concluded  
10 based on your economic analysis to be a commercially  
11 viable alternative to dual-edged clocking?

12 A. That's correct.

13 Q. And what was the basis for that conclusion or  
14 what -- if you could summarize the basis for that  
15 conclusion.

16 A. Again, I examined a great amount of  
17 information and facts to reach the determination that  
18 this was a commercially viable alternative to  
19 dual-edged clocking.

20 Q. Were there any other technically feasible  
21 alternatives besides doubling the clock frequency and  
22 the earlier alternative that you mentioned in DX-197  
23 of interleaving banks and keeping the single data  
24 rate, were there others besides those that you  
25 concluded to be commercially viable alternatives --

1           A.  Yes.

2           Q.  -- to -- there were?

3           A.  Well, I actually reached the conclusion that  
4 toggle mode was commercially viable.  But I have to say  
5 that the exhibit that I hold in my hand does not  
6 reflect that.  And I'm not sure why that's true.



1 as to commercial viability and the definition of what  
2 you termed the data acceleration technology market?

3 A. I would add a red check to use toggle mode, and  
4 then I would conclude that dual-edged clock, keeping  
5 the DRAM single data rate and interleaving the banks on  
6 the module and doubling the clock frequency and using  
7 toggle mode, those four technologies comprise a data  
8 acceleration technology market.

9 Q. Now, I believe we've covered three of what you  
10 termed earlier the relevant technologies. Let's move  
11 then to the fourth, which is the on-chip PLL or DLL  
12 technology. And the slide that's now on the screen  
13 will be DX-201.

14 The first bullet point on this slide, does  
15 that refer to your understanding of the function  
16 served by use of on-chip PLL or on-chip DLL in a DRAM  
17 technology?

18 A. Yes. My understanding from factual  
19 testimony -- and it is my assumption from the factual  
20 testimony -- is that on-chip PLL/DLL has the effect of  
21 synchronizing the DRAM clock with the system clock.  
22 And that that's -- the technologies that serve that  
23 purpose are alternatives to on-chip PLL or DLL.

24 Q. And did you identify, based on the technical  
25 sources that you were relying upon, any technically

1 feasible alternatives to on-chip PLL?

2 A. Again, my assumptions are set out on a  
3 subsequent page. These are I believe Professor Jacob's  
4 alternatives for on-chip PLL/DLL.

5 Q. And the slide that's now on the screen will be  
6 identified as DX-202.

7 There are five technologies here. These are  
8 the technologies that you understand from the technical  
9 sources that you relied upon to be technically feasible  
10 alternatives to on-chip PLL/DLL?

11 A. Yes. That's correct.

12 Q. And did you through your economic analysis  
13 conclude that any of these technically feasible  
14 alternatives were also commercially viable?

15 A. I did, and they're set out on the subsequent  
16 slides.

17 Q. The next slide will be DX-203.

18 And this relates to putting a DLL on the memory  
19 controller. Is that a technology that you concluded  
20 through your analysis to be a commercially viable  
21 alternative to on-chip PLL/DLL?

22 A. It is. In my review of the available evidence,  
23 it appears to be a commercially viable alternative to  
24 on-chip PLL/DLL.

25 Q. And in summary form, can you identify the





1           Q. Of the technically feasible alternatives that  
2 you identified through reliance on technical sources,  
3 did you conclude that all of those alternatives were  
4 also commercially viable alternatives to on-chip  
5 PLL/DLL?

6           A. It depends on whether by "all" you meant the  
7 four we've just discussed or also the five that were on  
8 the original list.

9           No. I've concluded that the four that we  
10 discussed were commercially viable alternatives to  
11 on-chip PLL and DLL. But not achieving -- but not by  
12 adding more pins. And that one I did not make a  
13 determination one way or the other as to whether it --  
14 whether it was an -- whether it was a commercially  
15 viable alternative.

16           And these four alternatives with the original  
17 on-chip PLL/DLL I concluded to be a clock  
18 synchronization technology relevant market.

19           Q. Let me make clear for the record that we're  
20 now -- we now have on the screen another slide, which  
21 should be marked as DX-207.

22           And I think your prior answer identified that  
23 the technologies in addition to on-chip PLL/DLL that  
24 you included in the market that you defined as the  
25 clock synchronous technology market; is that correct?

1 A. Clock synchronization technology market, yes.

2 Q. Are there any other alternatives, other than  
3 the alternatives that we have been discussing and  
4 specifically the technologies that you've testified  
5 that you concluded based on your economic analysis were  
6 commercially viable alternatives to the four Rambus  
7 technologies, other than the ones that we talked about,  
8 were there any other technologies that you, based on  
9 your economic analysis, concluded to be viable  
10 alternatives to Rambus' technologies?

11 A. Yes. I've concluded that asynchronous designs  
12 were relevant for at least some length of time, were  
13 relevant alternatives, in particular, well through 1995  
14 and probably continuing thereafter.

15 Q. We now have a new slide on the screen, which  
16 we'll mark as DX-208, which relates to asynchronous --  
17 the title is Asynchronous Alternative.

18 And in the first bullet you refer to  
19 asynchronous DRAM designs. Let me ask you first of all  
20 to define what you mean by that term.

21 A. So synchronous designs -- it's easier to define  
22 that -- well, let me say again that this is a fact  
23 question.

24 Synchronous design -- it's easier to explain  
25 what a synchronous design is.

1           Synchronous design takes a clock on the DRAM  
2 and synchronizes it or synchronizes the action of the  
3 DRAM with the system clock rather than with just its  
4 own independent clock. It has its own synchronized  
5 clock.

6           So asynchronous designs did not. And the --  
7 what preceded SDRAM were asynchronous designs, so fast  
8 page mode and EDO, extended data out, DRAMs were  
9 asynchronous designs.

10           There were -- there was quite a bit of debate  
11 at the time that JEDEC standardized SDRAM about whether  
12 to move to synchronous or stay with asynchronous  
13 designs. Asynchronous designs had evolutionary  
14 advantages over synchronous designs, but at that time  
15 JEDEC made the determination to move to asynchronous --  
16 to synchronous -- move away from asynchronous to  
17 synchronous designs.

18           Q. Have you reached any conclusion as to whether  
19 asynchronous designs were commercially viable  
20 alternatives to synchronous designs in the time period  
21 that you focused on for purposes --

22           A. Yes.

23           Q. -- for purposes of your economic analysis?

24           A. Yes. Asynchronous designs had a number of  
25 advantages and some disadvantages over the synchronous

1 designs that were ultimately chosen. And the -- they  
2 were constraining alternatives on these synchronous  
3 designs. And there's a wealth of information from the  
4 time that speaks of that.

5 Q. In the final bullet point on this exhibit,  
6 DX-208, you state, "Choice of synchronous DRAM diverted  
7 resources away from asynchronous designs."

8 Can you explain what you mean by that?

9 A. Yes. The asynchronous designs of 1992 and 1993  
10 are slow relative to, say, modern DDR designs, and  
11 that's because a great deal of investment has been  
12 applied to SDRAM and its successor DDR. Had the  
13 industry stayed with asynchronous designs, it's  
14 economically reasonable that those designs would have  
15 progressed.

16 Generally in this industry I find that the  
17 application of engineering effort actually improves the  
18 product, and so the fact that they went to a  
19 synchronous design diverted resources away from  
20 asynchronous designs and made those designs less  
21 successful than they would have otherwise been.

22 Q. In this slide, DX-208, you refer in the first  
23 bullet point to something called burst EDO.

24 What is burst EDO?

25 A. Burst EDO was an asynchronous design that was



1 proposed to succeed EDO -- we have a slide on this --  
2 that was proposed to succeed EDO and it was an  
3 alternative -- it actually represented an alternative  
4 DRAM technology, an alternative to SDRAM, and hence an  
5 alternative to both programmable CAS latency and burst  
6 length.

7 That is to say, an alternative to using those  
8 technologies and many other technologies embedded in  
9 SDRAM was to use burst EDO.

10 Q. We'll mark this slide relating to burst EDO as  
11 DX-209.

12 Have you concluded, Professor McAfee, whether  
13 burst EDO was a commercially viable alternative to  
14 synchronous DRAM?

15 A. Yes. It was a constraining factor on -- it  
16 would be a commercially viable alternative. That is,  
17 it would be a price constraint on the SDRAM  
18 technology.

19 Q. Did you include the burst EDO technology or any  
20 other asynchronous DRAM technologies in the relevant  
21 markets that you defined?

22 A. I did not, although one could. They are --  
23 they are -- when one looks at the individual  
24 technology, it would be a large departure to switch, in  
25 order to avoid an individual technology, to switch to



1 four of those technologies.

2 Q. You've used the term "cluster market" and  
3 you've given an explanation, but I think you may have a  
4 slide that illustrates that concept.

5 A. I do.

6 Q. This would be DX-211.

7 Is this slide meant to illustrate the concept  
8 that you just described of a cluster market?

9 A. It is. This slide illustrates -- refers back  
10 to an earlier slide that we had, that through the  
11 standard-setting process we're going to select features  
12 for -- or we're going to select technologies for a  
13 variety of distinct features, in this case listed as  
14 features 1, 2 and 3, and we'll select specific  
15 technologies for those.

16 Insofar as those technologies relate to each  
17 other, that is, they must work together, for example,  
18 then it would be natural to cluster them together, so  
19 if there was a natural affinity of one technology for  
20 another, that is, they work well together, one would  
21 want to cluster them together.

22 Q. I believe, if I'm not mistaken, this may be  
23 another animated slide.

24 A. Yes. Here, the technologies B, F and G have  
25 gone through the standard-setting process. They are

1 unrelated from each other in the sense that D is a  
2 standard for feature 1, F is a standard for feature 2,  
3 and G is a standard for feature 3, but because they are  
4 in some sense embodied in the same device, they can be  
5 treated together.

6 As I said, that's more of a convenience than  
7 it is a normal or -- excuse me. It is quite normal.  
8 It's more of a convenience than a strictly logical  
9 exercise. Since they are, after all, they -- they do  
10 different things. They aren't substitutes for one  
11 another.

12 Q. Am I correct that what you're illustrating here  
13 is that in defining what you term the SDRAM technology  
14 market or the cluster market that you described  
15 earlier, you were collecting the other technologies  
16 that you defined separately as separate markets into a  
17 single, consolidated market in the manner that you've  
18 depicted in this slide?

19 A. Yes. That's correct.

20 Q. Now, my final question -- and I'll be done with  
21 this topic and for the day -- relates to geographic  
22 market.

23 Have you reached any conclusion as to the  
24 geographic scope of the technology markets that you  
25 described or identified in your testimony today?

1           A. Yes, I have. I find technology markets to be  
2 worldwide.

3           Q. And the next slide and the last slide for today  
4 is DX-212.

5           And let me just ask you if you could walk us  
6 through your reasoning for concluding that each of the  
7 relevant technology markets that you've defined is  
8 geographic -- or is worldwide in geographic scope.

9           A. I think I've already testified today that the  
10 buyers of technology, the adopters of technology,  
11 generally do not care about the geographic source of  
12 the technology. They want the technology that is the  
13 best for their purposes.

14           Technologies tend to be licensed worldwide, so  
15 that is to say technologies tend to flow across  
16 national borders.

17           In addition, the downstream product is  
18 produced and used worldwide. From a technological  
19 perspective, the fact that it's produced worldwide,  
20 has low transportation costs, means that the  
21 downstream product competes in a world market which  
22 indirectly forces the technologies to compete in a  
23 worldwide market.

24           And so -- and I think this is not

1 markets, and this case is no exception.

2 Q. And I think you've touched -- may have touched  
3 on this in your answer.

4 But the negligible transportation costs, that's  
5 something that bears on your conclusion that this is a  
6 world -- that these relevant technology markets are  
7 world markets?

8 A. Yes. That actually -- so there are two  
9 instances of negligible transportation costs. The  
10 transportation cost of a product itself, a DRAM, that  
11 is, the physical product, is very low and that makes  
12 DRAM a world market. In fact, they don't seem to mind  
13 shipping it across the Pacific Ocean -- this is a fact,  
14 but they don't mind shipping it across the  
15 Pacific Ocean just to package it.

16 So transportation costs are very low. But also  
17 the transportation -- but more importantly, the  
18 transportation costs on the technology is essentially  
19 zero, that is, it's trivial. It's a matter of flying  
20 the -- those who know how to implement the technology  
21 to wherever the technology is to be used.

22 And so technology -- the transportation costs  
23 associated with moving the technology are essentially  
24 zero, and that means that the buyers adopt the  
25 technology that offers the best technology independent

1 of the origin of the technology.

2 MR. ROYALL: Your Honor, that concludes my  
3 examination on that subject. I will have some  
4 questions in the morning but will hope to conclude that  
5 in somewhat over an hour's time.

6 JUDGE McGUIRE: Very good, Mr. Royall. Thank  
7 you.

8 MR. ROYALL: Thank you.

9 JUDGE McGUIRE: Then that will take care of it  
10 for tonight. We will convene tomorrow morning,  
11 Thursday, at 9:30 a.m.

12 This hearing is in recess.

13 (Time noted: 5:50 p.m.)

14

15

16

17

18

19

20

21

22

23

24

25

## 1 C E R T I F I C A T I O N O F R E P O R T E R

2 DOCKET NUMBER: 9302

3 CASE TITLE: RAMBUS, INC.

4 DATE: June 25, 2003

5

6 I HEREBY CERTIFY that the transcript contained  
7 herein is a full and accurate transcript of the notes  
8 taken by me at the hearing on the above cause before  
9 the FEDERAL TRADE COMMISSION to the best of my  
10 knowledge and belief.

11

12 DATED: June 25, 2003

13

14

15

16 JOSETT F. HALL, RMR-CRR

17

## 18 C E R T I F I C A T I O N O F P R O O F R E A D E R

19

20 I HEREBY CERTIFY that I proofread the  
21 transcript for accuracy in spelling, hyphenation,  
22 punctuation and format.

23

24

25 DIANE QUADE

For The Record, Inc.  
Waldorf, Maryland  
(301) 870-8025