

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

FEDERAL TRADE COMMISSION
I N D E X (PUBLIC RECORD)

WITNESS:	DIRECT	CROSS	REDIRECT	RECROSS
Rapp		10026	10232	10262

EXHIBITS	FOR ID	IN EVID
----------	--------	---------

CX

RX

DX

Number 326 10081

Number 327 10081

Number 328 10100

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

UNITED STATES OF AMERICA
FEDERAL TRADE COMMISSION

In the Matter of:)
Rambus, Inc.) Docket No. 9302
-----)

Wednesday, July 23, 2003
9:31 a.m.

TRIAL VOLUME 48
PART 1
PUBLIC RECORD

BEFORE THE HONORABLE STEPHEN J. McGUIRE
Chief Administrative Law Judge
Federal Trade Commission
600 Pennsylvania Avenue, N.W.
Washington, D.C.

Reported by: Josett F. Hall, RMR-CRR

For The Record, Inc.
Waldorf, Maryland
(301) 870-8025

1 APPEARANCES:

2

3 ON BEHALF OF THE FEDERAL TRADE COMMISSION:

4 M. SEAN ROYALL, Attorney

5 GEOFFREY OLIVER, Attorney

6 JOHN C. WEBER, Attorney

7 Federal Trade Commission

8 601 New Jersey Avenue, N.W.

9 Washington, D.C. 20580-0000

10 (202) 326-3663

11

12 ON BEHALF OF THE RESPONDENT:

13 GREGORY P. STONE, Attorney

14 STEVEN M. PERRY, Attorney

15 PETER A. DETRE, Attorney

16 SEAN GATES, Attorney

17 Munger, Tolles & Olson LLP

18 355 South Grand Avenue, 35th Floor

19 Los Angeles, California 90071-1560

20 (213) 683-9255

21

22

23

24

25

1 APPEARANCES:

2

3 ON BEHALF OF THE RESPONDENT:

4 A. DOUGLAS MELAMED, Attorney
5 Wilmer, Cutler & Pickering
6 2445 M Street, N.W.
7 Washington, D.C. 20037-1420
8 (202) 663-6090

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

For The Record, Inc.
Waldorf, Maryland
(301) 870-8025

P R O C E E D I N G S

- - - - -

1
2
3 JUDGE McGUIRE: This hearing is now in order.

4 Before we get started today, any housekeeping
5 tasks we need to take up?

6 MR. ROYALL: Your Honor, the only thing was
7 something that we raised yesterday. I don't know if
8 Mr. Stone is prepared to comment. I understand it's
9 not a major issue, but this revealed preference issue,
10 we can either deal with that now or later.

11 MR. STONE: I can respond.

12 I think although Professor McAfee did not use
13 the words "revealed preference," Mr. Royall is correct
14 on that, his testimony in the transcript on June 25,
15 which is volume 35, beginning at page 7255 and
16 continuing through 7256 does describe what I think I
17 understand and I think Dr. Rapp understands to be the
18 theory of revealed preference in his description of it
19 at that point in the testimony.

20 So I think that's my response. If I used the
21 words in asking my question and suggested he used those
22 words, I was plainly incorrect. I think the concept
23 was plainly revealed in the testimony.

24 JUDGE McGUIRE: Now, as I understand the issue,
25 you're opposed to that testimony being offered by

1 Dr. Rapp?

2 MR. ROYALL: You know, I think with this, with
3 that clarification on the record, it's probably fine.

4 The thing that I was concerned about and I'm
5 still concerned about, but I don't think we probably
6 need to do anything, is that it sounds like the
7 question that was asked and that was answered amounted
8 to Mr. Stone's interpretation of testimony and then an
9 agreement with his interpretation.

10 JUDGE MCGUIRE: I think the point has been
11 made. I'm going to hear it and then I'll determine its
12 due weight.

13 MR. STONE: Thank you, Your Honor.

14 MR. ROYALL: I think that's fine. Thank you,
15 Your Honor.

16 JUDGE MCGUIRE: Then at this time we'll
17 continue the cross-examination by complaint counsel.

18 Dr. Rapp, if you'll have a seat, please. I
19 caution you, you're still under oath from Tuesday.

20 THE WITNESS: Thank you.

21 - - - - -

22

23

24

25

1 Whereupon --

2 RICHARD T. RAPP

3 a witness, called for examination, having been
4 previously duly sworn, was examined and testified as
5 follows:

6 CROSS-EXAMINATION (continued)

7 BY MR. ROYALL:

8 Q. Good morning, Dr. Rapp.

9 A. Good morning.

10 Q. I'd like to start with today, I'd like to come
11 back to one of the slides that you prepared in
12 connection with your direct examination, and it's the
13 slide that was marked as DX-305.

14 Do you recall this slide?

15 A. Yes.

16 Q. I wanted to ask you about the last bullet point
17 on this slide where you say, "'Standard' SDRAM sold in
18 the market today embodies Intel's specifications and
19 omits JEDEC elements."

20 Do you see that?

21 A. Yes.

22 Q. Can you name any specific or identify any
23 specific JEDEC elements that are omitted from standard
24 SDRAM sold in the market today?

25 A. No, not specifically.

1 Q. So what did you mean by this statement if you
2 can't identify any specific elements that are omitted?

3 A. Could you ask your colleague to shrink that
4 quote back so that I can read the preceding paragraph.

5 Q. Can we magnify the slide for all of our benefit
6 just to see the text better.

7 A. Good.

8 Q. Great.

9 A. My understanding comes from Exhibit RX-2103-14,
10 and there was also testimony, as I recall, about this
11 subject, about the creation of PC -- of the PC100
12 specification. And it is that to which I'm referring
13 that involves the subtraction of JEDEC elements from
14 the -- from the Intel version of the standard.
10 anst to youdontif th youdontifknow stacific elementlement(
4 q1 Q. tted?

1 technologies" as you defined it earlier?

2 A. Certainly not.

3 Q. And you don't know whether -- because you don't
4 know what is omitted, do you know -- do you know for a
5 fact if anything was omitted?

6 A. I know only what the testimony and this
7 statement say, which are both nonspecific, so I assume
8 that something was omitted because they say that
9 something was omitted, but what that something is I do
10 not know.

11 Q. And you say that you understand that there is
12 testimony about PC100 in the record?

13 A. Yes.

14 Q. Do you understand that PC100 included
15 programmable CAS latency and programmable burst
16 length?

17 A. Sure.

18 Q. And do you have an understanding as to why
19 Intel in setting the PC100 specification chose to
20 include programmable CAS latency and programmable burst
21 length?

22 A. I think there were two reasons. One reason
23 relates to the standard, that it was part of the JEDEC
24 standard, and I don't think that -- and I am
25 inferring -- it's an assumption on my part -- that

1 JEDEC (sic) did not want to disturb the standard except
2 insofar as the resolution of incompatibilities was
3 involved.

4 And I remember from the testimony that the
5 reason for the revision by Intel of the JEDEC
6 specification was that the specification -- that the
7 JEDEC standard was not specific enough to resolve
8 incompatibilities that arose in the manufacturing
9 process, and Intel's purpose, as I understand it, was
10 to resolve those incompatibilities, which it
11 accomplished by removing certain elements from the
12 standard.

13 If removing JEDEC technology would not
14 accomplish that, there's no reason, I infer, why Intel
15 would do it.

16 Q. I think, Dr. Rapp, I think you may have
17 misspoken in that answer. Let me point this out just
18 in case I'm right.

19 I think in your answer you said that it was
20 your assumption, assumption on your part, that JEDEC
21 did not want to disturb the standard except insofar
22 as -- you meant Intel?

23 A. I meant Intel. I'm sorry.

24 Q. Now, is it your understanding that Intel
25 included -- and let me focus this question just on

1 programmable CAS latency for the moment -- that Intel
2 included programmable CAS latency in the PC100
3 specification because it was already in the SDRAM
4 parts and had been previously balloted and approved by
5 JEDEC?

6 A. I would be willing to infer that.

7 Q. And would you infer the same as to the reasons
8 why Intel included programmable burst length in the
9 PC100 specification?

10 A. Yes.

11 Q. And would you infer the same as to -- well,
12 strike that.

13 Are you aware of any Intel specifications that
14 relate to DDR SDRAM?

15 A. I know that there are Intel specification
16 addenda to the standard as the speed ratings of DDR
17 have increased, yes.

18 Q. And do you understand that those Intel addenda
19 include all four of the so-called Rambus technologies?

20 A. Yes.

21 Q. And is it your understanding that the reason
22 Intel included those technologies in those DDR-related
23 addenda are the same as the reasons you understand that
24 programmable burst and programmable CAS latency were
25 included in the PC100 specification?

1 A. It's just an inference, but yes.

2 Q. Let me shift to another topic.

3 You're aware, are you not, that
4 Professor McAfee in connection with his work in this
5 case has defined relevant antitrust markets?

6 A. Yes.

7 Q. And other than possibly disagreeing with the
8 particular wording of Professor McAfee's market
9 definitions, am I right that you don't find that this
10 is an issue that between yourself and Professor McAfee
11 merits engagement or dispute?

12 A. The answer is yes insofar as the market
13 definitions that he -- that he arrived at for -- let me
14 see if I get this right -- for markets ex post, but the
15 story, as I heard it, in his testimony of market
16 definition leads me to disagree not with the ultimate
17 definitions that he's using but what he believes the
18 relevant markets were ex ante.

19 Q. Let me, if you don't mind...

20 (Pause in the proceedings.)

21 You're saying that you disagree with
22 Professor McAfee's definition of the relevant markets
23 in the ex ante period before the standards were
24 adopted?

25 A. As I heard him describe it in testimony, if my

1 A. Sure.

2 Q. Now, referring to the markets, the relevant
3 markets that Professor McAfee defined, am I right, is
4 it correct, that you believe it is sensible to
5 provisionally include in each of those markets all of
6 the alternative technologies that have been identified
7 by complaint counsel's experts?

8 MR. STONE: Your Honor, I object. As
9 Mr. Royall has brought out, the question of market
10 definition was not addressed in Dr. Rapp's report, it
11 was not covered in direct examination, and an effort to
12 get him to testify about Professor McAfee's views on
13 market definition is outside the scope.

14 JUDGE MCGUIRE: Mr. Royall?

15 MR. ROYALL: Your Honor, I do not believe that
16 this is outside the scope. The subject of market
17 definition is relevant to the subject of market power,
18 and he has offered conclusions about market power in
19 the very relevant markets that we're discussing, and so
20 that's what this is leading up to, is his opinions on
21 market power.

22 JUDGE MCGUIRE: Overruled.

23 BY MR. ROYALL:

24 Q. Now, referring to the markets that
25 Professor McAfee defined, am I correct that you,

1 Dr. Rapp, believe that it is sensible to provisionally
2 include in those markets all of the alternative
3 technologies that have been identified by complaint
4 counsel's experts?

5 A. For purposes of analysis, yes.

6 Q. Okay. And so let's -- if we could pull up
7 DX-187. And again enlarge that so we all can see,
8 please.

9 This is DX-187, which was used with
10 Professor McAfee's testimony, and as you see, it
11 relates to what he termed the latency technology
12 market.

13 And am I right that you believe that it is
14 sensible to provisionally include in this relevant
15 market defined by Professor McAfee all of the -- not
16 only the programmable CAS latency technology but all of
17 the technologies that are identified here, at least
18 those with the check marks?

19 A. Yes. Where "provisionally" means not to -- not
20 to form a conclusion but to consider those.

21 Q. Okay. And so that I don't need, necessarily
22 need to go through all of these, the four slides, if I
23 were to bring up the slides relating to the burst
24 length technology market, data acceleration and the
25 clock synchronization technology market, those terms

1 being terms that Professor McAfee used, you would have
2 the same testimony with respect to all of the
3 alternatives that are identified on those slides from
4 Professor McAfee's testimony that are checked?

5 A. That because he regarded them as in the market
6 that I regard them as provisionally worthy of inclusion
7 for the sake of analysis.

8 Q. You can pull that slide down.

9 Now, referring collectively to all of these
10 alternatives that Professor McAfee included in his
11 relevant markets, you have not done any analysis
12 focused on addressing how closely any of those
13 alternatives competes with the four so-called Rambus
14 technologies; correct?

15 A. Wrong.

16 Q. And why is that wrong?

17 A. Because the analysis of the cost -- of the
18 differences in the cost of using those technologies is
19 an analysis of the quality, of their quality as
20 substitutes.

21 Q. Let me ask the question in a slightly different
22 way.

23 Am I correct that you have not made a judgment
24 about whether or not any or all of those substitutes
25 identified by Professor McAfee as being included within

1 as substitutes would extend to that, even though I
2 didn't do a formal relevant market analysis.

3 MR. ROYALL: May I approach, Your Honor?

4 JUDGE McGUIRE: Yes.

5 BY MR. ROYALL:

6 Q. Dr. Rapp, I've just handed you a copy of the
7 transcript from your deposition in this case, and on
8 this issue that we're discussing I want to see if I can
9 refresh your recollection.

10 A. Okay.

11 Q. I may need --

12 MR. STONE: Your Honor, I object in that he has
13 not testified to a lack of recollection, no
14 recollection refreshed. If he can impeach him with a
15 transcript, I think that's the proper use of it. But I
16 don't think it's proper to refresh when the witness has
17 not evidenced any lack of a recollection.

18 MR. ROYALL: Your Honor, that's fine. I'm
19 simply trying to be polite about it, but what I'm doing
20 is -- it amounts to impeachment.

21 JUDGE McGUIRE: Then why don't you proceed, and
22 we'll decide which way he's headed here, Mr. Stone.

23 MR. STONE: Thank you, Your Honor.

24 BY MR. ROYALL:

25 Q. Could I ask you, Dr. Rapp, if you could to turn

1 to page 74 of your deposition transcript.

2 A. Sure.

3 Q. Now, on page 74 of your transcript starting on
4 line 6, I asked the following question: "Are there
5 other alternatives that should be regarded as also
6 being included in those relevant markets?"

7 And I'll represent I was referring to
8 Professor McAfee's relevant markets.

9 A. Sure.

10 Q. There's an objection and then you answer:
11 "Well, for the sake of analysis, I think it is -- it's
12 sensible provisionally to include the alternative
13 technologies that have been proposed by complaint
14 counsel's expert witnesses. Whether or not they remain
15 in the relevant market has to do with their quality as
16 substitutes, and I have not made a judgment about
17 whether or not any or all of them are close enough
18 substitutes to remain in the relevant market after the
19 analysis is over."

20 And then I ask, at the bottom of that page,
21 "And the analysis that you're referring to is an
22 analysis focused on assessing how close these
23 alternatives compete with the" -- and there's an error
24 here. It says "pro-Rambus" and I think it should say
25 "for Rambus technologies on cost-performance;

1 correct?"

2 And then your answer is: "Precisely."

3 Do you see that testimony?

4 A. Uh-huh.

5 Q. Now, that is the testimony -- those are the
6 questions I asked and the testimony that you gave in
7 your deposition; right?

8 A. Uh-huh.

9 Q. Now, if we could come back to
10 Professor McAfee's report -- do you have that in front
11 of you?

12 Could I ask you to turn or flip to page 104.
13 This is page 104 of Professor McAfee's report. I focus
14 your attention on paragraph 135.

15 A. I'm with you.

16 Q. Now, a moment ago you made a distinction
17 between ex ante and ex post issues concerning market
18 definition?

19 A. Right.

20 Q. And I believe -- I don't want to misstate it,
21 but I believe you said that you believe that
22 Professor McAfee for the first time at trial made a
23 distinction between ex post and ex ante market
24 definitions that he had not made in his report?

25 A. I think that's right.

1 Q. Let me ask you to focus on paragraph 135 and
2 the first sentence of that paragraph of
3 Professor McAfee's report where he says, "Because the
4 point of this section is to characterize the relevant
5 markets in order to determine the range of acceptable
6 substitutes influencing the purchase patterns of buyers
7 ex ante, it is important to set forth my understanding
8 of what principles are useful to make these
9 determinations."

10 Do you see that?

11 A. Yes.

12 Q. And then going on to the next page, 105,
13 paragraph 136, the first sentence, he says, "The
14 identification of ex ante commercially viable
15 alternatives was made substantially more difficult as
16 the result of Rambus' own challenged conduct."

17 Do you see that?

18 MR. STONE: Your Honor, I must object to this
19 line of questioning in this fashion. A,
20 Professor McAfee's report is not in evidence. B, I
21 was prohibited yesterday, on Mr. Royall's objection,
22 from referring this witness to things that
23 Professor McAfee had said to ask him whether he agreed
24 or disagreed. I couldn't do it. And I framed all my
25 questions not to ask him about what Professor McAfee

1 did or whether he agreed or disagreed, just about
2 concepts.

3 And I think Mr. Royall is now -- he's taking
4 words out of a report that's not in evidence that he
5 attributes to Professor McAfee --

6 JUDGE McGUIRE: All right. Mr. Royall, I'm
7 going to uphold that objection. I tried to -- I want
8 to be consistent, and I think that's exactly what's
9 occurring here, so I want you to restate your inquiry
10 in a way where we're not quoting Professor McAfee's
11 report into evidence.

12 MR. ROYALL: Can I be heard on this?

13 JUDGE McGUIRE: You can be heard, but --

14 MR. ROYALL: Because I don't think there is an
15 inconsistency.

16 JUDGE McGUIRE: Well, go ahead.

17 MR. ROYALL: He said there's an inconsistency.
18 I don't believe that there is.

19 In terms of (Rdisrctiwe'rerm the but TjT*

18 is?c

1 was the nature of the objection.

2 Now, here what we have is a witness who said
3 that he believes that something came up that was new in
4 the testimony at trial of Professor McAfee that wasn't
5 in the report, and so all I'm doing -- I'm not trying
6 to put this report into evidence.

7 JUDGE McGUIRE: Well, that's what you're doing
8 because it's being read into evidence.

9 Now, if you want to ask him to, by himself to
10 read it and then ask him if that helps him recall as to
11 whether or not the professor included this area in his
12 report, do that.

13 But what the objection is, as I understand it,
14 is that you are reading excerpts from
15 Professor McAfee's report into evidence, which he was
16 not allowed to do earlier, and I'm upholding that
17 objection.

18 MR. ROYALL: And just to be clear for the
19 record, but I am doing this for the purpose of
20 refreshing recollection and I'm happy to --

21 JUDGE McGUIRE: Then let's do it in a way
22 that's not going to be in conflict 1 - m But what om
15oidsrrenn.

2ion iehl: whR. ROYALL: And ju24 to be clear for the

1 BY MR. ROYALL:

2 Q. Now, I won't read any more of these statements,
3 but does seeing this reference to -- this discussion of
4 ex ante in Professor McAfee's report, does that refresh
5 your recollection that this issue was raised and
6 discussed?

7 A. Not the issue that I had in mind.

8 Q. And what's the distinction that you had in
9 mind?

10 A. The distinction that I have in mind is that, to
11 the best of my recollection, the McAfee report speaks
12 only of the ex ante market, the before-standardization
13 market, in which Professor McAfee contends that all of
14 these substitutes were available, the ones with the
15 check marks on the previous exhibit.

16 The only thing that I was calling attention to
17 is that, as I remember it, Professor McAfee's testimony
18 extended to a statement where he said, And ex post,
19 after standardization, there is nobody in those
20 relevant markets except Rambus because all of those
21 substitutes had been eliminated, and that was the part
22 of his testimony that seemed to relate to relevant
23 market analysis to which I took exception in my earlier
24 testimony today.

25 Q. I may have misunderstood you earlier. I

1 understood you to say that you understood that
2 Professor McAfee mentioned the ex ante market
3 definition for the first time at trial, but now, I now
4 understand you to be saying that you understood that he
5 mentioned the ex post market definition for the first
6 time at trial.

7 A. Yes. I may have misstated it.

8 Q. So going back to the earlier question and how
9 this first came up, as it relates to the ex ante nature
10 of the market definition in Professor McAfee's report,
11 am I right that, as it relates to that, you don't
12 disagree with the Professor McAfee's market definitions
13 or you at least don't find that that merit -- that
14 issue merits engagement?

15 A. It's correct that I do not find that that issue
16 merits engagement.

17 Q. You were present I believe in the courtroom
18 during Professor McAfee's testimony or some portion of
19 it?

20 A. I was for all of it.

21 Q. And so --

22 A. Nearly all of it.

23 Q. -- you understand that after defining the
24 markets in the ex ante sense to include many or most of
25 the technologies that were identified on the slides

1 like the one that I showed you earlier,
2 Professor McAfee later considered what competition
3 Rambus' technologies face today or in the ex post
4 period in those markets from these same technologies
5 that he identified?

6 A. That's what I'm recalling.

7 Q. And you understand that Professor McAfee
8 concluded that as of today, that is, in the ex post
9 period, none of these other technologies that he had
10 included in the ex ante market definition are close
11 enough substitutes to Rambus' technologies to remain in
12 the market; right?

13 A. Yes. That is what I recall.

14 Q. And you have not yourself conducted any
15 analysis or made any judgment about whether any or all
16 of those alternatives in fact are close enough
17 substitutes to Rambus' technologies today that they
18 should remain in the relevant markets; correct?

19 A. Not simply correct. My answer is that I have
20 done an analysis of substitution, but without respect
21 to Professor McAfee's market definition boundaries.

22 My testimony has been that both ex ante and
23 ex post the substitutes that Professor McAfee deems to
24 be commercially viable are relatively weak substitutes
25 because they are inferior in cost-performance terms.

1 And what I did not do is to make that statement in
2 relation to a relevant market either defined by
3 Professor McAfee or anybody else.

4 But I would not have the fact that I didn't use
5 a market definition framework for drawing conclusions
6 about substitution read to mean that I believe that
7 those -- that I'm uncertain about whether they are poor
8 or weak -- good or weak substitutes.

9 Q. Could I ask you -- let's pull this page down
10 off the screen.

1 A. That is my understanding.

2 Q. And it's your understanding that two of these
3 technologies, that is, the programmable CAS latency and
4 programmable burst, are included in SDRAMs and in the
5 JEDEC SDRAM standards; correct?

6 A. Yes.

7 Q. And all four of these so-called Rambus
8 technologies are included in DDR SDRAMs and in the
9 JEDEC DDR standards; correct?

10 A. Yes.

11 Q. And am I right that it's your understanding
12 that programmable CAS latency and burst length as used
13 in SDRAM devices and in DDR SDRAM devices help to
14 reduce the cost characteristics of those devices?

15 A. Yes.

16 Q. It is not your understanding that those two
17 technologies serve to increase the performance or the
18 bandwidth of those devices?

19 A. That statement is true with the understanding
20 that alternative is fixed latency and burst length.

21 Q. Well, let me see if you can answer that
22 question without reference to what the alternatives
23 are. Let me ask the question again.

24 Isn't it correct that you do not understand
25 that those two technologies, programmable CAS latency

1 and programmable burst length, increase the
2 performance or the bandwidth of SDRAM or DDR SDRAM
3 devices?

4 A. I can't answer it unless you say increase
5 compared to what.

6 Q. And you don't understand that those two
7 technologies increase the speed or the bandwidth of
8 these devices compared to earlier generations of DRAM;
9 is that correct?

10 MR. STONE: Objection, Your Honor. Vague and
11 ambiguous with respect to "earlier generations." Also
12 outside the area of this witness' direct testimony and
13 clearly outside the area of this witness' expertise.

14 JUDGE McGUIRE: Sustained.

15 MR. ROYALL: May I be heard, Your Honor?

16 JUDGE McGUIRE: You can be heard.

17 MR. ROYALL: I'm not asking for a technical
18 opinion on this. I'm asking for his understanding.
19 He's made -- he spent most of his direct examination
20 talking about his understanding of technical issues as
21 he learned from Mr. Geilhufe and Dr. Soderman. That's
22 point one.

23 Point two is that this is within the scope of
24 his direct as it relates to his conclusions about
25 market power and which are based in insignificant part

1 on comparing these technologies to alternatives as used
2 in these devices, and that's the reason I'm going into
3 this issue.

4 MR. STONE: And I think what was within the
5 scope of his direct was clearly he compared these
6 features to the various alternatives that
7 Professor McAfee described, but he did not do a
8 comparison with respect to any earlier generations,
9 whatever that's meant to refer to, and it's as to the
10 question about earlier generations that I objected,
11 that it's both vague as framed and there's no
12 foundation for that.

13 JUDGE MCGUIRE: Outside the scope. Sustained.

14 MR. ROYALL: I can reword it to get around that
15 issue.

16 JUDGE MCGUIRE: That's the idea.

17 MR. ROYALL: Well, there were two issues -- I'm
18 sorry -- I understood him to be making.

19 JUDGE MCGUIRE: Right. There are two issues,
20 so right, but it is outside the scope in the context
21 that he's raised the objection.

22 MR. ROYALL: Yes, Your Honor. Thank you.

23 BY MR. ROYALL:

24 Q. Putting aside earlier generations of DRAM, it's
25 your understanding that programmable CAS latency and

1 programmable burst length add to the cost-reducing
2 aspects of SDRAM and DDR SDRAM but not to the
3 performance-enhancing aspects of those devices;
4 correct?

5 A. Correct, compared to fixed latency and burst
6 length.

7 Q. But at one point in time you did understand
8 that programmable CAS latency and programmable burst
9 were in part responsible for increasing the bandwidth
10 or data rate of SDRAM; correct?

11 A. There was an earlier time that I thought that
12 that was so.

13 Q. And you told the commission in your white paper
14 that we saw yesterday that that was true; isn't that
15 right?

16 A. You would have to point me to that.

17 Q. Let me ask you to look at -- and again, I --
18 I'm going to stay clear of anything that would be
19 remotely of a confidential or in camera nature.

20 Let me just focus you on page 5 of that white
21 paper, in the first full paragraph.

22 Just highlight the first sentence or so of the
23 first full paragraph beginning "Rambus' inventions."

24 And you say there, "Rambus' inventions allowed
25 SDRAM, DDR DRAM and RDRAM to run at speeds

1 significantly faster than existing alternatives."

2 Do you see that?

3 A. Yes.

4 Q. And you now understand that that statement is
5 incorrect?

6 MR. STONE: Your Honor, that is an absolutely
7 misleading use of the "Rambus' inventions," which in
8 this portion of this white paper are clearly defined as
9 something other than the four features that have been
10 the subject of the witness' testimony today.

11 JUDGE McGUIRE: Are you saying that that is an
12 incomplete statement?

13 MR. STONE: I think it's a statement taken out
14 of context because right above it --

15 JUDGE McGUIRE: I will then give you an
16 opportunity under the rule of completeness to
17 incorporate whatever else you feel would help to put it
18 in --

19 MR. STONE: Then I'll wait until the question
20 and answer is completed to do that. I'm sorry,
21 Your Honor.

22 JUDGE McGUIRE: All right.

23 BY MR. ROYALL:

24 Q. Now, putting aside when you say in that
25 statement what you say about DDR and RDRAM -- I'm just

1 focusing on SDRAM -- am I correct that you now
2 understand that it is not correct that the Rambus
3 inventions, CAS latency -- programmable CAS latency
4 and programmable burst length, allowed SDRAM to run at
5 speeds significantly faster than existing
6 alternatives?

7 A. Compared with fixed latency, yes.

8 Q. And then referring to page 8 in the same white
9 paper, again the first full paragraph, that first
10 sentence, do you see you refer to -- you say, "While
11 RDRAM is typically hailed as a revolutionary
12 achievement, some of the elements that allow RDRAM to
13 achieve the speeds of which it is capable have also
14 been incorporated into SDRAM and DDR, giving these
15 products speed advantages that substantially
16 differentiate them from prior generations."

17 Do you see that?

18 A. Yes.

19 Q. And you understand that that statement in your
20 white paper is -- you now understand that that is an
21 incorrect statement as relates to SDRAM?

22 A. I have to say that I would write that
23 differently with the understanding that I achieved by
24 subsequent research. I would have --

25 JUDGE McGUIRE: But does that answer his

1 question? Is that incorrect as it applies to SDRAM?

2 THE WITNESS: It is. It is.

3 BY MR. ROYALL:

4 Q. And it's incorrect because the subsequent
5 research that you referred to caused you to understand
6 that the use of those two Rambus technologies in SDRAM
7 added to the cost-reducing elements of that technology
8 but not to the performance-enhancement elements?

9 A. Right. But everything that I said in this
10 regard is on the assumption that the alternative is
11 fixed latency and burst. When you speak about
12 programmability, it's sort of natural to talk about
13 nonprogrammability as the alternative.

14 I'm not saying in fact that I would write this
15 differently. I'm just saying that there are
16 alternatives that have been proposed by complaint
17 counsel and Professor McAfee where the difference
18 between those alternatives and the Rambus technologies
19 of programmable CAS latency and burst length would
20 involve a difference in performance.

21 Q. Now, with respect to -- let's pull this down
22 off the screen.

23 With respect to the dual-edged clocking and
24 on-chip PLL or DLL technologies, which you understand
25 to be included in DDR SDRAM, those technologies you do

1 understand to add to or to enhance the performance or
2 speed of DDR SDRAM devices; is that right?

3 A. Yes. Tremendously in fact.

4 Q. Now, despite the fact that you understand that
5 RDRAM and DDR SDRAM share in common the four Rambus
6 technologies, you understand that there are differences
7 between RDRAM and DDR SDRAM; correct?

8 A. Sure.

9 Q. And it's your understanding that the principal
10 differences relate to the fact that RDRAM uses a
11 packetized signaling transmission and incorporates a
12 narrow bus architecture; is that right?

13 A. Yes. But let me register that my understanding
14 there is imperfect, that I don't know whether that's
15 the complete story.

16 Q. Shifting gears, you agree that formal
17 standardization of technologies can benefit competition
18 and consumers; correct?

19 A. Yes.

20 Q. And one of the potential benefits of formal
21 standardization is that it can help to create a market
22 consensus about which technology to use?

23 A. That is so, within the confines of the solution
24 of compatibility requirements.

25 Q. And when formal standardization has these

1 benefits in terms of helping to create a market
2 consensus, that can lead to reduced costs and reduced
3 uncertainties; correct?

4 A. Yes. Associated with, again, the resolution of
5 compatibility requirements, not making products uniform
6 in all their characteristics.

7 Q. And formal standardization can reduce costs by
8 allowing for the achievement of economies of scale?

9 A. Yes.

10 Q. And in fact you would agree, wouldn't you, that
11 achievement of economies of scale is a benefit of
12 formal standardization in the case of SDRAM, that is,
13 JEDEC's SDRAM standards?

14 A. Yes.

15 Q. And another potential benefit of formal
16 standardization is that it can in some circumstances
17 improve the extent to which products in a given
18 marketplace are compatible with one another?

19 A. That's the principal advantage.

20 Q. And you agree that that kind of compatibility
21 is important when it comes to SDRAMs?

22 A. I'm sorry. What kind of compatibility?

23 Q. Bear with me just a moment.

24 A. Sure.

25 (Pause in the proceedings.)

1 Q. You said that improvements -- well, strike
2 that.

3 Compatibility in terms of helping things fit
4 together better, that's a type of compatibility that
5 you agree is important to the SDRAM marketplace;
6 right?

7 A. Yes, if "things" refer to the compatibility
8 between memory and other parts of the -- of a single
9 device or system.

10 Q. Now, I believe we may have only touched on this
11 subject earlier in connection with your white paper,
12 but let me come back and ask you.

13 You do agree, don't you, that formal
14 standardization can result in enhancing the market
15 value or market power of technologies that are
16 standardized, that can be the effect of formal
17 standardization?

18 A. It can be.

19 Q. But it's your view, isn't it, that this is less
20 likely to occur when the technologies being
21 standardized are so-called revolutionary technologies?

22 A. Yes.

23 Q. And when you use the term "revolutionary" in
24 that context, by that you're referring to a technology
25 that represents a substantial advance in performance

1 relative to older technology or existing or known
2 alternatives?

3 A. Right. Performance -- advancement in
4 performance or a substantial cost saving.

5 Q. And another way of describing what you mean by
6 "revolutionary technology," by that term, is a
7 technology that has no close economic substitutes; is
8 that right?

9 A. Correct.

10 MR. ROYALL: Now, with Your Honor's permission,
11 I'd like to make a few notes?

12 JUDGE MCGUIRE: Go ahead.

13 BY MR. ROYALL:

14 Q. I'd just like to make a couple of notes,
15 Dr. Rapp, on what you mean when you use the term
16 "revolutionary technology."

17 A. Forgive me, Mr. Royall, but I will be able to
18 see it better from that distance if you get a nice,
19 fresh marker. No, you don't have to bring it closer;
20 it's just that it's so faint.

21 JUDGE MCGUIRE: Yeah, your marker is running
22 out there. We're having problems with these markers,
23 the government-issued ones.

24 MR. STONE: I think the government used all the
25 ink in it yesterday.

1 (Discussion off the record.)

2 BY MR. ROYALL:

3 Q. This will be a two-tone slide.

4 Now, just referring to your earlier testimony,
5 the first thing, if you don't object to this, the first
6 thing I was going to write here is "revolutionary
7 technology" -- this is just shorthand -- "revolutionary
8 technology equals substantial advance/no close economic
9 substitutes."

10 A. Fine.

11 Q. Is that all right?

12 A. Yeah.

13 Q. And you agree that revolutionary inventions can
14 be of great value; correct?

15 A. Certainly.

16 Q. Or in an economic sense?

17 A. Certainly.

18 Q. And am I right that there are two circumstances
19 in which in your view a revolutionary invention would
20 have great economic value? Let me ask you -- I'll ask
21 you one first.

22 One is where the invention offers new product
23 characteristics that are desirable to customers who are
24 without alternatives?

25 A. Yes.

1 Q. And the second is where the invention reduces
2 cost in a way that cannot be achieved through other
3 means --

4 A. Yes.

5 Q. -- right?

6 And those are the only two circumstances that
7 in your view a technology can appropriately be regarded
8 as revolutionary?

9 A. As I sit here, yes.

10 Q. And for a technology to be labeled
11 revolutionary, as you use the term, the technology not
12 only must provide benefits, but those benefits must be
13 desired by customers?

14 A. Yes.

15 Q. And so desirability by customers is a condition
16 that must be present for a technology to be
17 revolutionary, as you define the term?

18 A. Yes.

19 Q. So let me make that the second point: "To be
20 revolutionary invention must be desired by customers."

21 And you acknowledge that it's possible that a
22 technology could offer a substantial advance
23 unachievable through alternative technologies where
24 those benefits are nonetheless not desired by
25 customers?

1 A. The possibility exists.

2 Q. And in that case the technology in issue,
3 despite offering benefits unachievable through
4 alternative technologies, would not satisfy your
5 definition of revolutionary; is that right?

6 A. I haven't really thought about it. I'd be
7 willing to go either way on it. It's not crucial to
8 the characteristic of being revolutionary.

9 Imagine that somebody invents a new product and
10 the new product is both different from anything else
11 and awful in some respects so that nobody wants it. It
12 can be both revolutionary and not desirable.

13 I don't have an opinion about that either way
14 really because it doesn't speak to the issue of what is
15 revolutionary and what is not in the everyday sense of
16 the word.

17 Q. Could I ask you to look at your deposition,
18 page 67, your deposition in this case.

19 Focusing on line 19, I asked the question: "Is
20 it also possible that a technology might be
21 revolutionary in terms of permitting a great
22 performance advantage but still not have
23 substantial" -- I'm sorry. I'm reading the wrong
24 question. Strike that.

25 Now, picking up on the prior page, 65, at the

1 bottom of the page -- I'm sorry -- 66, I asked the
2 question starting on line 24, "Would you agree that an
3 invention or product could be revolutionary in the
4 sense that you describe" --

5 A. I'm sorry. Did you say the bottom of 65?

6 Q. 66. I'm sorry. At line 24.

7 A. I'm with you now.

8 Q. I asked the question: "Would you agree that an
9 invention or product could be revolutionary in the
10 sense that you describe in that paragraph" -- and I
11 think I was referring to a paragraph in your report --
12 "but still not be of great value to the market?"

13 And you answer, starting at line 3 on 67: "The
14 only case as a matter of logic that I can think of that
15 to which that would apply is the case of a
16 cost-reducing process that is reducing the
17 manufacturing cost of a product that the market
18 rejects. The prior condition states desirability of
19 consumers -- to consumers as a reason, and that carries
20 with it the implication of value. That's the basis for
21 my reasoning."

22 And then I ask, "Is it possible that a product
23 might be so ahead of its time that it lacked
24 substantial current market value because relatively few
25 customers had current needs or near-term needs for such

1 advanced features or performance?"

2 And your answer is: "Yes, I think that such a
3 thing is possible. I think that it violates the
4 desirable-to-consumers condition, but it could be so,
5 yes."

6 Do you see that testimony?

7 A. Yes, I do.

8 Q. Now, does that in any way refresh your
9 recollection or help you to answer the question that I
10 posed to you earlier, which was whether you agree that
11 for a technology to be labeled as revolutionary, as you
12 use the term, it -- I'm sorry.

13 The question was: And you acknowledge that
14 it's possible that a technology could offer a
15 substantial advance unachievable through alternative
16 technologies where those benefits are nonetheless not
17 desired by customers?

18 A. I'm sorry. I have just lost -- I want to give
19 an answer that's meaningful and I'm not sure what a yes
20 or a no would signify after that, and it's my fault for
21 not following the thread.

22 Q. No. It's my fault, Doctor.

23 The question I had asked you earlier is
24 whether -- was -- that I think caused some
25 complication was whether you acknowledge that it's

1 possible that a technology could offer a substantial
2 advance unachievable through alternative technologies
3 where the benefits are nonetheless not desired by
4 consumers.

5 A. And I believe that I said yes to that.

6 Q. Okay.

7 A. That does not -- we haven't yet invoked the
8 definition of the word "revolutionary" or the phrase
9 "revolutionary technology."

10 Q. And if that were the case, the technology in
11 issue, despite offering a benefit unachievable through
12 alternative technologies, would not satisfy your
13 definition of revolutionary?

14 A. That's what I'm not -- and I think that must
15 be where I stopped following last time. I'm not sure
16 that's right.

17 Q. Well, for it to be revolutionary it has to be
18 desired by customers, and if the technology that we're
19 speaking of is one that is not desired by customers
20 despite offering some significant benefit beyond what's
21 available with alternatives, you would agree that,
22 because the condition of being desired by customers
23 isn't satisfied, it's not revolutionary?

24 A. It's -- it is -- I mean, certainly there are
25 revolutionary technologies that get invented and never

1 make it to the market. It may be that the definition
2 is too broad, and if I have to recant, then I will.

3 People invent things that are revolutionary and
4 don't go to market and customers never know about it.
5 The quality of revolutionary by itself has to do with
6 the advance over prior technologies. The desirability
7 of it is separate from that.

8 JUDGE McGUIRE: Okay. Mr. Royall, I just want
9 to interject here and ask you exactly where you're
10 headed with this line of inquiry, because I'm not
11 cognizant of how pertinent this is to -- or can't we
12 get there in a much quicker fashion?

13 MR. ROYALL: Your Honor, I think that we can,
14 but I do think that the question -- the issue of how
15 the witness defines the term "revolutionary technology"
16 is a very important issue in the context of his
17 testimony in this case.

18 JUDGE McGUIRE: That's fine. I just want to
19 see if you can expedite the examination on that.

20 MR. ROYALL: I'll seek to do so.

21 Let's pull that down.

22 BY MR. ROYALL:

23 Q. You acknowledge, don't you, that a technology
24 might be revolutionary in terms of permitting a great
25 performance advantage but still not have a substantial

1 market value because the performance advantage comes at
2 a comparably high cost?

3 A. Yes.

4 Q. And you agree, don't you, that in the DRAM
5 marketplace the technologically superior alternative
6 does not always win?

7 A. I admit to that possibility.

8 Q. And you acknowledge the possibility that a
9 product that offers dramatic performance improvements
10 may be ahead of its time from the standpoint of what
11 customers are demanding at a given point in time?

12 A. Certainly, yes.

13 Q. And if a technology were so ahead of its time
14 that it was not demanded by customers, that would not
15 satisfy your definition of revolutionary?

16 A. That's where I'm not following. It is -- it
17 could be revolutionary and still not satisfy the demand
18 of customers and satisfy their demand some years later.
19 In other words, there is a time frame that needs to be
20 considered.

21 Q. Okay. But appreciating that, from the -- if
22 you were to evaluate whether something is revolutionary
23 from the standpoint of a time frame in which customers
24 were not demanding the performance characteristics that
25 that technology offered, maybe they would in the

1 future, but from that standpoint, from that point in
2 time, you would not say that that technology is
3 revolutionary at that time?

4 MR. STONE: Your Honor, I object on two
5 grounds.

6 One, it's beyond the scope. I just checked the
7 transcript. The word "revolutionary" was not used at
8 all yesterday in the testimony that this witness
9 provided.

10 And secondly, this line of questioning on the
11 definition of "revolutionary" has now become
12 cumulative.

13 JUDGE MCGUIRE: That's sustained on the ground
14 that it is becoming cumulative. The scope question
15 I'll -- I will hear you on that, Mr. Royall.

16 MR. ROYALL: Well, the -- I believe that this
17 is well within the scope of the direct testimony. The
18 witness, in answering my questions earlier, has agreed
19 that formal standardization is less likely to enhance
20 market power when the technologies are revolutionary.

21 JUDGE MCGUIRE: But that's on your
22 examination. The question is the scope of their
23 examination.

24 MR. ROYALL: Oh, yes, but the question -- the
25 issue related to whether formal standardization leads

1 to market power. That is one of the essential
2 conclusions --

1 A. If they are both revolutionary and desired by
2 customers. Now I'm going to draw a distinction between
3 those two. If they are -- if the characteristic that
4 makes them revolutionary is something that is desired
5 by customers, then the answer is sure.

6 Q. And am I right that it's your view that Rambus'
7 RDRAM technology when it was first introduced into the
8 marketplace was a revolutionary technology, as you
9 define the term?

10 A. Yes.

11 Q. And as you define the term, Rambus' RDRAM
12 technology was more revolutionary than either SDRAM or
13 DDR SDRAM?

14 A. Yes.

15 Q. In your view, DDR is not a revolutionary
16 technology; is that right?

17 A. To the extent that DDR's performance
18 characteristics derive from inventions that were first
19 embodied in RDRAM, then the answer is no. If it's
20 simply a matter of comparison to a previous
21 generation, then the answer -- then that's a different
22 story.

23 Q. Well, you said that to be revolutionary the
24 technology cannot have close economic substitutes;
25 right?

1 A. Right.

2 Q. Did DDR when it was introduced have close
3 economic substitutes?

4 MR. STONE: Your Honor, I do object again that
5 this goes beyond the scope. The question of whether
6 DDR has economic substitutes and the question of how
7 RDRAM did in the market, which seem to be the subjects
8 of this line of examination, are well beyond the scope
9 of the direct.

10 And I don't mean to inhibit the ability to do a
11 cross-examination that brings you back to the issues
12 that were covered on direct, but I do think these
13 issues were not touched on on direct at all and are
14 beyond the scope.

15 JUDGE MCGUIRE: Mr. Royall, I'm really at a
16 point where I'm going to cut you off now. I think
17 you've explored this issue adequately.

18 MR. ROYALL: Your Honor, if I could just be
19 heard on this because I do believe this to be a very
20 significant issue.

21 Putting aside what questions were asked of the
22 witness on direct -- and I'll acknowledge the word
23 "revolutionary" may not have come up -- in the
24 witness' expert report, which the commission's rules
25 require set forth the bases for the opinions and

1 conclusions, the same opinions and conclusions that he
2 testified to yesterday, the bases for those
3 conclusions on market power and other issues included
4 in a very central way this issue of a revolutionary
5 technology and the relevance of that, and so my
6 position would be that because that was a basis for
7 the conclusions that we heard about yesterday -- it
8 may not be a basis that came out on direct, but it was
9 nonetheless a basis and indeed a central basis for
10 those conclusions -- that it would be prejudicial to
11 our case to be deprived an opportunity to conduct this
12 examination.

13 JUDGE MCGUIRE: I think you've had the
14 opportunity. The issue here is how much more time do
15 you feel you need to spend on this and I feel you need
16 to spend on this, so it's not a question of not having
17 had the opportunity. You've been on this now for
18 several minutes.

19 I will inquire of you again, how much more time
20 do you intend to spend on this?

21 MR. ROYALL: I -- I'm happy, Your Honor, in
22 response, to expedite this portion of the examination,
23 but I will say that this is such a central issue that
24 I don't feel like I can leave this issue alone given
25 that it was -- it may be something that was for

1 reasons -- Rambus for their own reasons has chosen not
2 to focus on as a basis, but it is a central basis in
3 the report.

4 But I'm happy to expedite and move through much
5 of what I was planning to cover in deference to
6 Your Honor's preferences, if that's what you would
7 like.

8 MR. STONE: I want to respond only on one
9 point.

10 We don't agree it's central. The witness
11 hasn't said it was central. He may agree or disagree
12 with that point. I don't agree it's central. I
13 disagree only to the extent that Mr. Royall
14 characterizes the report one way or the other.

15 JUDGE MCGUIRE: Ultimately I have to decide
16 whether it is central to the arguments of either side,
17 so you can go into it for somewhat more, Mr. Royall,
18 but I'm just not going to allow you to continue on this
19 for the next, you know, half hour. I'm just not going
20 to let you do it, and then you can make whatever
21 arguments that you want.

22 But I'll give you some more inquiry on this,
23 but you know, you need to decide what questions you
24 want to be sure to cover on this because you just don't
25 have much more time to spend on this, so --

1 MR. ROYALL: I understand, Your Honor.

2 JUDGE McGUIRE: So for the second time I'm
3 going to ask you to expedite this and I'm not going to
4 ask a third time.

5 MR. ROYALL: Thank you, Your Honor. And what I
6 propose to do is to move away from the issue for a
7 moment and then see if I need to come back to it.

8 JUDGE McGUIRE: Okay.

9 BY MR. ROYALL:

10 Q. Let me shift gears for a moment, Dr. Rapp, and
11 ask you about what your understandings or assumptions

1 constraints imposed by the existence of alternative
2 technologies; correct?

3 A. Yes.

4 Q. And some of the alternatives to, let's start
5 with, programmable CAS latency and programmable burst
6 length are in your view close enough alternatives that
7 they provide some competitive constraint on Rambus'
8 ability to increase the royalty rates relating to those
9 technologies?

10 A. Yes.

11 Q. And in your view, there are also competitive
12 constraints imposed by alternatives on Rambus' ability
13 to increase royalty rates relating to the use of its
14 technologies in DDR SDRAMs?

15 A. Yes.

16 Q. And in your view, the competitive constraints
17 on Rambus' ability to raise its royalty rates for SDRAM
18 devices are such that it would not be profitable for
19 Rambus to attempt to raise its current rates, that is,
20 or what you assume to be its current rates above the
21 levels that you've assumed to exist today?

22 A. That's an inference. I mean, I -- among the
23 various opinions that I've offered in the past day and
24 a half, I don't want that to rise to a high level of
25 analysis. I'm assuming that Rambus' royalty rates are

1 constrained by substitutes, and that is the -- that's
2 the extent of my understanding. Or substitution
3 possibilities I should say.

4 Q. You agree that the level of market power
5 associated with a product or technology depends in
6 significant part on the extent to which there are
7 price-constraining alternatives for that technology?

8 A. It depends upon whether there are close
9 economic substitutes. Price-constraining alternatives
10 are -- you know, can be present and can be a force, but
11 it is the -- let's put it this way. It's the closest
12 price-constraining alternative that is the relevant one
13 in response to your question.

14 Q. And you agree that if standard-setting
15 activities were to have the effect of eliminating
16 price-constraining alternatives as commercially viable
17 alternatives, that could have the effect of enhancing
18 the market power of the technology that was
19 standardized?

20 A. In certain circumstances, yes.

21 Q. Now, in explaining your views on market power
22 in this case in your expert report you use something in
23 your report that you refer to as a matrix. Do you
24 recall that?

25 A. Yes.

1 are incompatible?

2 A. Yes.

3 Q. And in a market in which a user or manufacturer
4 can easily substitute between different incompatible
5 technologies, you would say that there are low
6 compatibility requirements?

7 A. Right.

8 Q. And in markets where users or manufacturers
9 cannot easily substitute between different
10 incompatibility -- different incompatible technologies,
11 you would say there are high compatibility
12 requirements; right?

13 A. Right.

14 Q. And in the matrix that we're referring to, the
15 outcomes that you depict in terms of high and low
16 compatibility, those outcomes you think should -- are
17 more appropriately thought of as a continuous spectrum
18 of outcomes from low to high compatibility?

19 A. In reality, they are continuous as opposed to
20 the presentation device of a dichotomous, either/or,
21 yes-or-no matrix, that's correct.

22 Q. And in the matrix that we're referring to, you
23 also considered the extent to which a technology could
24 be categorized as a minimal advance as opposed to a
25 great leap forward; is that right?

1 A. Yes.

2 Q. And by "a minimal advance" you're referring to
3 a technology that has a number of preexisting cost or
4 performance equivalent alternatives?

5 A. Yes.

6 Q. And generally speaking, a minimal advance as
7 you think about it is a technology that absent formal
8 standardization will have low value or low market
9 power?

10 A. Right.

1 desirability by consumers, but generally, yes.

2 Q. Let me ask you to look at your expert report,
3 paragraph -- I'm sorry -- footnote 31, which is on
4 page 14.

5 And am I right that in that footnote of your
6 report you note that your report uses the term
7 "revolutionary" or sometimes "great leap forward" to
8 refer to a substantial advance in performance relative
9 to older technologies or existing or known
10 alternatives?

11 A. Yes. And then it goes on to say, "Thus,
12 revolutionary implies having no close economic
13 substitutes," which satisfies the first of those
14 conditions but not the second on your chart.

15 Q. And you -- but you would define the term "great
16 leap forward" in the same way?

17 A. Yes. As revolutionary, as it appears in
18 footnote 31, correct.

19 Q. We can pull that down off the screen.

20 Now, all things equal, where the technology
21 involved or where the technology that we're considering
22 involves only the most minimal advances,
23 standardization through a formal standard-setting
24 process in your view would have greater potential to
25 add value or market power to the technology?

1 A. All else equal, yes.

2 Q. Now, in terms of this matrix that you've used
3 in describing your opinions on market power to the
4 commission, to other courts and in this case, you have
5 views on how you would characterize the four Rambus
6 technologies that are at issue here in the context of
7 such a matrix; is that right?

8 A. Yes.

9 MR. ROYALL: May I approach, Your Honor?

10 JUDGE McGUIRE: Go ahead.

11 We'd better mark that -- let's mark that as I
12 think it's 326.

13 MR. ROYALL: That sounds right. Thank you.

14 (DX Exhibit Number 326 was marked for
15 identification.)

16 MR. ROYALL: I'll go ahead and mark this one
17 before we go ahead.

18 (DX Exhibit Number 327 was marked for
19 identification.)

20 BY MR. ROYALL:

21 Q. I hope -- I don't know if you can read my
22 writing, but what I've written on this four-quadrant
23 matrix, on the top left I wrote "minimal advance," top
24 right "great leap forward."

25 Does that correspond with the matrix we've been

1 discussing?

2 A. Yes.

3 Q. And in the -- on the vertical axis on the top I
4 wrote "low," referring to low compatibility.

5 Does that correspond with your matrix?

6 A. Yes.

7 Q. And on the bottom I wrote "high," referring to
8 high compatibility --

9 A. Right.

10 Q. -- and that corresponds?

11 And then I'm just going to put arrows up and
12 down on both axes to reflect this concept of these --
13 both of these being continuous spectrums or continuous
14 ranges, as you described earlier --

15 A. Right.

16 Q. -- right?

1 those right here (indicating)?

2 JUDGE McGUIRE: Where is "here"?

3 BY MR. ROYALL:

4 Q. For the record -- I'm sorry -- right on the
5 line between "minimal advance" and "great leap forward"
6 but in the low compatibility range?

7 A. Well, yes, if it's -- it should be a little
8 over from the line, not right on the line. But -- but
9 yes. In other words, not squarely in the "great leap
10 forward" category.

11 Q. So close to the border?

12 A. Yes.

13 Q. Okay. And I'm placing my finger close to the
14 border so --

15 A. I'm understanding that matrixes don't really
16 work that way, but let's put it close to the border.

17 Q. So I've put the -- I've written "R-1" on the
18 far left-hand of the "great leap forward" category but
19 in the low compatibility region, and R-1 to refer to
20 programmable CAS latency and programmable burst
21 length.

22 A. Okay.

23 Q. Does that reflect your views as to where you
24 would place those technologies in this matrix?

25 A. Yeah. Understanding that these are approximate

1 and conceptual and not based on calculation the way
2 some of my other testimony has been.

3 Q. And understanding that, am I right that you
4 would then place the other two Rambus technologies,
5 on-chip PLL/DLL and dual-edged clock, in the middle of
6 the "great leap forward" box on a horizontal --
7 horizontally but still in the same low compatibility
8 region?

9 A. Still in the low compatibility region. "The
10 middle" means squarely in the "great leap forward"
11 category.

12 Q. So I've written "R-2" to refer to that and then
13 I've just defined R-2 to refer to DEC, or dual-edged
14 clock, and PLL/DLL.

15 Now, am I right that at an earlier point in
16 your thinking about the issues in this case you would
17 have placed all four of the Rambus technologies on this
18 matrix in essentially in the place where I've written
19 "R-2" in this box? Is that right?

20 A. That is correct.

21 Q. And you later, based on further analysis and
22 review of facts or understanding of facts, you later
23 came to the view that the programmable burst length and
24 programmable CAS latency technologies should be moved
25 further to the left as reflected in DX-327; is that

1 right?

2 A. Yes.

3 Q. And that's because over time you gained a
4 fuller or more complete understanding of the extent to
5 which those technologies satisfy the great leap forward
6 or revolutionary definition as you defined that
7 definition earlier?

8 A. Yes.

9 Q. Now, as we've noted earlier, as you noted
10 earlier, this matrix that I've drawn up here, this is
11 my effort to characterize or to depict it, but this --
12 essentially this same matrix is something that you have
13 used in various written submissions in addition to your
14 expert report in this case?

15 A. Yes. As a device for explaining in general
16 terms the relationship between compatibility
17 requirements, the degree to which a technology
18 leapfrogs earlier or extends beyond earlier
19 technologies and the likelihood that standardization
20 will enhance market power.

21 Q. And within the framework of your analysis and
22 in your opinions, the placement of these technologies
23 in the low compatibility region of this matrix is quite
24 significant, is it not?

25 A. Yes, it is.

1 Q. And it's significant because within the
2 framework of your analysis, placing these technologies
3 in the low compatibility region makes it far less
4 likely that formal standardization of these
5 technologies would enhance the market value of the
6 technologies; is that right?

7 A. Right.

8 Q. But in another case, in the Infineon case, you
9 took the position that when it comes to positioning
10 SDRAM on the same matrix, the compatibility
11 requirements were sufficiently high that SDRAM should
12 be positioned on the bottom row of the matrix, that
13 is, in the high compatibility region; isn't that
14 right?

15 A. That was not my position. That was a single
16 question and answer in a deposition and either it was a
17 mistake on my part or it was -- it had to do with the
18 context of the question.

19 If you -- if you were to look at my Infineon
20 expert report, you would see that I did not have a
21 change of heart or I didn't have an earlier opinion
22 that was different from my current opinion at all about
23 compatibility requirements, notwithstanding a question
24 that reads as you describe.

25 MR. ROYALL: May I approach, Your Honor?

1 JUDGE McGUIRE: Go ahead.

2 BY MR. ROYALL:

3 Q. Dr. Rapp, I've just handed you a copy of your
4 deposition in the Infineon case, and let me ask you, if
5 you could, to turn to page 128.

6 A. I just need to pause for one second if I may.
7 It will take me a little longer to get to that page.

8 Q. Oh, sure.

9 (Pause in the proceedings.)

10 Just tell me whenever you're ready.

11 A. Sure. Just give me a second.

12 (Pause in the proceedings.)

13 128 you said.

14 Q. Yes.

15 And I don't think that the copy that we have
16 here has line references. By my count, I would like to
17 start at what is line 12, which is the first question
18 on page 128 of that transcript.

19 A. I'm with you.

20 Q. And the version of it that's on the screen does
21 have line references and it shows that I was actually
22 off in my count. It's line 13.

23 A. Uh-huh.

24 Q. And starting with that line, my question was:

25 "And if you do need the same device in order to

1 accomplish what you need, then we're in the situation
2 where there is high compatibility requirements?"

3 I'm sorry. This was not my question; it was
4 the lawyer's question in the Infineon case.

5 A. Right.

6 Q. Your answer: "Yes.

7 "QUESTION: In order for the system to work,
8 the machine that you're putting it into to work?

9 "ANSWER: Right.

10 "QUESTION: Now, SDRAM has to work in a
11 specific way. It interacts with the microprocessing
12 unit. It interacts with other parts of the computer.

13 "ANSWER: Right.

14 "QUESTION: And so SDRAM, if you change some
15 part of SDRAM, it may impact how the other parts of the
16 computer perform; correct?

17 "ANSWER: I agree."

18 We're now on page 129.

19 MR. STONE: Your Honor, I move to strike this
20 line of questioning and object to it on the grounds it
21 does not impeach. The witness admitted that he gave
22 testimony in his Infineon deposition and this
23 testimony is not at all impeaching. He's admitted
24 that he gave testimony in his Infineon deposition just
25 as he was asked by Mr. Royall initially, and the

1 reading from that deposition transcript does not
2 impeach him.

3 JUDGE McGUIRE: Mr. Royall?

4 MR. ROYALL: Your Honor, I submit that it does
5 impeach because he did not merely say that he gave
6 testimony, but he said that he gave testimony and it
7 did not involve placing these technologies in a
8 different region on the matrix, and that's what I'm
9 seeking to do through this impeachment --

10 JUDGE McGUIRE: Overruled.

11 MR. ROYALL: -- is to show that he did.

12 BY MR. ROYALL:

13 Q. So picking up on page 129, the question was:
14 "So SDRAM is a situation where there are high
15 compatibility requirements?"

16 "ANSWER: I agree.

17 "QUESTION: So we're now no longer in the top
18 row of your chart; we're now in the bottom row of your
19 chart?"

20 "ANSWER: Right.

21 "With respect to SDRAM?" was the question.

22 "ANSWER: Correct.

23 "QUESTION: And so with respect to SDRAM now,
24 we're in a situation where standards may be important
25 if the technology is a minimal advance, and standards

1 preceded by colloquy about the difference between parts
2 compatibility and network compatibility.

3 But insofar as I answered that question yes to
4 the proposition that RDRAM technology belonged in the
5 high compatibility region of the matrix, that was
6 wrong. My -- I'm sure that my expert report in
7 Infineon did not indicate that that was so. And that
8 would not have been my opinion if we were -- if I had
9 testified at trial.

10 Q. Just to be clear, you made a reference to
11 parts compatibility versus systems compatibility;
12 right?

13 A. Right.

14 Q. The matrix that I've drawn here and that you've
15 used in other reports, it doesn't -- it doesn't relate
16 to parts compatibility.

17 When you use the term "compatibility" in this
18 context, you're referring to systems compatibility;
19 right?

20 A. I'm referring to compatibility in general, but
21 right in the sense that high compatibility requirements
22 implies -- sorry -- that it -- I'm going to start that
23 answer again.

24 The reference is to compatibility requirements
25 in general on that matrix, and generally speaking,

1 when you see high compatibility in the lower half of
2 that matrix, what I have in mind is network
3 compatibility.

4 Q. So when you answered the question of the
5 Infineon lawyer and said so SDRAM -- the question was:
6 "So SDRAM is a situation where there is high
7 compatibility requirements?

8 "ANSWER: I agree.

9 "QUESTION: So we're no longer in the top row
10 of your chart; we're in the bottom row of your chart?

11 "ANSWER: Right."

12 When you said that, you were saying that SDRAM
13 was in the high compatibility, meaning high network

subject4.lupthes 13 was in 3 compatlis hi8 RRAM is a s
3 compatlrement, yomarketplacecompatibility requ19ity requAjTYesjTT R

1 time; isn't that right?

2 A. They have.

3 Q. You are not of the view any longer that a
4 single, large, sophisticated purchaser of DRAM could
5 realistically specify its own requirements for memory;
6 is that right?

7 A. Correct. I think that a single microprocessor
8 manufacturer, Intel, can specify essentially its own
9 standard and have the industry follow along, but I do
10 not think that it is true either of the manufacturer or
11 a consumer, that is to say, a buyer of DRAM.

12 Q. And am I right that you acknowledge that there
13 are advantages to commoditization of DRAMs?

14 A. Yes.

15 Q. And you agree that it would be contrary to the
16 economics of the DRAM industry for a single DRAM
17 manufacturer to attempt to develop a unique
18 specification for DRAM at the cost of losing benefits
19 of commoditization?

20 A. I just need to have that back again.

21 (The record was read as follows:)

22 "QUESTION: And you agree that it would be
23 contrary to the economics of the DRAM industry for a
24 single DRAM manufacturer to attempt to develop a unique
25 specification for DRAM at the cost of losing benefits

1 of commoditization?"

2 THE WITNESS: The answer is yes with the
3 following qualification. I think that it would
4 probably go against the economics of the industry for a
5 single DRAM manufacturer to define a specification that
6 would be incompatible with other DRAM of that
7 generation. But I do not -- but that does not mean
8 that individual DRAM manufacturers cannot diversify
9 their products in ways that do not affect
10 compatibility so that we can have product diversity in
11 that market.

12 BY MR. ROYALL:

14 compatibility requirements within the context of this

17 which standard-setting creates value?

18 A. No. What I'm really trying to get at in that
19 particular respect is whether it is possible to have
20 multiple flavors, multiple specifications, not
21 necessarily many, but a few, so that the -- and it is
22 the overall matrix that speaks to the issue of value.
23 The connection between-- I'm sorry.

25 likely to enhance value in markets in which multiple

1 industry standards can coexist; is that right?

2 A. Yes.

3 Q. And in a market in which compatibility
4 requirements are low, it would be more likely that
5 multiple standards could simultaneously coexist?

6 A. Right. Multiple standards or specifications,
7 correct.

8 Q. And in your view, standardization is more
9 likely to enhance value -- I'm sorry. Let me restate
10 that.

11 And in your view, standardization is more
12 likely to enhance value in markets in which industry
13 standards either cannot or do not coexist; right?

14 A. Just read it back for me, please.

15 Q. I can restate it.

16 A. It's clear. I just lost the thread.

17 Q. That's fine.

18 In your view, standardization is more likely to
19 enhance value in markets in which multiple industry
20 standards either cannot or do not coexist?

21 A. Yes.

22 Q. And in a market in which compatibility
23 requirements, as you define that term, are high, it
24 would be more likely that there would be only one
25 dominant standard in the marketplace; is that right?

1 A. Yes. At the extreme -- again, this matrix
2 talks about the limits, minimum advance, great leap
3 forward, high compatibility at the extreme, low
4 compatibility. The answer is yes.

5 Q. So in a way, isn't the compatibility
6 requirements consideration a proxy for the importance
7 of having a single industry standard in the relevant
8 marketplace?

9 A. It's not a proxy. It is a -- it is a -- there
10 is a causal economic connection. By using
11 compatibility requirements, I am trying to, in this,
12 what is essentially a teaching device, trying to make
13 clear what the issue is underlying the possibility of
14 having multiple standards.

15 Q. And would you agree that in a market in which
16 historically there were, say, to pick a number,
17 twenty competing standards, each with 5 percent of the
18 market, that type of market is one that you would place
19 on the extreme low end of the compatibility
20 continuum --

21 A. Yes.

22 Q. -- right?

23 And a market, by contrast, in which
24 historically there were only one dominant standard
25 accounting for 90 percent or more than 90 percent of

1 the market, you would put that type of market on the
2 extreme high end of the compatibility continuum, maybe
3 not the very end but up toward the end of the high
4 end?

5 A. Well, if that were necessary as a result of the
6 compatibility requirements rather than for some other
7 reason, the answer is yes. If that were an outcome
8 that would be required in all circumstances, the answer
9 is yes. If that condition couldn't be violated, if
10 there always had to be only one, then the answer is
11 yes.

12 Q. Isn't it true, Dr. Rapp, that the historical
13 evidence in the DRAM industry strongly suggests that
14 this is an industry in which having a single dominant
15 standard is important?

16 A. No. I think that it is an industry in which
17 the recent history of the standards set within JEDEC
18 suggest that they do things one at a time, but it is
19 not an industry in which the economics and the
20 engineering requirements, insofar as that I understand
21 them, compel a situation where you can't have
22 coexisting different specifications of DRAM.

23 Q. Well -- and you have, as part of developing
24 your opinions in this case, you've considered the
25 market shares attributable to different DRAM

1 architectures over time; is that right?

2 A. I have looked at that subject, yes.

3 Q. And that's something that you report in
4 Exhibit 3 to your expert report; is that right?

5 I'll give you a moment to look at that.

6 If I can clarify that, the data on historic
7 market shares of different DRAM architectures is
8 something that you report in that exhibit to your
9 expert report.

10 A. Right.

11 MR. ROYALL: If I could approach the easel?

12 JUDGE MCGUIRE: Fine.

13 BY MR. ROYALL:

14 Q. Now, referring to that exhibit to your expert
15 report, Exhibit 3, am I right that the numbers that you
16 report there are broken down by DRAM architecture for
17 the years 1994 through 2006?

18 A. Yes.

19 Q. And the numbers given for 2002 and beyond are
20 forecasted numbers as opposed to historic numbers; is
21 that right?

22 A. Correct.

23 Q. And the numbers for the prior years 1994 to
24 2001 are historic revenue data for different
25 architectures; is that right?

1 A. Yes.

2 Q. Now, I'd like to make some notes based on that
3 information, if you could follow along with me.

4 Am I right that for 1994 your Exhibit 3 reports
5 that the leading DRAM technology by market share was
6 fast page mode?

7 A. Yes.

8 Q. And in that year you report that fast page mode
9 had a 96.7 percent share?

10 A. Yes.

11 Q. So I'm going to write my notes here, DX-3 --

12 JUDGE McGUIRE: 328.

13 MR. ROYALL: 328. Thank you.

14 (DX Exhibit Number 328 was marked for
15 identification.)

16 BY MR. ROYALL:

17 Q. I'm just going to round that off to 97 percent.
18 And I round down, too. I'm not only rounding
19 up.

20 Now, in 1995 fast page mode was still the
21 leading technology and you report that in your
22 Exhibit 3; right?

23 A. Yes.

24 Q. And in that year you report that it had an
25 87.2 percent share; is that right?

1 Q. I'll round that to 61 percent.

2 And then in 1999 you report that SDRAM again
3 had the highest share and in that year it was a
4 69.3 percent share; is that right?

5 A. Yes.

6 Q. So 69 percent for SDRAM.

7 And in 2000 you report that SDRAM again had the
8 highest share and it was a 78.4 percent share; is that
9 right?

10 A. Right.

11 Q. So 78 percent for SDRAM in that year.

12 And then the final year for which you report
13 historic data, 2001, again SDRAM had the highest share
14 at 69.7; is that right?

15 A. Yes.

16 Q. So round that to 70 percent for SDRAM.

17 And since what we've been talking about here
18 are the shares of the leading technology, I'm just
19 going to title this Shares of Leading DRAM
20 Technologies, and I think the years are apparent from
21 the exhibit.

22 Now, I'd be happy to give you a calculator -- I
23 think we have a calculator -- if you want it. But I'm
24 told that if you average these numbers that the average
25 comes out to be 71.25 percent.

1 Would you like to verify that?

2 A. I'd be happy to assume it if an assumption
3 would suffice.

4 Q. I think that's fine, and the record will
5 reflect that I represented that to be true, and I'm
6 just going to write "average equals" and round that as
7 well to 71 percent.

8 Now, doesn't this data from your report

1 or anything like that. The following year, just one
2 year later, SDRAM is in the market and has captured, if
3 I'm reading this right, a 33.5 percent share and FPM
4 isn't gone with either.

5 So what we've got in any given year is the
6 market being divided among incompatible standards.
7 Now, I don't know whether that's true of FPM and EDO,
8 but it seems to me that it teaches exactly the opposite
9 thing, that there is no technological requirement that
10 only one standard has to dominate.

11 Q. Dr. Rapp -- are you finished?

12 A. I am.

13 Q. Dr. Rapp, isn't it true that you are unaware of
14 any time in the last 13 years, going back to 1990, in
15 which there was not a single dominant standard in the
16 DRAM industry?

17 A. If by "dominant" we mean one higher than the
18 other, yes, but if -- but you can't look at those
19 numbers and take that statement to mean that there is
20 no coexistence of different standards in the market.
21 The numbers say the opposite.

22 Q. It is your understanding, is it not, that at
23 every point since 1990 there has been a single dominant
24 standard in the DRAM industry?

25 JUDGE McGUIRE: Okay. Mr. Royall, I'm going to

1 ask you as to how you define the term "dominant,"
2 because he just answered that question based on his
3 understanding, so I guess we need to ask yours now.

4 MR. ROYALL: I'm happy to withdraw the question
5 and accept his earlier answer on that.

6 JUDGE McGUIRE: Thank you.

7 MR. STONE: Your Honor, are we -- I don't mean
8 to interrupt, but are we getting at a break point?

9 MR. ROYALL: Very close. I was about to
10 suggest that actually.

11 BY MR. ROYALL:

12 Q. Now, I understand that you have things to say
13 about economic theory and you have things to say about
14 what maybe the historical data show, but focusing just
15 first on the historical data -- and I have one or two
16 questions here and then we can take a break -- you're
17 not saying that this historical data shows that this is
18 a marketplace in which multiple standards have
19 simultaneously coexisted?

20 A. That's exactly what I'm saying. That's
21 precisely what I'm saying.

22 Q. Isn't it true, Dr. Rapp, that you admit that
23 the DRAM industry is not full of examples of multiple
24 standards coexisting?

25 A. It's --

1 Q. At least within the same generation?

2 A. Ah. No. It's an industry -- I agree with

3 that. It is an industry that one generation sron srof0 sc(4(

1 the ones that I gave earlier, FPM and EDO, but they
2 are both within a single generation and they both
3 coexist.

4 JUDGE MCGUIRE: Okay. Very good. I think this
5 is a good time then to take a break. We'll be off the
6 record for ten minutes.

7 MR. ROYALL: Thank you, Your Honor.

8 (Recess)

9 JUDGE MCGUIRE: At this time you may proceed
10 with your inquiry, Mr. Royall.

11 MR. ROYALL: Thank you, Your Honor.

12 BY MR. ROYALL:

13 Q. I'd like to move on to another subject,
14 Dr. Rapp.

15 A. Uh-huh.

16 Q. Am I right that it's your conclusion that
17 Rambus' challenged actions or what you understand to be
18 Rambus' challenged actions at JEDEC did not affect
19 JEDEC's choice of memory technology?

20 A. Yes.

21 Q. And you believe or it's your conclusion that in
22 a but-for world in which Rambus had disclosed all of
23 the patent-related information that complaint counsel
24 contends it failed to disclose or wrongfully failed to
25 disclose that in such a but-for world the disclosure of

1 Rambus technologies?

2 A. That's correct. I hadn't studied the balloting
3 and so forth.

4 Q. And you didn't know, for instance, whether
5 prior to their ultimate adoption there was any
6 opposition within JEDEC to the use of any of those four
7 technologies?

8 A. Right.

9 Q. And you didn't know whether any alternatives
10 to those four technologies were discussed within
11 JEDEC?

12 A. Right.

13 Q. And you didn't know which companies in
14 particular were most vocal about promoting any
15 particular alternatives?

16 A. Right.

17 Q. And you didn't know --

18 MR. STONE: Your Honor, I object. The line of
19 questioning about what JEDEC did or didn't do was a
20 line of questioning to which Mr. Royall objected on the
21 grounds it was not covered by his expert report, and I
22 was not permitted to question about this area.

23 Having foreclosed my questioning on this area,
24 his efforts to go back into the -- I understand he made
25 an argument earlier that Mr. Rapp had not done a

1 detailed analysis of JEDEC's behavior, which he
2 admitted, but to go into this detail is beyond the
3 scope of the direct and inconsistent with the
4 objections earlier made by complaint counsel.

5 JUDGE MCGUIRE: Mr. Royall.

6 MR. ROYALL: Yes, Your Honor. My objection
7 was simply that I didn't believe the witness should be
8 permitted to testify as to matters that aren't in his
9 expert report and it was a perfectly appropriate
10 objection. What I'm doing now is demonstrating that
11 he reached a conclusion without certain information,
12 which I think is perfectly appropriate
13 cross-examination.

14 JUDGE MCGUIRE: Overruled. I will hear it on
15 that basis.

16 BY MR. ROYALL:

17 Q. And you didn't know, Dr. Rapp, when you
18 reached that conclusion which companies in particular
19 were most vocal about promoting any particular
20 alternative?

21 A. Right.

22 Q. And you didn't know what pros or cons may have
23 been discussed within JEDEC relating to any given
24 alternative?

25 A. Yes.

1 Q. And that's because you did not look at the
2 evidence, that is, before completing your expert
3 report, you did not look at the evidence relating to
4 the process through which JEDEC made the decisions that
5 it in fact did make in developing the relevant
6 standards?

7 A. All of that is correct.

8 Q. And so you developed your opinions about the
9 commercial viability of various alternatives without
10 having any understanding as to why JEDEC in fact chose
11 the four Rambus technologies over any alternatives that
12 it may have considered?

13 A. Yes. And that is because the commercial
14 viability and substitution qualities of those
15 alternatives are independent of what got said in
16 JEDEC.

17 Q. Now, don't you agree, Dr. Rapp, that knowing
18 the reasons behind JEDEC's selection of -- and let's
19 focus on SDRAM for the moment -- but knowing the
20 reasons behind JEDEC's selection of SDRAM as the
21 standard, the current formulation of SDRAM, knowing the
22 reasons behind JEDEC's selection of SDRAM as a standard
23 is something that would be important for the purpose of
24 evaluating the economic soundness of whether a given
25 alternative in the but-for world would or would not

1 have been attractive to JEDEC?

2 A. No. My job is to provide an economic analysis
3 of substitution based upon cost-performance, and it
4 is -- it's not necessary for me to know about the
5 JEDEC process or the opinions of JEDEC members in
6 order to make my contribution to the record in this
7 case.

8 Q. Let me ask you, if you can find it in front of
9 you, if you could take a look at the rebuttal report
10 that you submitted in the Micron case which we briefly
11 touched on yesterday.

12 A. I have it.

13 Q. Let me ask you to turn to page 6 of that
14 report.

15 A. I'm with you.

16 Q. And in this report you were setting forth your
17 critiques and comments on the Micron expert's economic
18 conclusions, that is, Professor Carlton's conclusions;
19 is that right?

20 A. Uh-huh. Yes.

21 Q. And in the first paragraph on page 6 you
22 state, "Knowing the reasons behind JEDEC's selection
23 of SDRAM as the standard is important for evaluating
24 the economic soundness of the assumption that the
25 members would have switched to an alternative

1 technology if Rambus' potential future royalty demands
2 were disclosed at the time the SDRAM standard was
3 being set."

4 Do you see that?

5 A. Yes.

6 Q. That was a statement that you made in the
7 context of criticizing Professor Carlton's work or his
8 conclusions; is that right?

9 A. Yes.

10 Q. And in that case Professor Carlton offered the
11 conclusion that if Rambus made the patent disclosures
12 to JEDEC that it has been argued it failed to make or
13 should have made, JEDEC would have switched to
14 alternatives, that was the conclusion he was offering?

15 A. Right.

16 Q. And what you were saying here was that, in the
17 course of criticizing Professor Carlton, was that in
18 your view an economist cannot offer sound economic
19 conclusions about what JEDEC would or would not have
20 done in terms of switching to alternatives without
21 knowing the reasons behind JEDEC's selection of the
22 SDRAM standard; right?

23 A. All of that takes place in the absence of the
24 kind of information that Mr. Geilhufe and Dr. Soderman
25 provided. This critique of Professor Carlton had to do

1 with the fact that the two of us were opposed to one
2 another as experts in this trial and Professor Carlton
3 had proposed that there were alternatives to the Rambus
4 technology without stating what those alternatives

1 to alternatives because you submitted that he didn't
2 know the reasons behind JEDEC's selection of SDRAM as
3 the standard; right?

4 A. In a different context than this one, right.

5 Q. And you have offered conclusions in this case
6 about what JEDEC would have done in a but-for world in

1 at issue here and that have been allegedly not
2 disclosed in a wrongful way, your opinion that if that
3 were to have happened in a but-for world JEDEC would
4 not have altered its memory technology choices in these
5 standards, in developing that opinion, am I right that
6 you also did not give consideration to JEDEC's specific
7 processes or rules for dealing with patent
8 disclosure-type issues?

9 A. Well, I understood in general terms what they
10 were, but I didn't delve into them in forming that
11 conclusion.

12 Q. When you wrote your report in this case, isn't
13 it true that you did not have any understanding one way
14 or the other as to whether JEDEC's rules impose any
15 limitations on the ability of JEDEC committees to adopt
16 standards that incorporate patented or patent-pending
17 technologies?

18 A. I don't recall. I think I must have had some
19 information, but if that's what I testified to, then
20 I'll stand by it.

21 Q. Well, let me ask you to take a look at your
22 deposition --

23 A. Okay.

24 Q. -- in this case, page 196.

25 And I'll just refresh your recollection on what

1 you did testify to in this case.

2 A. Let me catch up.

3 Q. Oh, I'm sorry.

4 A. That's all right.

5 Q. Starting on line 7, my question was: "Do you
6 have an understanding as to whether JEDEC's rules
7 impose any limitations on the ability of JEDEC
8 committees to adopt standards that incorporate patented
9 or patent-pending technology?"

10 "ANSWER: I don't. Sorry, I don't have that
11 particular understanding."

12 Do you see that?

13 A. Yes. I'd just like to look behind that a
14 little bit. The "yes" was to that I've seen it.

15 Have I got a different pagination here or am I
16 looking at the wrong document?

17 Q. I'm sorry.

18 If I could approach, Your Honor.

19 The transcript from this case is this document
20 (indicating). You may be looking at the Infineon one.

21 A. Thank you.

22 Q. And it's page -- I'm sorry. I thought we were
23 on the same page. It's page 196.

24 A. Just let me catch up and have a look a bit at
25 what surrounds that. 196?

1 Q. Yes.

2 A. I see the question and answer. Just let me
3 refer you back, if I may, to the preceding page.

4 There's a question that reads as follows:
5 "Assuming Rambus had disclosed to JEDEC that it
6 possessed patents or patent applications that related
7 to JEDEC's standardization work, do you have an
8 understanding as to how, in terms of its process, JEDEC
9 would have responded to such disclosures?"

10 And I answered: "I understand that at some
11 point -- and I don't know where, if the -- that a
12 request, if that is the right word, for an assurance
13 that licenses would be granted on a reasonable and
14 nondiscriminatory basis would be requested, that the
15 request would be made."

16 The reason that I'm reading that is because
17 that's the antecedent to your question. It does
18 bespeak some understanding about what would go on in
19 JEDEC under these circumstances. And the later
20 question sort of assumed that had been taken care of I
21 think.

22 Q. And just to complete this before we leave this
23 page, immediately after what you read, I asked the
24 question on the bottom of page 105 starting at line 21:
25 "Do you have any further understanding of what would

1 have happened in terms of JEDEC's process had Rambus
2 disclosed the existence of relative patents or patent
3 applications?"

4 And you answered, "No."

5 A. Right.

6 Q. Okay. Now, when you developed your opinions as
7 to what JEDEC would have done in a but-for world in
8 which Rambus had made the challenged disclosures or
9 nondisclosures, you were not aware of anything in
10 JEDEC's rules or in its procedures that might have
11 precluded JEDEC from using Rambus' technologies if they
12 ranked higher on a cost-performance basis than all
13 alternative technologies; is that right?

14 A. Right.

15 Q. And when you developed your opinions, you were
16 not aware of whether in the history of JEDEC there has
17 ever been a situation in which a company had disclosed
18 a patent or patent application to JEDEC and JEDEC
19 proceeded to adopt that proprietary technology as part
20 of its standard?

21 A. Right.

22 Q. I'm sorry. You said "right"?

23 A. Uh-huh.

24 Q. Now, let me move to the subject of lock-in, and
25 in that regard let me ask you to take a look at -- we

1 can put this on the screen -- DX-317, which is a slide
2 that was presented in connection with your testimony,
3 your direct testimony yesterday.

4 Can we blow that up? Is that -- that's not
5 what I had marked as DX-317.

6 Okay. Now I think we're on the same page.

7 Do you see the slide on the screen, Dr. Rapp?

8 A. Yes.

9 Q. This is a slide that you prepared relating to
10 your opinions on switching costs and generally the
11 subject of lock-in; is that right?

12 A. Yes.

13 Q. And you say here -- and the title of this slide
14 is Switching Costs Are Relatively Low, and that is your
15 opinion, that the switching costs that are relevant to
16 your economic analysis of the markets at issue here are
17 relatively low?

18 A. I didn't hear the question. My economic
19 analysis of?

20 Q. It's your opinion that the switching costs that
21 are relevant to your economic analysis in the context
22 of the markets at issue here, that those switching
23 costs are relatively low?

24 A. Yes.

25 Q. And the categories of costs that you refer to

1 on this slide, DX-317, are design costs, qualification
2 costs and phototooling costs.

3 Do you see that?

4 A. Yes.

5 Q. Is it your opinion that those are the only
6 relevant categories of cost to look at from the
7 standpoint of assessing switching costs in the context
8 of this case?

9 A. For this particular technology, yes.

10 Q. Are there additional switching costs that you
11 would look at in context of other technologies?

12 A. The -- what I'm doing here is using an SDRAM
13 example. Whatever it was that Mr. Geilhufe recorded
14 about switching with respect to the two DDR
15 technologies that don't appear in SDRAM, for example,
16 they might be included.

17 Q. Well, without referencing Mr. Geilhufe's
18 testimony, can you tell me whether there are other
19 switching costs that you believe are relevant to
20 consider besides these three costs either in the
21 context of these technologies or other technologies?

22 A. To the extent that we are speaking about
23 switching from other Rambus technologies, then the --
24 then any up-front costs associated with that switch are
25 appropriate. I don't think that there are any costs

1 other than design, qualification and phototooling, but

1 the fixed latency and fixed burst length
2 technologies --

3 A. Yes.

4 Q. -- is that right?

5 And would it be relevant from an economic
6 standpoint to consider such costs on a per-manufacturer
7 basis or a per-plant basis?

8 A. Certain of the costs if more than one line were
9 involved or more than one plant were involved might
10 conceivably be greater.

11 I don't know what Mr. Geilhufe's assumption was
12 about phototooling. Oh, he -- yes, his assumption was
13 that this was phototooling associated with a given
14 product run, so I think he has -- I don't know what he
15 assumed about the number of plants, but presumably the
16 phototooling that he was reckoning with was for a full
17 production run, so the answer is that whether it's at
18 the manufacturer level or the plant level is immaterial
19 to me. It's been taken account of.

20 Q. And the only basis that you have for the
21 numbers that you report here is Mr. Geilhufe; is that
22 right?

23 A. Right.

24 Q. And you don't present an example I don't
25 believe or you have not presented an example of the

1 costs associated on a -- for a single manufacturer
2 associated with switching from the technologies used in
3 DDR to alternative technologies?

4 A. That's right. And I haven't presented other
5 alternative SDRAM alternatives other than fixed burst
6 and CAS latency. But the numbers are characteristic,
7 looking at Mr. Geilhufe's tables, the design costs that
8 are listed and qualification costs are all in the same
9 magnitude.

10 Q. You agree, don't you, that from the standpoint
11 of assessing lock-in in this case it's important to
12 look beyond the cost of a single manufacturer and to
13 take into account the costs that would be borne by the
14 entire DRAM manufacturer or the minimum multiple DRAM
15 manufacturers?

16 A. You could multiply this as needed by the number
17 of manufacturers.

18 Q. Now, if the DRAM industry as a whole or
19 multiple DRAM manufacturers were to seek to work around
20 Rambus' technologies by defining alternative versions
21 of the SDRAM and DDR SDRAM standards, you acknowledge,
22 don't you, that for that effort to be successful, in
23 terms of leading to commercially viable alternative
24 products, there would have to be changes in other
25 products besides DRAMs for that to be a viable avenue;

1 is that right?

2 A. Yes, I agree.

3 Q. And if a group of DRAM buyers or the DRAM
4 industry as a whole were to attempt to pursue this
5 round of developing alternative standards to work
6 around Rambus' patents, they would need to coordinate
7 with manufacturers of other products; correct?

8 A. Yes.

9 Q. They would have to coordinate with the makers
10 of microprocessors; right?

11 A. Yes.

12 Q. The makers of chipsets?

13 A. Yes.

14 Q. Motherboards?

15 A. Socket makers. Motherboards, I'm -- I guess
16 you could include that, but I'm not really sure.

17 Q. You're not sure?

18 A. Certainly the makers of sockets if different
19 pin configurations are required.

20 Q. Do you know, Dr. Rapp, who Mr. Richard Heye
21 is?

22 A. I read testimony of his, but I don't recall
23 his affiliation. I know that he testified in this
24 trial.

25 Q. Okay. So you have read trial testimony from

1 Mr. Heye?

2 A. Yes.

3 Q. Mr. Heye is an executive of AMD. I don't know
4 if that refreshes your recollection, but I'll represent
5 that to you.

6 A. Sure.

7 Q. Let me pull up, if we have it, the
8 demonstrative exhibit that Mr. Heye created at trial.
9 Can we blow that up so that he can -- so we all can see
10 this?

11 Can you see that on the screen?

12 A. Yes.

13 Q. Have you seen this demonstrative exhibit
14 before? This is DX-30.

15 A. Yes, I have.

16 Q. Does that demonstrative exhibit help you in
17 any way in identifying what other component
18 manufacturers other than the ones that we've
19 identified so far, microprocessors, chipsets, socket
20 makers -- and you're unsure about motherboards -- but
21 other than those manufacturers, does this exhibit help
22 you to identify any other manufacturers that --
23 component manufacturers that DRAM producers would have
24 to coordinate with in order to successfully develop
25 alternative versions of the JEDEC standards that work

1 around Rambus patents?

2 A. No. Not without knowing what the alternatives
3 are and which components are implicated by the change.

4 Q. Let's pull this down.

5 Have you calculated the switching costs that
6 would be associated with changes to any other products
7 other than DRAM products in the event that there were
8 an effort to work around the JEDEC -- work around the
9 Rambus patents through alternative JEDEC standards?

10 A. No.

11 Q. Have you even considered what the costs might
12 be to chipset manufacturers, microprocessor
13 manufacturers, socket manufacturers or anyone else?

14 A. No. I have considered that coordination
15 efforts and changes in an industry as dynamic as the
16 computer industry take place all the time, and I infer
17 from that that costs to these other makers of
18 complementary goods would for the most part be
19 accomplished within the framework of continually
20 changing your products.

21 Q. Are you saying, Dr. Rapp, that from the
22 standpoint of assessing lock-in on this case that you
23 don't think that it's relevant to consider or quantify
24 what coordination difficulties there would be or costs
25 that would be borne by manufacturers of products other

1 than DRAM?

2 A. No. I think it's appropriate to consider that
3 but that it is also a fair inference that the order of
4 magnitude of those costs are going to be the likes of
5 which I have described in connection with my SDRAM
6 example rather than the magnitudes that
7 Professor McAfee spoke about when he talked
8 about billion-dollar fabs and things like that.

9 Q. What basis do you have to speak to the
10 magnitude -- relative magnitude of the costs that
11 would be borne by non-DRAM manufacturers in the event
12 of a change of the sort we're describing? What basis
13 do you have to compare the magnitude of that to the
14 magnitude of the costs that would be borne by DRAM
15 manufacturers?

16 A. The understanding that circuitry is subject to
17 continual change in the computer industry and that
18 switching costs are, generally speaking, relatively low
19 when there is -- when change is routine, in the same
20 way as in the DRAM industry.

21 Q. Have you read any trial testimony in this case
22 that suggests that the manufacturers of products other
23 than DRAMs would experience costs, would have to incur
24 costs if the JEDEC standards were changed to work
25 around Rambus' technologies?

1 A. I don't recall testimony, but I'm sure that
2 that's so.

3 Q. And have you not taken account of such
4 testimony in developing your views on switching
5 costs --

6 A. I have. I haven't quantified those costs, but
7 in my statement that switching costs are low, I haven't
8 seen evidence to the contrary.

9 Q. Do you know who Mr. Andy Bechtelsheim is?

10 A. I'm sorry. Say the name again.

11 Q. Andrew Bechtelsheim. Are you familiar with
12 that name?

13 A. I'm not.

14 Q. Are you aware that a Mr. Andrew Bechtelsheim
15 testified in this case?

16 A. Yes.

17 Q. Did you read his testimony?

18 A. I did not.

19 Q. I believe you may have said on your direct
20 testimony that costs -- I don't want to misstate your
21 testimony, but let me just ask you this and tell me if
22 this is your view or not -- that costs to be
23 meaningful must be subject to quantification. Is that
24 your view?

25 A. Yes. I do believe that.

1 Q. You think that's a sound view from the
2 standpoint of economics?

3 A. Yes, I do.

4 Q. So if a cost cannot be quantified, it's not
5 subject to quantification, from the standpoint of
6 economics, you think that's a meaningless cost?

7 A. I think it is -- I think that it is not subject
8 to analysis. I don't know what the word "meaningless
9 cost" is, but I agree that and I stated I believe
10 correctly that quantification is required when costs
11 are discussed.

12 Q. You say that costs if they can't be quantified
13 are not subject to analysis, and yet in answers you
14 just gave me a moment ago you said that it's your view
15 that the costs, costs that you haven't quantified, to
16 non-DRAM makers of a change of the sort that we've been
17 describing are low relative to the costs to DRAM
18 makers. That's your conclusion; right?

19 A. No. Not relative to the cost of DRAM makers,
20 relative to the costs that Professor McAfee spoke of.
21 If you heard -- if I said that the costs of
22 manufacturers were lower than the costs of DRAM makers,
23 I didn't intend that.

24 Q. Oh, so the costs to non-DRAM makers of the
25 change of the sort that we're describing could actually

1 be higher than the costs of the DRAM makers; is that
2 right?

3 A. Yes. But in the same -- the same magnitude.
4 In other words, in the millions of dollars, not the
5 tens of or hundreds of millions of dollars.

6 Q. If you haven't quantified those costs,
7 Dr. Rapp, how can you offer an opinion that the costs
8 would be in the same magnitude?

9 A. It is an inference based upon my understanding,
10 and it is only an understanding, of computer
11 technology.

12 Q. And is that a meaningful inference, meaningful
13 economic inference, despite the fact that you haven't
14 quantified those costs?

15 A. It is less meaningful than the quantification
16 inference.

17 Q. You would agree, don't you, that among the
18 types of costs to consider in a lock-in analysis
19 relevant to this case would be the costs associated
20 with sunk investments?

21 A. Only insofar as those sunk investments would
22 need to be replaced with other investments, and it is
23 the replacement costs that I -- that are the relevant
24 costs. It is not the historic sunk costs that are
25 relevant.

1 Q. And have you considered whether in the event of
2 a change in the JEDEC standards to work around Rambus'
3 patents either DRAM manufacturers or other
4 manufacturers would be required to replace sunk
5 investments?

6 A. I am confident that that is not the case for
7 DRAM manufacturers, and that is based upon discussions
8 with Mr. Geilhufe and his testimony about what the
9 costs of substituting alternatives are, and so far as
10 other manufacturers, non-DRAM manufacturers, I have
11 only an inference to draw on that.

12 Q. You haven't looked at the evidence that bears
13 on that issue?

14 A. I have not.

15 Q. And you haven't quantified the amount of any
16 such sunk costs if they exist?

17 A. Correct. But again, it is not the sunk costs
18 that matter; it is the going-forward costs associated
19 with substituting for whatever sunk investments are
20 abandoned in some hypothetical.

21 Q. You say it's not the sunk costs that matter.
22 Let me show you something.

23 I apologize, Your Honor.

24 May I approach?

25 JUDGE MCGUIRE: Yes.

1 BY MR. ROYALL:

2 Q. I've just handed you a document, Dr. Rapp. Do
3 you recognize this document?

4 A. Yes.

5 Q. Am I right that these are slides that you
6 presented to the commission prior to the complaint
7 being voted out in this case along with the white paper
8 that we touched on yesterday?

9 A. Right.

10 Q. I don't want to discuss this at any length, but
11 I would like to ask you to look at page --

12 MR. STONE: Your Honor, Mr. Royall yesterday
13 made reference to presentations made to the commission
14 before the complaint was voted out. My concern is that
15 he's trying to suggest an argument that the commission
16 has already ruled on this issue based on a presentation
17 that was made precomplaint, and I want to just be
18 clear -- I don't think that would be proper for him to
19 do so and I don't think that's what he means to
20 suggest, but if there was any such suggestion or if we
21 might hear some argument later, I'd want to address it
22 with a line of questioning that I otherwise think is
23 probably not pertinent here.

24 JUDGE McGUIRE: Mr. Royall?

25 MR. ROYALL: Whether one could make any such

1 suggestion, I'm not meaning to. And so that's not --
2 the only reason I draw that distinction is just to make
3 clear that this is not something that he prepared in
4 the context of after he was retained in the case.

5 MR. STONE: Thank you. That's all I wanted to
6 clarify.

7 JUDGE MCGUIRE: And so clarified.

8 MR. STONE: I appreciate it.

9 BY MR. ROYALL:

10 Q. And I simply want to ask you to refer to page 2
11 of these slides, white -- slides that accompanied your
12 white paper.

13 And do you see on that page, in discussing the
14 question of how standards can affect market power of
15 intellectual property, at the bottom of the page, you
16 say "by affecting scarcity of alternatives through
17 increasing the cost of alternatives" and you have a
18 reference to lock-in and a bracket that encompasses
19 three things, sunk investments, switching costs and
20 coordination difficulties?

21 A. Right.

22 Q. Do you see that?

23 A. Yes.

24 Q. And this is something that you presented to the
25 commission in the context of essentially the

1 investigation that led to this very case; right?

2 A. Sure.

3 Q. Now, you said a moment ago -- I forget exactly
4 what your testimony was, but I thought you said that
5 sunk investments are not a relevant consideration for
6 lock-in.

7 A. I didn't say that they were not a relevant
8 consideration. I said that you have to think about
9 them in the right way, and it is -- all I'm referring
10 to is the same considerations to which I testified in
11 my direct testimony when I was talking about coal
12 plants. It is not the fact of abandoning a plant that
13 is the relevant cost; it is what you have to do to
14 replace the capacity.

15 So yes, sunk investments are important, sunk
16 in the sense that they're not irreversible, but if
17 there were -- in other words, I'm sure that if I was --
18 if discussion of this with commissioners took place,
19 my opinion would be the same, and I think -- while I
20 won't represent what all economists agree or don't
21 agree, but there is a general proposition in economics
22 that sunk costs are irrelevant for economic
23 decision-making.

24 And the significance of sunk costs in this
25 context is based upon an assumption that it would be

1 costly to replace what is abandoned. That's the only
2 point. Not that sunk costs are irrelevant.

3 Q. Okay. Thanks for that clarification.

4 A. Sure.

5 Q. The only -- of the various categories that you
6 refer to here inside the bracket that leads to lock-in,
7 the only type of costs that you have quantified in this
8 case and even then only by way of example are switching
9 costs; right?

10 A. What I have quantified is switching costs.
11 What I understand is that there are not sunk
12 investments that would be abandoned and have to be
13 replaced in connection with switching technologies.
14 And coordination difficulties I believe are
15 continually resolved among DRAM manufacturers and the
16 manufacturers of complementary goods. That's my
17 opinion.

18 Q. Now, is it possible, Dr. Rapp, that the
19 aggregate costs that would be borne by non-DRAM
20 manufacturers in the event of a change to work around
21 Rambus' technologies, is it possible that the aggregate
22 costs that would be borne by non-DRAM manufacturers
23 might actually exceed the costs that would be borne by
24 the DRAM manufacturers --

25 A. Yes.

1 Q. -- given such a change?

1 Mr. Mailloux, and I think because of the length of this
2 examination, by the time we're done with it, it will be
3 pretty late today.

4 What we now think our schedule would be -- and
5 let me take a minute and run it past you -- we won't
6 have any witness after Dr. Rapp is concluded today. We
7 will call Professor Teece the first thing tomorrow
8 morning. I expect we'll get to the point where
9 cross-examination of Professor Teece starts tomorrow
10 but will not conclude.

11 Complaint counsel have advised us that they
12 have no objection to starting, if the court would allow
13 us, starting a little earlier on Friday at 9:00. We're
14 going to call Mr. Wiggers, Hans Wiggers, who's a
15 third-party witness, who will be quite short. We can
16 put him on at 9:00, interrupt Professor Teece so
17 that -- Mr. Wiggers is retired -- so that he can
18 testify and get home, and then complete Professor Teece
19 on Friday.

20 And what we thought we would do on Monday is we
21 will just have the deposition testimony on Monday of
22 Mr. Brown, which is very short, and of Mr. Mailloux,
23 which is some video and some reading, which is about
24 two hours, and then we will call our last witness on
25 Tuesday.

1 JUDGE McGUIRE: So you anticipate a short day
2 on Monday.

3 MR. STONE: I do, Your Honor.

4 JUDGE McGUIRE: Okay. Good. Because I have
5 another engagement that I need to squeeze in sometime
6 between tomorrow and Monday, so perhaps that's when
7 I'll try to do that.

8 MR. STONE: We will certainly on Monday,
9 barring something completely unexpected, be done by the
10 lunch break and really sooner than that I believe.

11 JUDGE McGUIRE: Very good.

12 Then let's take a break. In any event, then
13 we'll be done here today by 5:00 I suspect?

14 MR. ROYALL: I'm not sure -- well, I would
15 certainly expect so, yes.

16 JUDGE McGUIRE: Because if we -- I was only
17 going to take maybe an hour break today for lunch so we
18 don't go too late, but is that good?

19 MR. STONE: I think that's best.

20 JUDGE McGUIRE: Then let's convene back here at
21 1:30.

22 MR. ROYALL: Thank you, Your Honor.

23 (Whereupon, at 12:25 p.m., a lunch recess was
24 taken.)

25

1 A F T E R N O O N S E S S I O N

2 (1:31 p.m.)

3 JUDGE McGUIRE: At this time we'll continue
4 with the cross-examination of the witness.
5 Mr. Royall.

6 MR. ROYALL: Thank you, Your Honor.

7 BY MR. ROYALL:

8 Q. Dr. Rapp, I'd asked you earlier what types of
9 non-DRAM devices might have to be changed in order to
10 accommodate a change in the JEDEC standards to work
11 around Rambus' patents. You mentioned I believe CPUs,
12 chipsets, sockets, and you weren't sure whether
13 motherboards might or might not --

14 A. Only because I'm not sure whether -- when
15 people speak of motherboards, what they mean is a bunch
16 of different devices mounted on the main circuit board
17 of a computer, and I don't know whether for any
18 substitution of an alternative the motherboard itself
19 needs to be changed.

20 Q. Let me ask you about that.

21 In assessing the lock-in question, have you
22 considered the specific type of change that would have
23 to be made to go to any given alternative that's been
24 raised as a possibility in the case?

25 A. I -- the -- I haven't considered, with the

1 exception of the example that I gave, anything other
2 than the general fact that it would be circuitry design
3 changes.

4 Q. Let's pull up a document that was marked as a
5 demonstrative exhibit with Professor McAfee's
6 testimony. I think it's DX-221. Let's try to blow
7 that up a little bit, make it easier to see the
8 demonstrative, the picture here.

9 Do you recall this demonstrative, Dr. Rapp?

10 A. Yes.

11 Q. And you'll see that there are references to
12 various components types of products that relate to --
13 in some way to DRAMs. And let me ask you, starting
14 with connectors -- do you see the reference to
15 connectors?

16 A. Yes.

17 Q. On the top?

18 A. Yes, I do.

19 Q. Do you know what a connector is, as that term
20 is used in reference to DRAM?

21 A. I think that it refers to what I was calling
22 sockets.

23 Q. And so you've already said that you understand
24 that sockets might need to be changed to accommodate a
25 new DRAM standard; right?

1 A. That would depend upon what alternative was
2 substituted.

3 Q. And depending on what alternative was
4 substituted, is it possible also that hard drive
5 storage would have to be changed to accommodate such a
6 change?

7 A. Yes, depending upon the alternative.

8 Q. Is it possible that modems might have to be
9 changed depending on the alternative?

10 A. Yes.

11 Q. And is it possible that memory modules,
12 referring now to the bottom left, might have to be
13 changed depending on the alternative?

14 A. Yes.

15 Q. And would your answer be the same for graphics
16 cards?

17 A. Yes.

18 Q. And graphics subsystems?

19 A. Yes.

20 Q. And CDROM/DVD drives?

21 A. Yes. In all cases. Possible depending upon
22 the alternatives.

23 Q. Okay. Thank you.

24 We can pull that down, and let's go back to
25 Dr. Rapp's DX-317.

1 This is the slide we were discussing earlier
 2 as one of your slides that presents your -- the
 3 example of switching costs that we were discussing
 4 earlier.

5 A. Yes.

6 Q. When you were assessing the amount of
 7 switching costs that might be entailed by changes to
 8 work around Rambus' patents, did you take into account
 9 the issue of whether DRAM manufacturers at a given
 10 time might have different densities of products in
 11 production?

12 A. I am not sure. I'm inclined to punt because
 13 the word "density" is not one with which I'm familiar.

14 Are you talking about the size of the DRAM?

15 Q. Well, just before I tell you what I understand
 16 density to be, and I don't purport to be the expert,
 17 but you don't understand what the term "density" means
 18 in reference to DRAMs?

19 A. I think in terms of size when I think in terms
 20 of memory, you know, in order to understand what it means, and without

22 15 Arstdoory, n't underststs t at a gther Dar.

2 13RAM manufactrers nyat a gt time might hmultiexamar.

24 16 densitiesout ta t proyourbe, a'I tbecahou war.

Waldorf, Marylbe,unt

1 densities of SDRAM in production at one time?

2 A. If we're talking about the same thing, then the
3 answer is yes.

4 Q. And do you have an understanding of how many
5 densities it is common for a single DRAM manufacturer
6 to have in production at one time?

7 A. I think perhaps fewer than four. I'm not sure.
8 In the low single digits is what I believe to be the
9 case.

10 Q. And isn't it true that for a DRAM manufacturer
11 to avoid Rambus' patents, if the DRAM manufacturer were
12 to seek to do that, they would need to make changes to
13 each of the densities of SDRAM or DDR that they had in
14 production in order to avoid the patents?

15 A. Yes.

16 Q. And in assessing lock-in, did you consider
17 whether avoiding Rambus' patents with respect to each
18 density of product in production would require
19 multiples of the lock-in costs that, for instance, you
20 give as an example in DX-317?

21 MR. STONE: Your Honor, could I just -- the
22 question may be vague. Could I just inquire whether
23 when Mr. Royall uses the word "density" he means it to
24 mean size, 256-megabit or 512, as the witness was using
25 it earlier, and not some other term, because I know

1 we've heard the term used in different contexts in the
2 course of trial.

3 JUDGE McGUIRE: Mr. Royall?

4 BY MR. ROYALL:

5 Q. Well, let's -- using that term as you
6 understand it to refer to 256-megabit or 512-megabit --

7 A. I don't want to be guilty of getting it wrong.
8 I said I use "size" for that. If that's what you
9 intend by "density," that's fine, but I can't certify
10 that the -- that density refers to that
11 characteristic.

12 Q. Okay. Well, referring to size in that way, the
13 256 megabits or 512 as an example --

14 A. Sure.

15 Q. -- did you consider in assessing lock-in costs
16 whether for each of those different sizes of an SDRAM
17 or DDR SDRAM a manufacturer would have to incur
18 multiples of the switching costs that you describe as
19 an example here on DX-317?

20 A. Well, the answer is yes. It depends upon the
21 technology to which we switch.

22 Remember what I've done is I've taken a
23 technology that involves the substitution of twelve
24 parts for one. That's the nature of substituting
25 fixed latency and burst for programmable latency and

1 burst.

2 So what I reckon is that if we were talking
3 about another technology that involved only a hundred
4 thousand dollars of design costs, for example, that the
5 amount for that might be less than this. But I
6 acknowledge that this is for -- this is starting with
7 one part, this example, and if we were talking about a
8 manufacturer who had to start with three different
9 parts, then whatever the switching costs were, whether
10 it was this amount or a smaller amount, then it would
11 be multiplied by the number of parts that they were
12 starting off with.

13 Q. And I believe you agreed earlier that the costs
14 that were presented here in DX-317 were for a single
15 manufacturer and to the extent that these changes were
16 being made across the industry by multiple
17 manufacturers you would have to multiply the costs by
18 the number of manufacturers that were incurring such
19 costs; right?

20 A. Yes.

21 Q. And do you have an understanding of how many
22 DRAM manufacturers there were in, to pick a year,
23 1995?

24 A. Between five and ten major ones.

25 Q. And what about, to pick another year, the year

1 2000? Do you have an understanding of how many?

2 A. Fewer than that because of mergers.

3 Q. Is time a relevant consideration in an analysis
4 of the costs of lock-in?

5 A. Time -- time isn't a cost, but time is a
6 relevant consideration in considering lock-in, yes.

7 Q. You say time is not a cost, but wouldn't you
8 agree that from the standpoint of economics time can
9 impose costs?

10 A. That in certain circumstances that when time
11 is expended that it can have cost consequences, that
12 the expenditure of time can have cost consequences,
13 yes.

14 Q. Have you considered how long it would take
15 either the DRAM industry as a whole or multiple
16 participants in the DRAM industry to agree upon a
17 single or uniform approach for working around Rambus'
18 patents if that were to be attempted?

19 A. I did consider that.

20 Q. You did?

21 A. Yes.

22 Q. And what amount of time do you believe or have
23 you assumed that that would take?

24 A. I've assumed that that would take no more time
25 than normal redesign efforts take.

1 As I've said before, this is an industry, both
2 the DRAM industry and the larger components industry,
3 where technical change happens with high frequency and
4 redesigns occur with high frequency, and I took for my
5 assumption the fact that the changes that would be
6 necessary to create and implement new designs involving
7 the substitution of these alternatives could be done in
8 a time frame of normal redesigns.

9 Q. And when you say normal redesigns in the DRAM
10 industry, what specifically, what type of redesigns are
11 you referring to?

12 A. I'm talking about either process changes,
13 redesigns in connection with die shrinks, or other
14 sorts.

15 Q. And again, just to make it clear we're talking
16 about the same thing, my question was solely focused on
17 the time it would take for multiple DRAM participants
18 to agree upon an approach to working around Rambus'
19 patents, not to implement it but to agree upon it. And
20 did you understand, in your testimony earlier, did you
21 understand my question that way?

22 A. I thought you were speaking of both agreement
23 and implementation.

24 Q. I'll get to implementation, but have you
25 considered separately the time it would take multiple

1 DRAM participants to agree upon an approach, a uniform
2 approach for working around Rambus' patents, if they
3 were to seek to do that?

4 A. No.

5 Q. Do you know how long it took JEDEC to agree
6 upon the SDRAM specification from the start of the
7 process to the end of the process?

8 A. No.

9 Q. Do you know how long it took JEDEC to agree
10 upon the DDR specification from the start of the
11 process to the end of the process?

12 A. If memory serves, something on the order of
13 about three years.

14 Q. Do you have any views as to how big a change
15 was involved in moving from SDRAM to DDR?

16 A. I have a sense that although the two are
17 connected generations of DRAMs that the change was very
18 substantial, that it was a major effort because every
19 single feature of the chip, except for the basic memory
20 array, needed to be considered as to whether it would
21 change or whether it would remain the same. That's
22 different from changing a single attribute or two
23 attributes of a standard.

24 Q. Well, is it different as in the case of DDR
25 changing four attributes of the standard?

1 A. I'm sorry. I don't understand the question.

2 Q. I'm referring to the four Rambus technologies
3 that would need to be worked around in order to create
4 a noninfringing version of DDR.

5 A. But the question doesn't carry with it the
6 implication that those are the -- I'm sorry.

7 Let me hear the question again.

8 JUDGE MCGUIRE: Could the court reporter please
9 read back the question.

10 MR. ROYALL: Your Honor, to expedite things --

11 JUDGE MCGUIRE: All right. Go ahead,
12 Mr. Royall.

13 BY MR. ROYALL:

14 Q. Have you considered how a change to work
15 around the Rambus patents in SDRAM or DDR SDRAM would
16 compare in magnitude to the changes that were entailed
17 in JEDEC moving from an SDRAM standard to DDR
18 standard?

19 A. I am assuming, and it is merely an assumption,
20 that it would take far less time to consider changes in
21 four characteristics than it would to consider changes
22 in most characteristics of a new generation of DRAM,
23 such as DDR SDRAM.

24 Q. You say that that's an assumption that you're
25 making.

1 What is the factual basis for that assumption?

2 A. The factual basis for that assumption is
3 simply an understanding that there are many, many
4 times more characteristics that would need to be
5 considered to set the entire DRAM standard for a new
6 generation of DRAM than there would be to consider
7 those four changes.

8 In other words, whether changes take place or
9 not from one generation to the next, I assume that
10 specifying or setting the standard for a new generation
11 requires a consideration of many features, and the
12 factual basis for that is my review of the JEDEC
13 standards and the report on DDR-II that bears
14 Mr. Macri's name.

15 Q. That's something that you looked at after you
16 completed your expert report in this case; is that
17 correct?

18 A. That's correct.

19 Q. And you understand that in moving from SDRAM to
20 DDR JEDEC added at least two specific features,
21 dual-edged clocking and on-chip PLL/DLL; right?

22 A. Yes.

23 Q. Can you name any other specific features that
24 JEDEC added in moving from the SDRAM to the DDR
25 standard?

1 A. I cannot. But if I had Mr. Macri's report, I
2 could name a lot of characteristics of DDR SDRAM that
3 were considered by JEDEC and needed to be addressed in
4 the course of setting the DDR-II standard, at least
5 according to that report. Subjects other than and
6 more numerous than the two additional Rambus
7 technologies embodied in DDR SDRAM -- I'm sorry. Go
8 ahead.

9 Q. The Macri report you're talking about --

10 A. Yes, it was about DDR-II.

11 Q. -- it's about DDR-II; it's not about SDRAM to
12 DDR?

13 A. I'm sorry. That was a mistake. So it's just
14 the JEDEC DDR-II standard that I have to base my
15 opinion on.

16 Q. All right. Well, let's put DDR-II aside.

17 A. The DDR standard.

18 Q. Do you have any understanding as to what
19 features other than dual-edged clocking and on-chip
20 PLL/DLL were added when JEDEC moved from SDRAM to the
21 DDR SDRAM standard?

22 A. The answer is that I do not have any specific
23 knowledge of that.

24 Q. Do you know whether there were features added
25 in the move from SDRAM to DDR other than dual-edged

1 clocking and on-chip PLL/DLL?

2 A. I do not specifically know.

3 Q. Now, you said that you -- as part of your
4 lock-in analysis you haven't considered specifically
5 the amount of time it would take for multiple DRAM
6 manufacturers to agree, putting aside implementation,
7 but to agree upon a uniform approach to working around
8 Rambus' patents; right?

9 A. Right.

10 Q. But you have considered how long it would take
11 to implement an approach to working around Rambus'
12 patents once there had been an agreement on such an
13 approach; right?

14 A. I have to say I am vague on whether or not it
15 is once there had been an agreement or whether it
16 includes that agreement.

17 Q. And you say you're vague because what you have
18 in mind is not your own analysis of this issue but
19 Mr. Geilhufe's analysis?

20 A. No. It's because what I have in mind is the
21 routine -- is the interval, the routine interval of
22 design changes in the DRAM industry to which those
23 outside the DRAM industry have to accommodate as well.

24 Q. But your understanding as to whatever this
25 routine interval is is based on something you learned

1 from Mr. Geilhufe?

2 A. No. It's based upon testimony in -- well,
3 Mr. Geilhufe did -- yes, that's right. It was either
4 Mr. Geilhufe or Dr. Soderman who told me about this,
5 who informed me about this initially. Since then,
6 there has been trial testimony about this subject.

7 Q. Do you have any understanding as to whether
8 either Mr. Geilhufe or Dr. Soderman were involved in
9 any way in JEDEC's process of defining the SDRAM or DDR
10 standard?

11 A. I understand that they were not.

12 Q. Now, am I right that you have not as part of
13 your lock-in analysis sought to separately quantify any
14 costs associated with the period of time it would take
15 to either agree upon an approach for working around
16 Rambus' patents or to implement such approach?

17 A. Right. For reasons that I've already given.

18 Q. Do you agree that opportunity cost is a
19 relevant consideration from the standpoint of assessing
20 lock-in?

21 A. Opportunity costs of what, I'd be inclined to
22 ask.

23 Q. Well, can you think of any opportunity costs or
24 are you aware of any testimony that would suggest that
25 there are any opportunity costs that might arise in the

1 course of DRAM manufacturers or other component
2 suppliers seeking to work around Rambus' patents?

3 A. Well, the opportunity cost that comes to mind
4 is the opportunity cost of engineers and devotion of
5 their activities to working around the Rambus patents
6 in your example.

7 Q. And are you aware of any trial testimony on
8 that subject?

9 A. Certainly I know the subject has come up. I
10 don't recall -- bear with me a second.

11 I think that Professor McAfee had something to
12 say about that.

13 Q. Are you aware of any trial testimony on that
14 subject from participants in the DRAM industry who
15 testified in this trial?

16 A. Not at the moment, no.

1 representing that this relates to testimony, but just
2 to take a number -- let's say that it would take ten
3 engineering -- the term we use is full-time equivalents
4 or --

5 A. Sure.

6 Q. -- more antiquated term I think would be
7 man-years or engineering years -- to work around
8 Rambus' technologies, so we're talking about ten
9 engineers -- years of -- ten engineer years.

10 A. Right.

11 Q. And let's say that each engineer makes a
12 hundred thousand dollars, so without discounting it for
13 the time value of money or anything else, let's just
14 assume that we're talking about a million dollars in
15 terms of the engineering years quantified by the
16 salaries of these engineers to work around Rambus'
17 patents.

18 A. Right.

19 Q. A million dollars?

20 A. Uh-huh.

21 Q. Now, do you think that that would, from an
22 economic sense, would be the relevant calculation of
23 the opportunity -- the full extent of the opportunity
24 costs that a DRAM manufacturer might incur if it were
25 to devote ten engineer years to working around Rambus'

1 patents?

2 A. Yeah, I can't think of anything else. In other
3 words, in the assumption that you've -- that you've
4 given me.

5 Q. From the standpoint of economics, would you
6 conclude that the benefit to an employer is equal -- of
7 an employee's time is equal to the salary that that
8 employee makes, or is it possible -- let me add to
9 that.

10 A. Sure.

11 Q. -- or is it possible in an economic sense that
12 the employer gains more value or surplus from the
13 employee's time beyond what salary the employee is
14 paid?

15 A. Well, the surplus is ptir1 a9kea tharA2huyis

1 the DRAM manufacturer would be suffering some setback
2 in its business in terms of what those engineers might
3 otherwise be doing to advance the interests of that
4 company in developing other products or in doing other
5 things that they might otherwise be doing? Do you --
6 will you agree to that proposition?

7 A. Yes. But you would have to agree to the
8 proposition that the devotion of engineers to that
9 purpose, if it were value creating for them in saving
10 them the costs, for example, of paying a royalty, is --
11 that there's a symmetry there that they could be --
12 that their next best alternative next to that could be
13 lower and less valuable to the employee than their
14 employment in working around the Rambus patents.

15 Q. Isn't it possible that the cost in terms of
16 opportunity cost of forgoing the other work that these
17 ten engineers or ten engineer years would have gone to
18 within the company, that the opportunity cost of that
19 to the company shifting those resources to this project
20 would have caused the company to incur costs
21 potentially far exceeding the salaries associated with
22 those ten engineer years?

23 A. Why would the -- I'll have to answer the
24 question why would they not hire more engineers if
25 there were value to be created by employing more in

1 that fashion.

2 Q. Have you considered whether there might be any
3 form of scarcity in the market for engineers
4 knowledgeable about DRAM design issues? Have you
5 considered that issue?

6 A. Yeah, I've considered it, and I considered that
7 it is reflected in the wage in your assumption about a
8 hundred thousand dollars.

9 Q. Is it your testimony, Dr. Rapp, that the DRAM
10 industry is not today and never has been locked into
11 JEDEC's SDRAM standards?

12 A. I can't speak to never has been. That's --
13 that goes beyond the scope of my studies, so the answer
14 is no.

15 JUDGE MCGUIRE: Well, there's two questions
16 there, whether it's either not today or I think never
17 has been. Your answer seems to speak to the latter.
18 I'm not sure if you answered, you know, the former,
19 though.

20 MR. STONE: And I just want to object that as
21 to lock-in as to the SDRAM standard, if counsel meant
22 that as opposed to the two technologies at issue here
23 that are in the standard, it does go beyond the scope
24 of the direct. I'm not sure whether he meant to do
25 that or not.

1 MR. ROYALL: Well, I can re-ask the question to
2 accommodate both issues.

1 give me just a minute and I'll see if I can be
2 helpful.

3 Q. Just so the record is clear, you're referring
4 to the statistics attached in exhibits to your report?

5 A. Yes. Exhibit 3 to my expert report.

6 I would say I guess in looking at this that
7 we're not there yet, so it would be sometime in the
8 future that the lock-in effect arising from end of life
9 for SDRAM would occur, not in 2003.

10 Q. Now, you recall, don't you, Dr. Rapp, that the
11 figures that you present in Exhibit 3, they don't
12 present actual historic figures for 2002 or 2003, those
13 are forecasted numbers?

14 A. Right.

15 Q. So how can you say that we're not there yet
16 based on forecast -- you can't say that, can you, based
17 on forecasted numbers, because you don't know whether
18 those numbers square with reality, do you?

19 A. That's fair. That's fair.

20 Q. So without having the actual numbers in front
21 of you, you can't really answer that question; is that
22 fair?

23 A. I think that's right. The reason I can't
24 answer it is because SDRAM may not be in fact at the
25 end of its life. You're right.

r yr?

1 Q. What would the -- what would you have to see in
2 the actual historic numbers in terms of market share
3 for SDRAM to cause you to conclude that it had reached
4 its -- the end of its life cycle?

5 A. Market share is less relevant than actual
6 shipments, but a diminution of, to very, very small
7 volume, of shipments.

8 Q. Do you have any particular volume numbers in
9 mind?

10 A. No.

11 Q. Now, if we could go to slide -- this is DX-320.
12 It's one of the slides that was presented in connection
13 with your direct testimony.

14 If we could blow that up a little bit.

15 Do you see this slide on your screen?

16 A. Yes.

17 Q. Now, you set forth in this slide, DX-320, your
18 understanding of the relevant economic considerations
19 for assessing whether conduct is predatory or
20 exclusionary; is that right?

21 A. Yes.

22 Q. And you say here that one of the hallmarks of
23 exclusionary conduct is evidence of short-run actions
24 that would be contrary to self-interest but for an
25 adverse impact on competitors; is that right?

1 e-mail, between Richard Crisp and one of the lawyers
2 advising JEDEC (sic) about the advisability of
3 remaining in JEDEC, and the concerns had to do with
4 jeopardizing -- with Rambus jeopardizing its future
5 patent position.

6 Q. Okay. So in your answer you've said that
7 you've seen a dialogue of this sort that you recall
8 being advising JEDEC (sic) abwer you've said

m3Lo2†

1 potential that a failure to disclose could jeopardize
2 Rambus patents but rather the potential that a
3 disclosure could jeopardize Rambus patents?

4 A. Right.

5 Q. Right?

6 A. Yes.

7 Q. And you can't tell me, you can't point me to
8 any specific evidence that you've seen that during the
9 time Rambus was a member of JEDEC that anybody at
10 Rambus actually had that concern, can you?

11 A. Correct.

12 Q. Now, let me ask you the alternative question.

13 Have you seen evidence that Rambus during the
14 time it was a member of JEDEC did have concerns or that
15 others associated with Rambus, like its lawyers, did
16 have concerns that the failure to disclose
17 patent-related information to JEDEC might potentially
18 jeopardize Rambus patent claims?

19 A. No. I can't say that either. The right
20 phrasing for my purposes is that JEDEC's continued
21 participation in JEDEC by whatever meaning, for
22 whatever reasons and whatever activities that
23 comprehends would jeopardize Rambus' patents, not
24 disclosure issues.

25 Q. Are you aware of any evidence that during the

1 time Rambus was a member of JEDEC that the company's
2 either in-house or outside patent counsel advised
3 Rambus of the potential that failure to disclose
4 patent-related information could lead to so-called
5 equitable estoppel risks?

6 A. You're asking me whether I recall?

7 Q. Yeah, whether you're aware or you recall any
8 such evidence.

9 A. Not to that specific degree, no.

10 Q. Are you aware of any evidence that any Rambus
11 lawyer during the time it was a member of JEDEC advised
12 Rambus of the potential that failure to disclose
13 patent-related information could lead to potential
14 antitrust risks?

15 A. No. Again, the answer is the same because I
16 don't recall the subject of disclosure in the dialogue,
17 merely that of participation in JEDEC's
18 standard-setting activities.

19 Q. And have you sought at any time before or after
20 writing your report in this case to investigate whether
21 the record reveals any evidence of the sort that I've
22 described in those questions?

23 A. Yes. I've reviewed the materials that I have
24 in mind now as I answered your previous questions.

25 Q. Have you reviewed either documents written by

1 or testimony given by Mr. Lester Vincent?

2 A. Yes.

3 Q. And in that testimony have you or in those
4 documents have you seen anything to suggest that
5 Lester Vincent alerted Rambus to the potential of
6 equitable estoppel risks associated with failures to
7 disclose patent-related information at JEDEC?

8 A. I don't remember the disclosure part of it.
9 The rest of it I do.

10 Q. Have you studied the factual record as it
11 pertains to Rambus' decision to withdraw from JEDEC in
12 mid-1996?

13 A. Yes.

14 Q. Are you aware of evidence that Rambus made
15 that decision in part based on legal advice about the
16 risks to its patents of continued participation in
17 JEDEC?

18 A. Yes.

19 Q. And I take it from your earlier answers,
20 though, that you're not aware that -- of that advice
21 being in any way linked to concerns or potential
22 concerns about failures to disclose patents or patent
23 applications?

24 A. In response to that question, the only thing
25 that comes to mind is notes that reflect uncertainty on

1 the part of the lawyer who was advising JEDEC about
2 whether silent participation could lead to unspecified
3 legal problems, and again I emphasize that it was
4 uncertainty on the part of the lawyer at that time.

5 Q. You refer in DX-321 to patent interferences and
6 races to the patent office.

7 Do you see that language?

8 A. Yes.

9 Q. Now, are these potential concerns -- are these
10 concerns that you saw referenced in documents or
11 testimony in this case from -- either written by or
12 given by employees of Rambus?

13 A. I don't recall.

14 Q. Isn't this more of a theoretical proposition
15 that you're raising here as opposed to something that
16 you've seen in the facts of the case; that is, aren't
17 you referring here to the potential as a theoretical
18 matter that disclosure of patent-related information to
19 JEDEC might have jeo yopo t 'pthat

s 19 naimseticight c l 6y es0e c l at

1 A. It's real enough in the sense as an analysis of
2 incentives, what the incentive is of somebody who has
3 proprietary strategic information about its patent
4 program and the incentives to keep that information
5 secret.

6 Q. So referring to the "jeopardize patent claims"
7 bullet point and the two subbullets below that on
8 DX-321, you're not saying that it's your understanding
9 of the facts that these are in fact reasons why Rambus
10 chose not to disclose certain patent-related
11 information to JEDEC?

12 A. It's my understanding of the incentives.

13 Q. Now, going to the next point, lost competitive
14 advantages, do you see that?

15 A. Yes.

16 Q. You say that one of the lost competitive
17 advantages to Rambus of disclosing patent-related
18 information to JEDEC is that this could induce
19 work-around efforts. Do you see that?

20 A. Yes.

21 Q. And in your view, this is a reason why it would
22 not have been in Rambus' interest to disclose
23 additional patent-related information to JEDEC?

24 A. Sure.

25 Q. Is that your view?

1 A. Sure.

2 Q. Have you seen contemporaneous evidence, again,
3 in the way that I've defined that term earlier,
4 referring to the time period that Rambus was a member
5 of JEDEC, have you seen evidence from that time period
6 that this in fact was a concern that influenced Rambus'
7 decisions regarding disclosure of patent-related
8 information to JEDEC?

9 A. No. I haven't seen anything in the record.

10 Q. So is this -- this again is more in the nature
11 of you applying economic theory to discuss incentives
12 that might apply in this context?

13 A. It's an analysis of the incentives of somebody
14 who has proprietary strategic information and why they
15 would want to keep it secret.

16 Q. Now, how could inducing work-around effects,
17 how could that cause a loss of competitive advantage to
18 Rambus?

19 A. In the normal bargain between a recipient of a
20 patent and the government, the deal is that when -- at
21 this time, that when the patent is published, the
22 information is available to the public in a way that it
23 had not been before, and at that point work-around
24 efforts are induced by the publication of the patent.

25 Keeping the patent process confidential as it

1 was at this time prevents that from happening, and to
2 disclose patent applications or intentions about patent
3 claims induces early work-around efforts before they
4 would normally arise with the publication of the
5 patent.

6 Q. And again, to be clear, what you're describing
7 is your views from the standpoint of economic theory
8 about what incentives might apply in this context as
9 opposed to something that you've seen in the factual
10 record of this case relating to actual concerns of
11 Rambus during the period in which it was participating
12 in JEDEC?

13 A. Right. I haven't seen any writings about this
14 in the record. I'm not sure it rises to the level of
15 economic theory. It has to do with understanding the
16 patent system and understanding the incentives of the
17 way the patent system acts on inventors.

18 Q. Now, if disclosing additional patent-related
19 information to JEDEC could have caused Rambus to suffer
20 a lost competitive advantage by inducing work-around
21 effects, does it also follow that by not disclosing
22 such information to JEDEC Rambus gained a competitive
23 advantage?

24 A. It does not follow, unless you -- because the
25 competitive advantage -- it gained a competitive

1 advantage only relative to the time when its patents
2 would normally issue, not to any other -- not at any
3 other point.

4 That didn't come out clearly. I'm sorry. Let
5 me think about that answer a bit.

6 Q. Well, I'm happy to do that, but let me phrase a
7 different question and see if that may give you an
8 opportunity that --

9 A. But let the record reflect that answer was a
10 monologue.

11 Q. Would you agree that if in fact the effect of
12 Rambus not disclosing the additional patent-related
13 information that you refer to in general terms in
14 DX-321, if the effect of that were to have been that by
15 not disclosing that information Rambus avoided inducing
16 work-around efforts, if that were the effect, would you
17 agree that, by avoiding that, Rambus would have gained
18 a competitive advantage?

19 A. I would agree with that, but a context of my
20 answer is that work-around begins when the patent is
21 issued. So the gain of ave gained

1 patent issues?

2 A. In the normal course of events where the
3 application and everything is secret, then people don't
4 know what they're working around. Obviously there may
5 be parallel efforts towards the same technology, but
6 you don't know that you're working around the patent
7 until you see the patent. Right?

8 Q. But you agree that if Rambus had disclosed
9 additional patent-related information to JEDEC,
10 including patent applications, not-yet-issued patents,
11 that that might have induced work-around efforts
12 focused on what JEDEC participants understood to be
13 covered or purportedly covered by the patent
14 applications that were disclosed?

15 A. Right.

16 Q. And you agree that it's possible -- I'm not
17 saying that you're commenting on this as a factual
18 matter, but you agree that it's possible that that
19 would have been the effect of Rambus disclosing
20 additional patent-related information, that it would
21 have had the effect in this but-for world of causing
22 JEDEC participants to commence efforts to try to work
23 around what they understood these patents or patent
24 applications to purportedly cover?

25 A. Yes. Not patents, patent applications.

1 Because if it's a patent, then it's out in the world.

2 Q. Well, it's out -- it's out in the world, but it

1 concerns of that sort associated with potential adverse
2 consequences of disclosing additional patent-related
3 information?

4 A. I don't recall any.

5 Q. So is this, this point, of the nature of the
6 other, other points that you make in this slide, that
7 that is it relates to what you understand based on
8 input about these issues from others of the incentives
9 that might influence decisions of this sort in this
10 context?

11 A. Yes.

12 Q. Do you understand or do you have an
13 understanding as to whether all of the additional
14 patent-related information that you refer to here as
15 information that complaint counsel says should have
16 been disclosed, do you have an understanding as to
17 whether that information, all of that information,
18 relates to patents or patent applications that derive
19 from Rambus' '898 patent application?

20 A. My understanding is that it is at least
21 principally that. I don't know whether the '898 patent
22 is comprehensive.

23 Q. Do you have an understanding as to whether the
24 specification, the technical specification, from
25 the '898 patent application was at any point during

1 the time Rambus was a member of JEDEC publicly
2 available?

3 A. Yes.

4 Q. And your understanding is that at some point it
5 did become -- that specification did become publicly
6 available?

7 A. Yes.

8 Q. Do you have an understanding as to when that
9 occurred?

10 A. I don't recall.

11 Q. Let's assume that JEDEC members had available
12 to them the technical specification from the
13 '898 patent application sometime in 1993.

14 A. Okay.

15 Q. Now, if that were true, how is it that
16 disclosure of additional patents or patent applications
17 that relate back to the '898 application could have led
18 to a loss of competitive advantage through disclosure
19 of R&D focus?

20 A. To the extent that the additional patent
21 applications speak to which elements of the
22 '898 description JEDEC (sic) intended to file
23 subsequent claims to, that is precisely what I mean by
24 the disclosure of R&D focus.

25 Q. And so that information about what claims

1 Rambus -- well, strike that.

2 In your answer, just to be clear, you referred
3 to what JEDEC intended to file?

4 A. I'm sorry. Rambus.

5 Q. You meso0cQQQQQQ16.0001 734.3999 Tm/Cs6 cs 0 0 0 scntvC

1 agreed that an economist's work is properly subject to
2 criticism to the extent that assumptions are made that
3 are not well-founded in facts and evidentiary
4 materials; right?

5 A. Yes.

6 Q. And the assumption that you make here, is
7 this -- that we focused on in terms of whether
8 additional disclosures would have added to add that
9 information to what JEDEC already knew about Rambus'
10 intellectual property, is the assumption that you make
11 here not one that you've sought to determine whether it
12 is supported by facts or evidentiary materials?

13 A. Let me answer you in this way. If that were an
14 assumption that complaint counsel and Professor McAfee
15 regarded as invalid, then there would be no claim of
16 competitive impact, would there, at least in my way of
17 thinking. The implication that everything that might
18 have been disclosed by Rambus to JEDEC was already
19 known to JEDEC carries with it an implication that the
20 disclosure would be without impact.

21 Q. Okay. And that's the basis upon which you made
22 this assumption?

23 A. Yes.

24 Q. Now, let's assume that it is true, just for
25 sake of assumptions and for the sake of this question,

1 that Rambus, while it was a member of JEDEC, was
2 concerned that disclosure of patent-related
3 information, additional patent-related information, to
4 JEDEC might entail the risks that you describe on
5 DX-321, assuming that Rambus actually had those
6 concerns during the time it was a member of JEDEC.

7 A. Okay.

8 Q. Is it your testimony that Rambus would have a
9 legitimate business justification for violating JEDEC's

1 mandated by JEDEC's rules or disclosures that should
2 have been made consistent with good-faith
3 participation with the JEDEC process, but either way
4 that they're disclosures that we're assuming Rambus
5 should have made for one or both of those reasons.
6 Okay?

7 A. Okay.

8 Q. And assuming that were the case, is it your
9 testimony that you think that despite that
10 assumption -- and I'm asking you to make the
11 assumption -- that Rambus, if it were to have had these
12 concerns and contemporaneous with its participation in
13 JEDEC, would have been justified, would have had a
14 legitimate business justification for not disclosing
15 information that either the rules or the process of
16 JEDEC would have required be disclosed?

1 clear on the record he was not going to talk about
2 whether that would justify or not justify violating a
3 rule which might lead to sanctions that JEDEC might
4 impose or otherwise because I was conscious of that
5 ruling, which Mr. Royall at one point reminded us of
6 the ruling, on that efficient breach motion, and I
7 think this question goes directly to that and puts me
8 in an awkward position of opening the door to a line of
9 questioning that I was prohibited from going into
10 yesterday.

11 JUDGE MCGUIRE: Mr. Stone, response?

12 MR. STONE: I think you mean Mr. Royall.

13 JUDGE MCGUIRE: I'm sorry. Mr. Royall?

14 MR. ROYALL: Your Honor, I'm not intending at
15 all to open the door to that. That's a very separate
16 issue.

17 The efficient breach issue has to do with an
18 argument that something about JEDEC's process might
19 arguably give rise to some claim that breaches of
20 JEDEC's rules could be justified in some theoretical
21 economic sense. That's not what I'm talking about.

22 I'm talking about the slide here, and I'm
23 simply asking whether these considerations, which are
24 not -- it's not the efficient breach considerations --
25 but these considerations are ones that would cause this

1 witness to conclude that acting in a way that was
2 inconsistent with JEDEC's process or rules would be
3 justified. That's all I'm asking.

4 MR. STONE: Your Honor, if I might be heard?

5 JUDGE MCGUIRE: Go ahead, Mr. Stone.

6 MR. STONE: Just briefly.

7 I limited the questions very narrowly,
8 consistent with Your Honor's ruling, to this witness'
9 opinions as a matter of antitrust economics because
10 Your Honor's in limine ruling says that under this --
11 describes our theory that we're prohibited from
12 pursuing as being that breach of the JEDEC patent
13 disclosure rules is fully excused because, and then it
14 goes on to these reasons, and counsel is asking whether
15 we were entitled for one reason or another to breach
16 those rules.

17 JUDGE MCGUIRE: What page are you on there in
18 my order?

19 MR. STONE: It starts on page 10, Your Honor.

20 JUDGE MCGUIRE: Page 10. All right.

21 MR. STONE: And I was just going to get to
22 the --

23 MR. ROYALL: If I could comment, just to be
24 clear, what Mr. Stone said is I'm asking the witness
25 whether Rambus would be entitled for one reason or

1 another to breach the rules. That's the point I'm
2 making. I'm not asking that. I'm asking whether he
3 believes that Rambus would be entitled because of these
4 reasons, the reasons on this slide, not one reason or
5 another.

6 MR. STONE: But this witness was not permitted
7 to testify whether there could be any reason to violate
8 a rule and I didn't ask him whether there was any
9 reason to violate a rule. I asked him a very simple
10 question, which was as a matter of antitrust economics
11 are there procompetitive or legitimate business reasons
12 to avoid early disclosure. And I didn't ask about
13 breaking any rules. I didn't ask him to form an
14 opinion about the rules or whether they would have been
15 broken.

16 JUDGE McGUIRE: Well, based on my order on
17 page 10, we talk about the arguments there that have
18 been made by respondent regarding this efficient
19 breach theory. It speaks in terms to nondisclosure
20 that was made with the intent to counter supposedly
21 illegal anticompetitive rules within JEDEC. And I
22 don't take that as to be the import of the inquiry
23 here.

24 MR. ROYALL: That's exactly right, Your Honor.

25 JUDGE McGUIRE: Now, is that correct,

1 Mr. Royall?

2 MR. ROYALL: Yes, Your Honor.

3 MR. STONE: Okay.

4 JUDGE McGUIRE: On that grounds, I'm going to
5 hear this line of inquiry, and you can certainly
6 follow up on your further redirect if you feel it
7 necessary.

8 MR. STONE: I appreciate it.

9 JUDGE McGUIRE: But I do not see this inquiry
10 as of yet as approaching the theory of the efficient
11 breach that I earlier said I wasn't going to hear
12 about. And if it gets to that point, then we're not
13 going to hear it.

14 MR. STONE: That's fine, Your Honor.

15 JUDGE McGUIRE: Okay.

16 MR. ROYALL: And everything -- I agree with
17 everything you said in terms of your understanding of
18 my questions. I just want to make clear that I did not
19 intend and I'm not understanding you to say that I will
20 be -- if I simply ask questions in the context of this
21 slide that I have now opened the door into efficient
22 breach, because if that's the case, then I want to be
23 very --

24 JUDGE McGUIRE: You have not opened the door to
25 efficient breach.

1 MR. STONE: No. All he's opened the door is to
2 whether this witness can testify on redirect as to the
3 subject matters that he inquires about, and I
4 understand that's not efficient breach as so far the
5 question has yet been asked, but I do think he's opened
6 the door to something that we didn't cover yesterday in
7 direct because I thought we weren't permitted to, so he
8 is beyond the scope of direct, but as to that
9 objection, I think I'll wait and see how far beyond
10 that scope --

11 JUDGE MCGUIRE: Now, what is that that you're
12 referring to so I've got a little heads-up?

13 MR. STONE: Certainly, Your Honor.

14 Yesterday in the transcript, thinking this is
15 what I needed to do, I said: "Am I correct that you're
16 not expressing an opinion here today about whether
17 Rambus was for any reason free from liability or
18 sanction if it violated rules that it should not have
19 violated? Is that correct?"

20 He said, "Correct."

21 And what I was trying to do was say I simply
22 wanted him to testify whether from a perspective of
23 antitrust economics where there is a requirement for
24 conduct to be predatory that you show there was -- you
25 can show it was not predatory if you show a legitimate

1 business justification for it to limit him just to that
2 antitrust economic question, so I framed it very
3 narrowly to avoid anything to do with JEDEC's rules,
4 which -- and I do think counsel is now at least opening
5 the door to this witness' testimony on JEDEC's rules
6 and a violation.

7 I do think that's beyond the scope. I
8 understand it may not be --

9 JUDGE McGUIRE: Based on the efficient breach.
10 Well, if you open the door on the inquiries as
11 to his understanding of the rules of JEDEC, then I'm
12 going to allow counsel an opportunity to go into it on
13 his redirect.

14 MR. ROYALL: Your Honor, let me make a
15 suggestion. Having heard -- because I do think I did
16 not recall it was as clear as that, I think he limited
17 the witness' testimony in that question on direct in a
18 way that really satisfies what I'm getting at here.
19 And I do not want to open the door to an area that
20 you've ruled --

21 JUDGE McGUIRE: I'm sure you don't, but let's
22 be careful that -- well, then --

23 MR. ROYALL: So having been reminded of that,
24 I'm happy to both gloss over this and move on to
25 something else.

1 JUDGE McGUIRE: Very good.

2 BY MR. ROYALL:

3 Q. Now, let's assume, Dr. Rapp, that the risks
4 that you refer to as potential risks on the slide that
5 we've been discussing, which I seem to have misplaced,
6 DX-321 I believe it is, am I right that -- pardon me.
7 Strike that.

8 Let's assume that those risks again did in
9 fact exist in the sense that they were concerns of
10 Rambus during the time period that it was a member of
11 JEDEC.

12 If that were true, is there any reason why
13 Rambus would not have known of those risks at the time
14 it joined or before it joined JEDEC?

15 A. Well, the principal reason has to do with the
16 way that the disclosure policy was presented in JEDEC
17 and whether there were changes over time in the policy,
18 changes over time in the way that the policy had been
19 advertised to its members, and so forth.

20 Q. Do you agree that the risks that you identify
21 or potential risks that you identify on this exhibit,
22 DX-321, could be avoided by Rambus simply by not
23 participating in JEDEC or not joining JEDEC?

24 A. Well, the answer is yes, subject to a couple of
25 massive qualifications. The first is that that

1 supposition depends upon the availability and the
2 clarity of the policy. And the second consideration is
3 even bigger, and that is whether the decision by Rambus
4 to remain outside JEDEC carried its own set of risks,
5 nonparticipation or nonappearance in a forum where
6 decisions are being made that participants in the DRAM
7 industry are party to which JEDEC would have to absent
8 itself from.

9 Q. Now, this slide, DX-321, it doesn't say
10 anything about whether or not JEDEC's rules are clear
11 or not; right?

12 A. Right.

13 Q. And would you agree that if we assume that
14 JEDEC's rules were crystal-clear that these in your
15 view would still be legitimate potential concerns on
16 the part of Rambus or a similarly situated company with
17 respect to additional disclosures? Even if the rules
18 were crystal-clear, would it be in your view that these
19 would still be concerns, valid concerns?

20 A. Yes, they still would be.

21 Q. Okay. So let's then -- let's not make any
22 assumption about whether the rules were clear or not
23 because this slide doesn't relate to that. Does it?

24 A. Well, it depends upon what question you're
25 about to ask me, but the safest course is to assume

1 that the rules are clear if that's useful.

2 Q. Well, this slide doesn't relate to whether the
3 rules are clear; right? It doesn't say anything about
4 that. And you've agreed that the considerations that
5 you explain here would apply regardless of whether the
6 rules are clear; right?

7 A. They would still be in force if the rules were
8 not.

9 Q. Okay. So then the question is: Could Rambus
10 avoid these potential drawbacks or concerns simply by
11 having not joined or participated in JEDEC at all?

12 A. Not without creating another set of risks for
13 itself, commercial risks, potentially larger than the
14 risk of participating.

15 JUDGE MCGUIRE: But you seem to be saying that
16 it would at least avoid those risks that he's just
17 indicated by being involved in JEDEC? That's not to
18 say that you didn't answer there would be other risks
19 that it would have to consider if it were offered
20 independently of JEDEC?

21 THE WITNESS: Yes, it would. Staying out of
22 JEDEC would avoid risks of disclosing inside JEDEC,
23 yes, Your Honor.

24 BY MR. ROYALL:

25 Q. Thank you.

1 Now, I'm going to move on to something else,
2 and this will be the last topic.

3 In your -- and having said that, Your Honor,
4 this is going to take on the order of 20-25 minutes.

5 JUDGE MCGUIRE: I would rather you go ahead and
6 just as soon finish. If anyone needs to take a break,
7 let me know. If not, I'd rather you complete your
8 cross and then we'll take a break.

9 MR. ROYALL: Okay. I'll do that. Thank you.

10 BY MR. ROYALL:

11 Q. In your direct examination, you spent a
12 significant amount of time explaining your
13 understanding of the views and conclusions of Rambus'
14 two technical experts, Mr. Geilhufe and Dr. Soderman;
15 right?

16 A. Yes.

17 Q. And we've already I think heard from you that
18 you acknowledge that you yourself are not a technical
19 expert?

20 A. Yes.

21 Q. But your economic testimony depends in various
22 ways on technical information that you are assuming to
23 be true based on what you've learned from Mr. Geilhufe
24 and Dr. Soderman; right?

25 A. Yes.

1 Q. And that information that you've learned from
2 these two gentlemen includes information about variable
3 and fixed costs associated with different DRAM
4 technologies; right?

5 A. Yeah.

6 Q. And it includes information on technical
7 advantages or disadvantages associated with various
8 DRAM technologies; right?

9 A. Yes.

10 Q. And it includes information about whether the
11 various DRAM technologies may or may not be covered by
12 Rambus patents --

13 A. Yes.

14 Q. -- right?

15 And other than those general categories of
16 information, are there other general categories of
17 information that come to mind that you've relied on
18 Mr. Geilhufe and Dr. Soderman for?

19 A. I think that covers it.

20 Q. And the input that you've received from
21 Mr. Geilhufe and Dr. Soderman is important to a number
22 of your economic conclusions, is it not?

23 A. Very much so.

24 Q. Let's pull up the first demonstrative exhibit
25 used with your testimony. And I've again misplaced my

1 copy with the DX numbers on it.

2 Did you say 302?

3 MR. MELAMED: Yes.

4 MR. ROYALL: 302? Thank you.

5 Okay. And if we could enlarge that.

6 MR. MELAMED: That's 303.

7 MR. ROYALL: It is 303, but in fact this was
8 the one I had in mind.

9 BY MR. ROYALL:

10 Q. Do you see DX-303 on the screen?

11 A. Yes.

12 Q. And this is a broad summary of your
13 conclusions --

14 A. Yes.

15 Q. -- is that right?

16 And would you agree that the input that you
17 received from Mr. Geilhufe and/or Dr. Soderman was an
18 important factual predicate for each of the first three
19 conclusions identified in the bullet points on this
20 slide?

21 A. Yes.

22 Q. And the input you received from Mr. Geilhufe
23 and Dr. Soderman was also an important predicate to the
24 conclusions that you reached and explained in your
25 expert report about whether Rambus' technologies or

1 other technologies are, quote-unquote, revolutionary;
2 right?

3 A. Yes. And for the sake of completeness, because
4 this slide is somewhat incomplete, their conclusions
5 figure in my conclusion about impact upon competition
6 as well.

7 Q. The impact upon competition of Rambus'
8 challenged conduct; is that what you're referring to?

9 A. Yes.

10 Q. And input that you received from Mr. Geilhufe
11 and Dr. Soderman was also an important factual
12 predicate to your analysis of whether the four Rambus
13 technologies have close economic substitutes?

14 A. Yes.

15 Q. And also that information was an important
16 predicate to your analysis as to whether formal
17 standardization of Rambus' technologies added to their
18 market value or market power?

19 A. Yes.

20 Q. And that input was an important factual
21 predicate to your analysis as to or your conclusions as
22 to whether additional disclosures by Rambus would have
23 led to the adoption of different JEDEC standards?

24 A. Yes.

25 Q. And that input was important -- an important

1 factual predicate to your conclusions as to whether --
2 well, actually I think that sums it up. Strike that.

3 Now, based on the input you received from
4 Mr. Geilhufe and Dr. Soderman, you conducted your own
5 analysis focusing on whether if JEDEC had known that
6 the four Rambus technologies -- if JEDEC had known that
7 Rambus would expect to have royalty payments for the
8 use of those technologies in DDR SDRAM and in SDRAM, it
9 would have been economically rational for JEDEC or
10 JEDEC participants to switch to alternatives versus
11 proceeding to adopt standards incorporating those
12 technologies; right?

13 A. I'm sorry. I just need it read back.

14 Q. It was a very long question. I apologize for
15 that, but --

16 A. My fault.

17 Q. -- I can read it back. If that's all right.

18 JUDGE MCGUIRE: Yeah, go ahead.

19 BY MR. ROYALL:

20 Q. The input that you received from Mr. Geilhufe
21 and Dr. Soderman, based on that, you conducted your own
22 economic analysis; correct?

23 A. Yes.

24 Q. And that analysis focused on whether, if JEDEC
25 had known that Rambus would expect royalty payments for

1 the use of those four technologies in DDR SDRAM and in
2 SDRAM, it would have been economically rational for
3 JEDEC or JEDEC participants to switch to alternatives
4 versus proceeding to adopt standards incorporating
5 those four technologies?

6 A. Yes.

7 Q. And in doing the analysis that you did, you've
8 not made any assumptions about the way that the JEDEC
9 process or the rules work; right?

10 A. Right.

11 Q. And you have just assumed that a rational
12 standards organization and rational members of such an
13 organization would choose the best cost-performance
14 options; right?

15 A. Yes. By and large that's right.

16 Q. And at the end of your analysis you conclude
17 that Rambus' technologies, even if the royalties
18 associated with them were factored in -- and by that I
19 mean the royalties that you've assumed -- but even if
20 those assumed royalties were factored in, at the end
21 of your analysis you've concluded that those
22 technologies would still be the best cost-performance
23 alternatives compared to other alternatives that you
24 considered?

25 A. Yes.

1 Q. And for purposes of your analysis you assumed
2 that the programmable CAS latency and burst length
3 technologies together would have a .75 percent royalty
4 associated with them; right?

5 A. Yes.

6 Q. And you assumed that the other two
7 technologies, dual-edged clock and on-chip PLL/DLL,
8 together with the prior two technologies would have a
9 3.5 percent royalty associated with that collection of
10 four technologies; right?

11 A. Yes.

12 Q. And you compared the cost of these Rambus
13 technologies assuming those royalty rates to the
14 additional variable and inventory costs associated with
15 alternatives, borrowing that information or those cost
16 figures from Mr. Geilhufe; right?

17 A. Yes.

18 Q. And to make an apples-to-apples comparison, if
19 you will, you converted Mr. Geilhufe's cost information
20 into numbers reflecting a percentage of the selling
21 price of either SDRAM or DDR SDRAM --

22 A. Yes.

23 Q. -- right?

24 And to do that, you calculated a weighted
25 average selling price for both of those products, SDRAM

1 and DDR SDRAM, based on information that you obtained
2 from an industry report; right?

3 A. Yes.

4 Q. Now, having covered in a broad sense your
5 methodology, let me ask you about some of the
6 components of the methodology.

7 First let me ask you about the relevant time
8 period.

9 I understand that you've used this analysis for
10 various purposes, but to the extent that you used this
11 analysis that we're discussing to draw economic
12 conclusions about what would have been rational for
13 JEDEC or JEDEC participants to do faced with the choice
14 between Rambus' technologies and alternatives, to the
15 extent that that's the question that you're focused on,
16 do you agree that the relevant time frame to focus on
17 for such an analysis is the so-called ex ante time
18 frame, that is, before the relevant standards were
19 adopted?

20 A. Not necessarily. In other words, the relevant
21 time frame may be the anticipation by decision makers
22 in the ex ante period of the course of the DRAM product
23 life cycle. In other words, it doesn't have to be any
24 one moment in time.

25 Q. Well, I'm not asking whether there's -- you

1 have to have a precise moment in time, but you agree
 2 that from the standpoint of analyzing what would have
 3 been rational for JEDEC to do in a but-for world in
 4 which they knew in advance of adopting the standards
 5 that Rambus would be seeking royalties associated with
 6 these four technologies, from the standpoint of
 7 assessing that type of but-for world type question, you
 8 agree that the relevant time period would be the
 9 ex ante time period, that is, before the standards were
 10 adopted?

11 A. Let me answer you this way and see if it's
 12 helpful because this is what I regard as the correct
 13 answer.

14 The relevant time period in which to situate
 15 the hypothetical decision maker is the ex ante period.
 16 That does not imply that the time horizon of the
 17 ex ante decision maker is only in the ex ante period.

18 Q. I think I understand. I appreciate the
 19 clarification.

20 And you said -- I believe yest38w isBbation.

1 2 f bpurpo7 horiythesint os mat38wave tossumeBbation.
 2 e that the redisclosuresng what woul 3 mader world in
 23 15 ype of but-for2 e thear-- srelevanzing 4 whicrld in
 2 myes woul 3 requirederstad -- I b 2 t38w isBb 2 rld in

1 right?

2 A. Sure.

3 Q. Now, then let's talk about the cost component
4 of your analysis.

5 All of the cost information that you used in
6 your analysis came from Mr. Geilhufe; right?

7 A. Yes.

8 Q. And in conducting the analysis summarized in
9 your report, you didn't seek to obtain cost information
10 on various alternatives from JEDEC or JEDEC
11 participants or documents that might speak to what cost
12 information they might have had on these alternatives;
13 right?

14 A. Right.

15 Q. And you didn't review JEDEC-related materials
16 to see if you could corroborate the cost information
17 that you obtained from Mr. Geilhufe?

18 A. To the best of my knowledge, there was none,
19 but I did not.

20 Q. Well, you say to the best of your knowledge
21 there was none. Did you investigate that issue and
22 actually review documents to see whether there was such
23 information?

24 A. The answer is that my -- that we did make a
25 request, in other words, and my staff looked for cost

1 information at the time available. The reason that
2 there was none that I considered in the expert report
3 is that none was available to me.

4 Q. So putting aside whether you saw any such
5 information, I take it from your answer that you agree
6 that if there were such information it would be
7 important for you to consider; that is, if there were
8 information about what JEDEC or JEDEC participants in
9 fact believed to be the case with respect to the cost
10 of the alternatives that you considered, it would be
11 important for you to consider that information?

12 A. Only if it were the relevant decision-making
13 costs. The answer is sure, but "cost" is a very broad
14 term and it has to be the right sort of costs.

15 Q. So you agree that those costs would be relevant
16 to consider, and it's your understanding that there
17 simply is no evidence in the factual record that bears
18 on what JEDEC or JEDEC participants understood to be
19 the relevant costs associated with the alternatives you
20 considered; right?

21 A. That's not true. I understand that there was
22 testimony in this court that JEDEC participants,
23 manufacturers' representatives, testified that certain
24 alternatives would be more or less costly or more or
25 less advantageous.

1 Q. My questions relate to what information you
2 considered when you finalized your report --

3 A. I'm sorry.

4 Q. -- and set forth your analysis.

5 A. The answer is I didn't have any at my
6 disposal.

7 Q. And it was your understanding there was none?

8 A. Well, my staff didn't find any that was
9 relevant.

10 Q. And you don't understand Mr. Geilhufe to have
11 testified as to what cost information JEDEC or JEDEC
12 participants had in this ex ante time period?

13 A. That was not the nature of his testimony. As I
14 understand it.

15 Q. Now, if JEDEC or JEDEC participants had, at the
16 time period in which they were developing the relevant
17 standards, if in that time period they had information
18 about the costs of these various alternatives that you
19 considered that was different from Mr. Geilhufe's cost
20 information, that might undermine the economic
21 conclusions that you have made about what decisions
22 would be rational for JEDEC or JEDEC participants to
23 make in the but-for world; right?

24 A. It could, but it would depend greatly on the
25 nature of that cost information and whether it was

1 appropriate to solving the problem that we are solving
2 by the cost analysis, Mr. Geilhufe's and subsequently
3 mine.

4 Q. So you'll acknowledge that if it were the case
5 that JEDEC or JEDEC participants had different
6 information about the costs of these alternatives, that
7 might suggest that JEDEC participants would have
8 reached different conclusions than the conclusions that
9 you have reached and still have been acting in an
10 economically rational manner?

11 A. I will admit to the possibility that it would
12 suggest that, but nothing more. In other words, it
13 would not indicate that. It would raise the
14 possibility of it. And what the actual analysis would
15 consist of was understanding the nature of the costs
16 that were under consideration.

17 Q. Well, and you'll agree as a general proposition
18 I assume that depending on what information JEDEC
19 participants had in this relevant time period about the
20 alternatives that you considered as part of your
21 analysis, that whatever information they had -- and
22 we're not making any assumptions about what information
23 they had or what it would have shown -- but whatever
24 information that they had could have impacted what
25 choices would have been economically rational for such

1 in this time, based on the information that they
2 possessed, the economically rational thing would have
3 been to support the use of various alternatives over
4 the use of Rambus' technologies, you cannot rule that
5 out, can you?

6 A. Let me say that I can't rule that out except to
7 the extent that the information isn't available at all,
8 in which case there would be no basis for assuming its
9 existence.

10 I won't rule anything out of the realm of
11 possibility, but at least at the moment, we're talking
12 about hypothetical information that's presumably very
13 different from the information contained in
14 Mr. Geilhufe's and also Dr. Soderman's estimates.
15 We'll include performance or -- I understand you're
16 limiting the current inquiry to costs.

17 Q. To costs.

18 A. But I do want you to remember that performance
19 is part of my analysis as well.

20 Q. Now, let's talk about the royalty rate
21 assumption in your -- that aspect of your analysis.

22 We've already identified what royalty rates you
23 assume.

24 Do you think it's a reasonable assumption that
25 in the but-for world that we've been discussing JEDEC

1 and/or specific JEDEC participants would have known
2 specifically what royalties Rambus would seek in
3 connection with the four technologies that we've been
4 discussing in the event that those technologies were
5 adopted as part of JEDEC's standards?

6 A. Whether they would know with precision? Is
7 that the question?

8 Q. Yes.

9 A. No, I don't think they would.

10 Q. But your analysis assumes they did, they would
11 have known with precision precise royalty rates;
12 correct?

13 A. It assumes -- and it's a standard assumption in
14 economics -- that they would have been able to
15 anticipate what turned out to be a market outcome. It
16 doesn't assume they would have known with precision.
17 It assumes that that is the best estimate, that the
18 actual royalty rates ex post are the best estimate
19 ex ante.

20 We know very well that royalty rates for
21 patent licenses aren't usually known with precision
22 before patents are granted and licensing programs
23 begin. It's a best estimate.

24 Q. Is it possible -- wouldn't you agree it is
25 possible that in the but-for world JEDEC participants

1 might have been required to make judgments and choices
2 between Rambus' technologies and alternative
3 technologies without knowing what royalties Rambus
4 ultimately might charge for the use of its technologies
5 if they were used in the JEDEC standards?

6 A. Without knowing with precision but with a
7 certain capacity for anticipation if they had the
8 disclosure at their disposal and if they knew about
9 what the alternatives were.

10 Q. And have you considered how uncertainty about
11 the royalty rate in the relevant ex ante time period
12 might have affected the choices or the economically
13 rational actions of JEDEC participants?

14 A. Yes.

15 Q. You have considered that?

16 A. To the following extent. I assume that there
17 is a kind of confidence interval around the analysis
18 that I've done that nobody would expect an estimate of
19 perfect precision after the fact, but what we have are
20 a set of best estimates, best estimate of the royalty
21 rate and best estimate of costs, and those are the ones
22 to use in that circumstance just as a matter of normal
23 practice.

24 Q. Is it your understanding that at all times
25 relevant to this case that Rambus internally had in

1 mind the same royalty rates to charge for SDRAM and
2 DDR that it ultimately did charge when it signed
3 licenses?

4 A. That's not my understanding, no.

5 Q. It's your understanding, isn't it, that the
6 amount of what royalty rates to charge is something
7 that thinking about that varied over time even within
8 Rambus? Right?

9 A. Sure.

10 Q. And wouldn't you expect that to the extent that
11 JEDEC participants were uncertain about what royalty
12 rates that and Rambus itself was uncertain about what
13 royalty rates would apply that there could be varying
14 projections from JEDEC participant to JEDEC participant
15 and they could differ from the royalty rates that you
16 have assumed in material ways?

17 A. Yes. I think that's fair. And I think the
18 best single estimate of what the outcome of the variety
19 of different possible forecasts is is the royalty rate
20 that came in fact to be Rambus' royalty rate, the
21 Rambus license royalty rate.

22 Q. Let's talk about the price assumptions in your
23 analysis.

24 And Your Honor, in the interest of full
25 disclosure, I've said this is going to take about

1 25 minutes. It will take a little bit longer than
2 that. I'm happy to keep going before we take a break.

3 JUDGE McGUIRE: How much more time? And we'll
4 decide if we want to take a break.

5 MR. ROYALL: It may be 30 more minutes from
6 now.

7 JUDGE McGUIRE: Why don't we take a short

1 relevant price figures would be, if they were
2 available, would be the price figures that JEDEC
3 participants would have used in making their own
4 judgments or calculations about the potential cost of
5 Rambus royalties?

6 A. Without reference to any particular time
7 period, is the question whether the price data that
8 JEDEC members had at their disposal was relevant? I'm
9 not sure what the question is, I should say.

10 Q. Well, you've sought to analyze what decisions
11 would be economically rational in the but-for world for
12 JEDEC participants faced with information about
13 Rambus --

14 A. Yes.

15 Q. -- patent claims on these technologies.

16 And to the extent that price comes into that
17 type of calculus, wouldn't you agree that the relevant
18 price figures, if this information were available,
19 would be the prices that individual JEDEC participants
20 would have used in making their own calculations about
21 the potential cost of Rambus royalties?

22 A. Yes. If you'll allow me to say that the
23 anticipations of price that they would have used, then
24 the answer is yes.

25 Q. And are the reasons why we refer to anticipated

1 prices is what we're talking about here are prices
2 relating to products that in this analysis would not
3 even have yet been standardized?

4 A. Right. The product is not yet for sale.

5 Q. Right.

6 And you understand that the DDR -- I'm sorry --
7 the SDRAM standard was established in '93?

8 A. Yes.

9 Q. And do you understand that, give or take,
10 SDRAM products didn't reach volume production until
11 1996?

12 A. Yes.

13 Q. And SDRAM products are still being produced
14 today; right?

15 A. Yes.

16 Q. So from the standpoint of the JEDEC participant
17 in 1993 seeking to assess the cost of the Rambus
18 royalties, if they were to do that in anything
19 approaching an accurate sense, they would have to be
20 making projections about the cost of
21 not-yet-standardized devices in the marketplace
22 extending out many years into the future?

23 A. Right.

24 Q. And you understand, don't you, that the DRAM
25 industry is one that has some history over time of

1 price volatility?

2 A. Yes.

3 Q. And would you agree that it's hard to project
4 what the price for a given DRAM device will be in the
5 future?

6 A. I agree. And that's why a good assumption
7 about a piece of information that will substitute for
8 these anticipations in an economic analysis is a

1 You're aware, are you not, that in the real
2 world there have been instances in which JEDEC
3 participants have disclosed patent-related information
4 to JEDEC?

5 A. Yes.

6 Q. Have you looked at the factual record in this
7 case to determine whether in instances in which this
8 has happened JEDEC participants in deciding what
9 actions to take have applied the same type of analysis
10 or methodology that you applied?

11 A. No.

12 Q. And would you acknowledge that it's possible
13 that JEDEC participants faced with such situations
14 apply an analysis that is somewhat different from the
15 analysis or methodology that you apply?

16 A. In its specifics, certainly. In general terms,
17 I believe that the analysis that I applied is very
18 basic and fundamental, and that has to do with the
19 evaluation of cost and performance and the arraying of
20 alternatives in cost-performance terms and for
21 valuation purposes comparing one with the next best
22 alternative. I think that that is very, very
23 widespread and not likely to vary much.

24 Q. But you said earlier -- you're not moving away
25 from the testimony you gave earlier that you have

1 A. Yes. But I remember his testimony to the
2 effect that he is a designer of products that employ
3 memory and that have the similar circuitry to memory
4 applications, specific integrated circuits among them.

5 Q. You're aware that he's never done work on
6 synchronous DRAMs; right?

7 A. I recall that. Sorry. "Work" meaning design
8 of a synchronous DRAM chip? Yes.

9 Q. Now, referring to DX- -- I believe DX-307.
10 Could we pull that up.

11 Now, this is a slide that you prepared relating
12 to the alternatives to programmable CAS latency in your
13 analysis; is that right?

14 A. Yes.

15 Q. And you identify the explicitly identify in
16 read command alternative as being covered by Rambus
17 patents on this slide. Do you see that?

18 A. Yes. Based upon my reliance on Dr. Soderman.

19 Q. And putting aside the patent issue, it was your
20 determination that this was the least costly of the
21 alternatives that you considered; is that right?

22 A. Yes.

23 Q. And then going to DX-309, this relates to
24 alternatives to programmable burst length.

25 Do you see the burst terminate alternative --

1 you concluded that was the least costly of the
2 alternatives; right?

3 A. Least costly in terms of Dr. Geilhufe's
4 implementation costs but not the least costly in terms
5 of performance penalty.

6 Q. And putting aside the patent issue, the
7 explicitly identify in read command alternative would
8 be the second least costly of the alternatives that
9 you've considered to programmable burst length; is that
10 right?

11 A. Yes.

12 Q. Now, going to DX-311, you discuss on this slide
13 the cost penalties associated with alternatives not
14 covered by Rambus patents; is that right?

15 A. Right.

16 Q. And what you show here is that even combining
17 the least costly alternatives not covered by Rambus
18 patents you end up with a cost, an additional cost as a
19 percentage of average selling price greater than Rambus
20 royalties; is that right?

21 A. Yes.

22 Q. But you don't consider on this slide what the
23 least costly combination of alternatives allegedly
24 covered by Rambus patents would be; right?

25 A. Correct.

1 Q. And am I right that the least costly
2 combination of those alternatives would be the
3 explicitly identify in read command for programmable
4 CAS latency and the burst terminate option for
5 programmable burst length, that combination would be
6 the least costly combination?

7 A. It would be the least costly in terms of

1 explicitly identify in read command for both
2 programmable CAS latency and programmable burst;
3 right?

4 A. Without reference to patent coverage?

5 Q. Without reference to patent --

6 A. Yes.

7 Q. And that based on Mr. Geilhufe's information,
8 that would add .42 percent to the cost as a percentage
9 of average selling price; right?

10 A. Yes.

11 Q. And that again would be less than the Rambus
12 royalties; correct?

13 A. And that too carries with it performance
14 penalties, bandwidth issues.

15 Q. You say at the bottom of this slide, DX-311,
16 that a rational manufacturer would have chosen to
17 license from Rambus rather than incur a higher cost for
18 the alternatives, but that's only looking at
19 alternatives that Dr. Soderman says are not covered by
20 Rambus patents; right?

21 A. It is, but the conclusion also means to take
22 account of the performance penalties as well, and if
23 penalties that appear to be less costly in terms of
24 manufacturing implementation have a high cost in terms
25 of system performance, that is, a computer system, that

1 would be taken account of also.

2 Q. And when you refer to performance penalties --
3 and could I ask you to take a look at DX-310.

4 When you refer to performance penalties, are
5 you referring to the information that's of the sort
6 that you list in the far right-hand side of DX-310 for
7 these, the four alternatives that are discussed?

8 A. Yes. But there's additional information as
9 well at our disposal.

10 Q. And am I right that you haven't sought to
11 quantify the costs associated with any of those
12 so-called performance penalties?

13 A. I have not, but I heard Dr. Jacob quantify one
14 of them, and that has to do with the burst terminate
15 command. He said that there was a 10 percent or 10 to
16 15 percent -- I forgot which -- performance penalty
17 associated with burst terminate.

18 Q. Now, focusing on programmable -- or I'm sorry.

19 Focusing on the fixed CAS latency and fixed
20 burst length, you find that, based on Mr. Geilhufe's
21 analysis, using fixed CAS latency would have increased
22 the cost of a DRAM by .82 percent; is that right?

23 A. Relative to -- I don't have a copy of readable
24 slides here, so let's just -- I'm sure that's right,
25 but let's just put it back on the screen if we may.

1 These are too small for me to work with.

2 Q. I believe that's DX-309.

3 MR. STONE: His CAS latency is 307.

4 MR. ROYALL: Sorry. Thank you. DX-307.

5 May I approach, Your Honor?

6 JUDGE McGUIRE: Yes.

7 MR. ROYALL: I don't want to slow this down,
8 but pulling these things up on the screen is taking a
9 while.

10 THE WITNESS: Thank you. That's helpful.

11 BY MR. ROYALL:

12 Q. DX-307.

13 A. Your question was?

14 Q. Actually let me withdraw that question in the
15 interest of time and move ahead.

16 Am I right that putting aside infringement,
17 each of the alternatives to -- let me ask about
18 programmable burst length -- are in your view
19 commercially viable substitutes to the Rambus
20 technologies?

21 A. It's a vague term with which I'm uncomfortable.
22 They are --

23 JUDGE McGUIRE: That's all you need to say
24 then.

25 THE WITNESS: Thank you, Your Honor.

1 BY MR. ROYALL:

2 Q. Could I ask you to take a look at your
3 deposition, page 165, from this case. And I'm trying
4 to move as quickly as I can here, but I'm going to ask
5 you to turn to page 165.

6 A. I am.

7 Q. Line 11.

8 I asked you about -- I said, "What about the
9 fixed burst length alternative to programmable burst
10 length? Is that a commercially viable substitute for
11 programmable burst length?"

12 "ANSWER: According to my definition of
13 commercially viable, yes."

14 Next question: "And would it also be a
15 price-constraining alternative to burst length absent
16 formal standardization? My answer is yes."

17 A. Okay.

18 Q. And then let me just continue because it
19 relates to the broader question.

20 Then I asked, "What about the other
21 alternatives to programmable burst length discussed in
22 Exhibit 7," referring to your exhibit to your report,
23 "are any of these commercially viable substitutes, as
24 you define the term?"

25 "ANSWER: Putting aside the question of

1 Q. But you didn't perform any analysis that would
2 show or that would allow you to conclude that JEDEC
3 would have produced a standard that had a number of
4 different fixed CAS latencies if that alternative were
5 chosen by JEDEC; is that right?

6 A. Well, here -- I hope I get the generation right
7 this time -- but here I think the history of the DDR-II
8 standard is relevant. Maybe not. Maybe that's burst
9 length.

10 Answer, no.

11 Q. Are you aware of evidence in this case that
12 suggests that if JEDEC had used fixed CAS latency that
13 it would have settled on only one value for fixed CAS
14 latency?

15 A. I have not seen any evidence to that effect.

16 Q. Are you aware of evidence that if JEDEC had
17 used fixed burst length as to work around programmable
18 burst length that it would have settled on only one
19 value for fixed burst length?

20 A. No. I do recall that there was testimony,
21 perhaps it was by Mr. Polzin, if he was of AMD, and
22 perhaps others that spoke of the advantages of the
23 flexibility of programmable latency, so presumably
24 there was some advantage to manufacturers of having the
25 availability of more than one value for both latency

1 and burst.

2 So far as burst length is concerned, I recall
3 the DDR-II history where the preference was for
4 preserving two burst lengths.

5 Q. Moving to explicitly identify latency and burst
6 length in the read command alternative or alternatives,
7 you agree that the read/write command alternative
8 for -- to programmable CAS latency is -- would be a
9 commercially viable substitute?

10 A. If I said so in my deposition, then according
11 to the definition of "commercial viability" that I used
12 there, I would certainly stipulate to that.

13 Q. Well, I don't want to take the time to point
14 you in your deposition if I don't have to.

15 Would you be willing to acknowledge that as you
16 used that term or understood that term in your
17 deposition that you acknowledge that that was true?

18 A. As I understood it in my deposition, yes. I
19 haven't changed my opinion since then.

20 Q. Okay. Now, you find, based on Mr. Geilhufe's
21 cost analysis, that explicitly identifying the CAS
22 latency and burst length in the read command, that both
23 of these would involve -- increase the cost of a DRAM
24 by .21 percent?

25 A. Yes.

1 Q. Is that right?

2 A. Yes.

3 Q. And you're aware that Mr. Geilhufe's cost
4 estimates in that regard are predicated on his
5 understanding that additional pins might have been
6 required to implement this alternative?

7 A. I do not recall. In other words, this is
8 distinguished from the use of pins as you can see on
9 the table, so -- and Dr. Soderman does not speak of
10 requiring pins -- I guess my understanding had been
11 that extra pins were not required for this
12 alternative.

6 13 Let me ask you about the SDX-308, which, this is
1 slide matishltespens, explicitly identify this is
18 10dincom Sod s a imp adrequi adrespe 9 is is
1 peruir Soce, ts-- e 9 re, tqul it otuldre not r a is is
2 10g8 In similarquirm Dr 10g8 In uirsto r lprency is is
2 inuir St adna Doins au caul right?

2 2 A. Yes.

27u.nn

1 performance issue.

2 Is it your understanding that that performance
3 issue does not exist in the context of specifying burst
4 length?

5 A. I would have to go back to Dr. Soderman's table
6 to see whether that's an omission. I presume that it
7 ought to be the same in that the cost is the same and
8 that both are deemed to be infringing and the need of a
9 register similar to a mode register appears to me --
10 and this is just an understanding or really a guess I
11 have to say -- that it would be the same, that, I have
12 to check.

13 Q. Let's turn to alternatives to dual-edged
14 clocking. And I believe that is the subject of DX-314.

15 A. I'm with you.

16 Q. Now, am I right that based on Mr. Geilhufe's
17 cost analysis that you conclude that using double clock
18 frequency would increase the cost of a DRAM by
19 5.46 percent relative to the current standards?

20 A. Yes.

21 Q. Not -- I'm not representing that those numbers
22 are specified or set forth on that exhibit.

23 A. It's on page 12 of my charts.

24 Q. DX-313.

25 And nearly all of the costs are based -- of

1 those costs are based on the on-DIMM clock that
2 Mr. Geilhufe believes would be required; is that right,
3 for that alternative?

4 A. No. If -- I'm -- let me see. I do not -- I'm
5 not sure. I would have to return to his testimony on
6 that.

7 Q. And let's --

8 A. I'm not certain.

9 Q. Let's move on in the interest of time to
10 alternatives to on-chip PLL/DLL. And that is discussed
11 on DX-315.

12 Am I right that you've not performed any
13 economic analysis based on Mr. Geilhufe's analysis of
14 the costs of alternatives to on-chip PLL/DLL?

15 A. Correct.

16 Q. And that's because Mr. Geilhufe didn't produce
17 to you any estimates of the costs of those
18 alternatives?

19 A. No. One of the alternatives he was able to
20 produce cost estimates for -- well, one out of four
21 didn't seem sufficient to assume -- not easier but
22 seemed fairer in some sense to assume zero.

23 Q. Now, you discuss on DX-315 four alternatives to
24 on-chip PLL/DLL and what I take to be references to
25 performance issues that you understand to be associated

1 with those alternatives; is that right?

2 A. Yes.

3 Q. Now, you don't discuss on this slide the
4 alternative of not using a DLL at all; is that right?

5 A. Right.

6 Q. But you do understand that that is an option
7 that would have been viable, that is, simply not using
8 a DLL or PLL at all, that -- you understand that that
9 would be viable for clock speeds up to 200 megahertz;
10 is that right?

11 A. That's my understanding.

12 Q. And you understand that production of a DRAM
13 chip without an on-chip PLL or DLL would be
14 technically feasible for clock speeds up to that level;
15 right?

16 A. That's my understanding.

17 Q. And you understand that the next generation of
18 DDR SDRAMs, the generation that may be in progress as
19 we speak, will involve clock speeds of 200 megahertz;
20 correct?

21 A. I -- I'm not aware of that. I thought the
22 clock speeds of 200 megahertz had already been
23 exceeded.

24 Q. Well, I want to make sure that you understood
25 my question not to refer to data rates but rather to

1 clock speeds.

2 A. I am still not sure.

3 Q. Let me ask you to -- well, strike that.

4 In any event, it is your understanding that
5 simply not using an on-chip PLL/DLL would be a viable
6 option, commercially viable option for clock speeds up
7 to 200 megahertz?

8 A. Yes. I understand that it would be undesirable
9 for -- to design a generation of DRAM at -- that is
10 limited to -- that has no headroom in terms of clock
11 speed. That designers wish to exceed those -- that
12 clock speed within the life of the generation of
13 DDR SDRAM.

14 MR. ROYALL: Your Honor, I move to strike that
15 answer as going beyond the question. And I'm happy not
16 even to repose a question because I think it may
17 already be asked and answered. If Mr. Stone wants to
18 raise this issue on redirect --

19 JUDGE McGUIRE: Sustained.

20 Now, let me ask you a question. How much more
21 time are you going to take?

22 MR. ROYALL: I have one last question.

23 JUDGE McGUIRE: It's a good thing. Okay. I
24 was going to put you on the clock.

25 Go ahead.

1 MR. ROYALL: Thank you, Your Honor.

2 BY MR. ROYALL:

3 Q. Let me just -- this issue of commercially
4 viable, just so the record is clear in how you've
5 defined that term in your definition, let me ask you to
6 take a look at page 157 of your deposition in this
7 case.

8 On that page starting on line 12, do you see I
9 asked you, "Just so we're clear, what is your
10 definition of that term," referring to commercial
11 viability?

12 And you said: "My definition of that term
13 includes choices not made. In other words, it includes
14 possibilities to which customers could shift even
15 though the higher -- even though they are not first on
16 the hierarchy of choices and therefore may not be
17 chosen."

18 Do you see that?

19 A. Yeah. Excellent.

20 Q. So does that remind you of what context you had
21 for that term "commercial viability" in the context of
22 your deposition and the testimony that has related to
23 your deposition today?

24 A. Perfectly. Thank you.

25 Q. And you accept that definition?

1 A. Yes, I do.

2 MR. ROYALL: Thank you. No further questions.

3 JUDGE McGUIRE: All right. Thank you,

4 Mr. Royall.

5 Mr. Stone?

6 MR. STONE: Thank you, Your Honor.

7 JUDGE McGUIRE: Redirect?

8 REDIRECT EXAMINATION

9 BY MR. STONE:

10 Q. Hello, Dr. Rapp.

11 JUDGE McGUIRE: Well, good to see you again.

12 BY MR. STONE:

13 Q. Good to see you again. How's that?

14 You were asked some questions about the least
15 costly alternatives when you ignored whether or not
16 they were covered by Rambus patents. Do you recall
17 that?

18 A. Yes.

19 Q. Would it be correct that according to your
20 calculations with respect to the two features at issue
21 in SDRAM, if we were to ignore Rambus patents and any
22 royalties associated with those patents, the least
23 costly alternatives according to your computations
24 would be programmable CAS latency and programmable
25 burst length?

1 A. Yes.

2 Q. And would those be ones that had the fewest, if
3 any, performance disadvantages?

4 A. Yes.

5 Q. Let me ask you -- you were asked some questions
6 today and perhaps even yesterday by Mr. Royall about
7 whether certain things are possible. Do you recall
8 those lines of questions?

9 A. Vaguely.

10 Q. For example, a question like "would it be
11 possible that"?

12 A. Yes. Sure.

13 Q. In the opinions that you expressed yesterday in
14 your direct examination, did you express those opinions
15 as ones that you believed as an economist and according
16 to economic principles are opinions that would be more
17 likely than not?

18 A. That was my intention in my testimony.

19 Q. Okay. Let me go back to something you were
20 asked about yesterday, the subject of opportunism. Do
21 you recall that subject?

22 A. Yes.

23 Q. In your view, how prevalent or common is
24 opportunism, as you were asked about that subject
25 yesterday?

1 A. Opportunism is everywhere in the economy, and
2 the reason that opportunism is everywhere is that
3 nobody is able to -- it's very rare that people are
4 able to specify perfect contracts, and as long as
5 contracts are imperfectly specified, people can take
6 advantage of the fact that they are -- that they're
7 imperfect. So it happens a lot.

8 Q. Is there a necessary relationship between
9 opportunism and anticompetitive behavior from the
10 perspective of an antitrust economist?

11 A. No. There is no necessary connection because
12 the world would be filled with antitrust violations if
13 there were.

14 Q. So can you put that in a -- let me ask it in a
15 different sense.

16 Does the fact that there is -- that opportunism
17 exists mean that every time it exists that
18 anticompetitive behavior will result?

19 A. That is not the case, if that's clear.

20 JUDGE McGUIRE: You're saying that is not the
21 case that every time there's opportunism that it
22 follows that anticompetitive behavior would result?

23 THE WITNESS: Yes.

24 Thank you, Your Honor.

25 JUDGE McGUIRE: Okay.

1 BY MR. STONE:

2 Q. Let me ask you if you would to turn to the
3 white paper that you were shown earlier by Mr. Royall.

4 A. Got it.

5 Q. And let me ask you to turn to page 10 of that
6 document. I believe at the top of that -- well, I'm
7 going to have to bring this up.

8 I don't have it on our system, Your Honor. Let
9 me see if I can bring it up on the ELMO.

10 Now let's see if I can do this (indicating).

11 A. That's good.

12 Q. Is that good?

13 A. Uh-huh.

14 Q. You were asked earlier about that first
15 paragraph that begins "A fact widely known to students
16 of intellectual property"; correct?

17 A. Yes.

18 Q. What was the purpose for including that
19 particular paragraph in this white paper?

20 A. Nothing other than to initiate a discussion of
21 value which relates eventually to market power, and
22 that initial sentence was there to advise readers of
23 this paper that even though we sometimes speak of
24 patents as patent monopolies, that in an antitrust
25 sense the word "monopoly" means something very

1 different and that you can have a patent with all the
2 seals and ribbons and not have anything like a
3 monopoly, and that's more frequently the case than
4 not.

5 Q. And did you go on from that in the next
6 paragraph to describe a methodology of analysis that
7 is related to the methodology that you've presented
8 here?

9 A. Yes. In the first paragraph, the second
10 sentence and what follows, the same basic methodology
11 is the one that I've used. It's the essence of
12 economic valuation.

13 And the second sentence of the first paragraph
14 reads, "The value of an invention is determined by how
15 much of an improvement the invention is over the
16 closest alternative." The second paragraph expands on
17 that.

18 Q. And does the third paragraph in which you state
19 that "Of course revolutionary inventions -- useful
20 technical advances with weak or nonexistent economic
21 substitutes -- by exactly the same principle can be of
22 great value," is that an effort to build on the
23 introductory paragraph that you were asked about by
24 Mr. Royall?

25 A. Yes. While it is true, as I said at the

1 outset, that most patents aren't worth much, there are
2 a few that are worth a great deal, and since that's the
3 subject of the inquiry, that -- we got to saying -- I
4 got to saying that, my coauthor and I, by the third
5 paragraph.

6 Q. And is the next sentence of that third
7 paragraph, "Processes or products embodying such
8 inventions will themselves be valuable either because
9 the new product characteristics are desirable to
10 consumers or without alternative means to satisfy those
11 desires or because product costs have been reduced in
12 ways that could not be accomplished by other means,"
13 consistent with the testimony you've presented in this
14 proceeding?

15 A. Yes. Absolutely.

16 Q. Let me show you page 19 in that white paper,
17 which I believe you also were shown by Mr. Royall with
18 respect to the numbered paragraphs at the top of this
19 page.

20 And to put those two numbered paragraphs on
21 page 19 in context, you need to consider the text that
22 follows in the next paragraph, the one that I have a
23 little line alongside?

24 A. Yes.

25 Q. And in that paragraph where you say -- are you

1 talking about what the FTC would need to show, in your
2 view, to establish from an economic perspective that
3 there had been anticompetitive behavior?

4 A. Yes.

5 Q. And was it your view at the time of the white
6 paper that if chip manufacturers could switch to the
7 noninfringing alternatives at very little cost, then
8 there is correspondingly very little scope for harm
9 arising from Rambus' nondisclosure of patent
10 applications?

11 A. Right.

12 Q. Is that consistent with your testimony here?

13 A. Yes.

14 Q. And did you, for purposes of this white paper,
15 on page 20, the next page, did you talk at that time
16 about the existence of proposed alternatives and
17 potential cost penalties that might be associated with
18 them in reference, among other things, to the
19 declaration of Mr. -- Dr. Horowitz?

20 A. Yes. At the top paragraph.

21 Q. Yes.

22 And did you acknowledge in this white paper
23 that you had not at that time quantified the costs of
24 the various alternatives, in the paragraph that
25 follows?

1 A. Yes.

2 Q. Okay. You were shown some slides by
3 Mr. Royall -- one slide by Mr. Royall, that were used
4 in meetings with personnel at the Federal Trade
5 Commission. Do you recall that?

6 A. Yes.

7 Q. And the slide I believe he showed you was this
8 one that I have up, which talks about by affecting
9 scarcity of alternatives through increasing the cost of
10 alternatives, it then lists sunk costs, switching costs
11 and coordination difficulties and brackets those to
12 combine to represent lock-in?

13 A. Yes.

14 Q. On the next slide that you used in the course
15 of these meetings, did you summarize your conclusion
16 that if there are low switching costs -- well, let me
17 just ask you, what did you summarize in the paragraph
18 under no change in the cost or availability of
19 alternatives? Just the first point where it leads to
20 no lock-in.

21 A. That where there are low switching costs or
22 small sunk investments that there is no -- that one
23 would expect or predict no lock-in in that
24 circumstance.

25 Q. Again, is that testimony that you believe to be

1 consistent with the testimony you've provided here in
2 this proceeding?

3 A. Yes.

4 Q. Let me ask you, do you have the Micron rebuttal
5 report that Mr. Royall showed you?

6 A. Yes, I do.

7 Q. I think what he showed you was on page 6 of
8 that document. You can take a look at that and see if
9 you can confirm that that's consistent with your
10 recollection.

11 A. Help me to find where in the document.

12 Q. He asked you about that JEDEC determined the
13 standard I believe with respect to Professor Carlton,
14 and then at the bottom of page 6 he referred you to the
15 statement that reads, "Professor Carlton has presented
16 no means to determine whether Micron's assertion that
17 JEDEC members would have switched," and so on. I think
18 this is where he brought you to the question of your
19 statement about Professor Carlton. If you recall
20 different than that, please tell me.

21 MR. ROYALL: If I could just interject for the
22 record, I think the language --

23 MR. STONE: You're right.

24 MR. ROYALL: -- on this page is starting with
25 "knowing." It's that language.

1 MR. STONE: Thank you very much, Mr. Royall.

2 BY MR. STONE:

3 Q. Go to the top of the page where it says
4 "Knowing the reasons behind JEDEC's selection."

5 A. Yes.

6 Q. And do you recall this was the portion of the
7 text you were asked about by Mr. Royall?

8 A. Yes.

9 Q. Let me ask you to turn just if you would to the
10 preceding page of this rebuttal report.

11 And to put in context, if we can, the sentence
12 that you were asked to look at by Mr. Royall, in the
13 preceding paragraph did you discuss that while
14 Professor Carlton and you were neither one experts in
15 semiconductor technology, you were both capable of
16 evaluating the economic costs and benefits of
17 alternatives to technology on the parties at interest?

18 A. Yes.

19 Q. And did you go on say you just needed
20 sufficient information to do that?

21 A. Yes.

22 Q. Is that the computation that you have performed
23 in connection with the testimony here?

24 A. Yes.

25 Q. Now, let me ask you, do you still have a copy

1 of the paper you wrote following the hearings with
2 respect to the joint hearings of DOJ and FTC?

3 A. Right. It was written I think prior to, but I
4 ought to have it on this pile.

5 Q. I have another copy. Let me just hand you
6 mine.

7 May I approach, Your Honor?

8 Let me hand you mine.

9 A. Thanks.

10 Q. Dr. Rapp, in connection with the testimony
11 which you provided at those joint hearings, were you
12 compensated by anyone for that testimony?

13 A. I was not.

14 Q. And in connection with the preparation of this
15 paper, were you compensated by anyone for your work on
16 the paper?

17 A. No. Well, sorry. I was paid my salary by
18 NERA, but NERA was not working for anybody.

19 Q. And let me ask you to look -- in connection
20 with this, the testimony you gave to the joint hearings
21 and the preparation of this paper, did you disclose
22 that you had previously been retained and performed
23 services for Rambus?

24 A. Yes. In the first footnote on page 1,
25 Lauren Stiroh and I, the two authors of the paper,

1 said: "Many of the opinions in this submission also
2 appear in the draft paper Market Power and Technology
3 Markets available from the authors on request. We
4 have also developed certain of our ideas about
5 standard-setting in our role as economic consultants
6 to Rambus, Incorporated, a semiconductor memory
7 technology developer."

8 Q. And did you in this paper arrive at certain
9 conclusions with respect to how standard-setting
10 organizations should conduct themselves? Is that one
11 of the things you arrived at as conclusions in this
12 paper?

13 A. I'm not sure it went quite that far. I think
14 it was -- oh, I'm sorry.

15 MR. ROYALL: No. I don't want to interrupt
16 your answer.

17 THE WITNESS: I think it was probably a
18 discussion of the incentives and consequences of
19 standard-setting rules but didn't rise to the level of
20 giving advice to standard-setting -- about how to --
21 how to fix rules.

22 BY MR. STONE:

23 Q. Okay.

24 MR. ROYALL: All I was going to say is if he
25 was planning to go into that subject it's beyond the

1 scope, but it sounds like --

2 MR. STONE: I had not intended to go any
3 further. I was just trying to put in context what the
4 subject of that paper was.

5 BY MR. STONE:

6 Q. Turn if you would to your expert report, which
7 is CX-3059. And just a couple of questions about your
8 expert report.

9 First, do you recall you were asked about
10 appendix II which listed various documents that you had
11 reviewed?

12 A. Yes.

13 Q. If you would turn to page 6 of this document,
14 but I suspect it's going to be -- it is page 6. No,
15 it's not. Go to page 7 if you would.

16 Okay. Wrong report. That's not going to work.
17 That's a different one. That must be an old one. I'll
18 use the ELMO.

19 Sorry. I should have checked it.

20 A. Page?

21 Q. Page 6.

22 A. 6. Yes.

23 MR. STONE: I apologize, Your Honor.

24 THE WITNESS: It relates to appendix II, if
25 that's helpful.

1 BY MR. STONE:

2 Q. Okay. And let me just direct your attention to
3 the very top of this document under the heading
4 Documents Relied Upon.

5 Did you there summarize the documents you
6 relied upon in preparing your expert report?

7 A. Yes. And documents and interviews -- sorry --
8 documents and reports and analyses.

9 Q. And did you state there that you and economists
10 working with you had conducted interviews with Rambus
11 personnel and technical experts?

12 A. Yes.

13 Q. And did you also there describe your review of
14 various trade press and other documents as well as
15 deposition testimony?

16 A. Yes.

17 Q. And let me ask you if you would to turn to
18 page 13 and take a look at footnote 28.

19 Okay. Do you have footnote 28 in front of
20 you?

21 A. Yes.

22 Q. And did you there disclose that part of the
23 analysis expressed in your expert report had also
24 previously been included in prior writings or
25 incorporated into your previous expert reports, which

1 you then cite?

2 A. Yes.

3 Q. Was it your intention in presenting your expert
4 report to try to set forth in these various places all
5 of the information that you had referred to in
6 connection with preparing your report and coming to
7 your opinions?

8 A. Sure.

9 MR. STONE: Your Honor, I wanted to go into the
10 appendix -- the Exhibit 3 document for a moment because
11 I think only a portion of these numbers were previously
12 gotten into the record through the chart that
13 Mr. Royall prepared, but I wonder if I might have just
14 a moment to confer with him on this.

15 JUDGE MCGUIRE: Yeah, go ahead.

16 (Pause in the proceedings.)

17 MR. STONE: Okay. Let me do it this way,
18 Your Honor.

19 JUDGE MCGUIRE: I assume that didn't go well.

20 MR. STONE: It didn't go as well as I thought.
21 What I wanted to get into the record was the
22 numbers from this chart that are in addition to the
23 numbers that Mr. Royall had offered, and let me see if
24 I can do it expeditiously this way.

25 MR. ROYALL: Could I just mention what the

1 issue I understand to be here.

2 Because I want to expedite this as well, but I
3 think the issue is Mr. Stone I believe wants -- wanted
4 to know if I would allow or not object to the admission
5 of this part of the expert report, but I understand
6 that there's -- because of Mr. Stone's own objections
7 that we've had established ground rules here that
8 expert reports are not admissible, and that's my
9 concern, is that that doesn't seem fair to me that even
10 a portion of this expert report should be admitted when
11 ours over his objections --

12 JUDGE McGUIRE: Well, you're not asking it to
13 be admitted to the court, at least -- I know you've
14 asked him, but you haven't asked me now because you're
15 trying --

16 MR. STONE: If he would have agreed, I would
17 have asked, Your Honor, but I'm not saying he's
18 obligated to agree on this.

19 MR. ROYALL: But obviously I'm happy to see if
20 we can try to expedite this in some way short of
21 admitting that.

22 JUDGE McGUIRE: I think that's what he's trying
23 to do now; right?

24 MR. STONE: Let me just try to see if I could.

25 JUDGE McGUIRE: Right.

1 BY MR. STONE:

2 Q. If we can do this, if you'll allow me to try in
3 this fashion, just so we know what the various numbers
4 that you were asked about by Mr. Royall, for 1994, is
5 the data that you had available to you data which
6 reflected that the revenue share for fast page mode was
7 96.7 percent and for other DRAMs it was 3.3 percent, to
8 total 100?

9 A. Yes.

10 Q. For 1995 for fast page mode was it
11 87.2 percent, for EDO was it 9.9 percent and for other
12 was it 2.9 percent, again by revenue?

13 A. Yes.

14 Q. And those are actual numbers?

15 A. Those -- yes, those are actual percentage
16 numbers.

17 Q. And then for 1996 was it for fast page mode
18 39.4 percent, for EDO 52.7 percent, for SDRAM
19 4.3 percent, for RDRAM .5 percent and for other
20 3.1 percent?

21 A. Yes.

22 Q. For 1997 was it 8.1 percent for fast page mode,
23 55.2 for EDO, 33.5 for SDRAM, 1.3 for RDRAM and 1.8 for
24 other?

25 A. Yes.

1 Q. And then for 1998 was it 8.8 percent for fast
2 page mode, 27.6 percent for EDO, 60.8 percent for
3 SDRAM, 1.6 for RDRAM and 1.3 for other?

4 A. Yes.

5 Q. For 1999 was it 10.5 percent for fast page
6 mode, 17.5 percent for EDO, 69.3 percent for SDRAM, 1.1
7 for RDRAM and 1.5 for other?

8 A. Yes.

1 A. Yes.

2 Q. You recall that earlier today you were asked
3 some questions about why you thought that Intel had
4 removed some aspects of the JEDEC specification. Do
5 you recall that testimony?

6 A. Yes.

7 Q. And you pointed to a citation to a particular
8 exhibit? Do you recall that?

9 A. Yes.

10 Q. Could we bring up RX-2103-14.

11 MR. ROYALL: Your Honor, as you may recall,
12 when I sought to show exhibits to Professor McAfee on
13 redirect, it created some objections, and I was able to
14 show a couple, so I'll -- we're just --

15 MR. STONE: I'm very much at the couple stage.

16 MR. ROYALL: Okay. In fairness, then I won't
17 object.

1 THE WITNESS: Thank you.

2 BY MR. STONE:

3 Q. Thank you. I apologize.

4 If you could blow up under 1.1.

5 Where it says in this document, which is in
6 evidence, where it says under section 1.1 on page 9 of
7 Exhibit RX-201-14 that the objective of the document is
8 to define a new synchronous DRAM specification,
9 PC SDRAM, which will remove extra functionality from
10 the current JEDEC standard SDRAM specification, and
11 goes on from there, is this the language in this
12 document that you were referring to in your slide you
13 were asked about?

14 A. Yes.

15 Q. Thank you. We can take that down.

16 You were asked some questions about your
17 Infineon deposition and how you referred to certain
18 DRAMs I guess as compatible. Do you recall that?

19 A. Yes.

20 Q. And you were shown some pages from your
21 deposition?

22 A. Yes.

23 Q. Over the lunch hour did I give you a copy of
24 your Infineon expert report to review?

25 A. Yes.

1 Q. And did you go back and review your Infineon
2 expert report to see whether you -- in that report how
3 you characterized the compatibility of SDRAM?

4 A. Yes.

5 Q. And what did you find?

6 A. I found that, as I expected to find, that I
7 categorized it as of low compatibility requirements
8 just like I testified in this trial, indicating or
9 confirming that the answer that I gave in the Infineon
10 deposition was just a mistake.

11 Q. And you acknowledge it as such today?

12 A. I do.

13 Q. Earlier today you were asked about the effect
14 of standardization on economies of scale. Do you
15 recall that?

16 A. Yes.

17 Q. Would your testimony with regard to the
18 effects of standardization on economies of scale be the
19 same whether the standardization was de facto or
20 de jure?

21 A. No. Standardization can assist in the
22 achievement of economies of scale whether the standard
23 is formally set or set by the marketplace.

24 Q. Let me make sure I understood your answer
25 correctly then because I think I might have had a

1 double negative or something.

2 A. Oh.

3 Q. Let me just ask it this way.

4 For purposes of the effect of standardization
5 on economies of scale, does it matter whether the
6 standardization is de jure or de facto?

7 A. It does not.

8 JUDGE MCGUIRE: Can I follow up on that?

9 MR. STONE: Certainly, Your Honor.

10 JUDGE MCGUIRE: I know it's getting late.

11 Is it possible and with your understanding of
12 the DRAM industry for there to be competing standards,
13 both a standard set by an SSO and a de facto standard,
14 at least for a period of time? And if so, can you draw
15 any economic conclusions on the OEMs from that, or is
16 that germane to anything we're talking about?

17 THE WITNESS: Yes, I think it is, Your Honor,
18 and I think as long as we say two competing standards
19 and not seven or eight --

20 JUDGE MCGUIRE: Right, two.

1 Just imagine that a decision was made by one
2 or -- one manufacturer or more than one manufacturer in
3 concert with others, the coordination that we were
4 talking about, to produce a substantially cheaper
5 flavor of DRAM that would be consistent with the use of
6 microprocessors that were lower speed or less
7 efficient, somebody who just wants to produce a cheaper
8 machine and everything about it is cheaper.

9 There isn't a technological reason that would
10 prevent that from happening and that would enable
11 someone more diversity than exists today. The
12 economies of scale happen at the level of the line and
13 the plant, so there's nothing to rule that out.

14 The level of coordination in the industry
15 within JEDEC has been higher than would normally allow
16 that, but there's nothing in the past, but that's just
17 a matter of history and the way JEDEC operates.
18 There's nothing about the economics of the industry in
19 my opinion that would prevent that.

20 JUDGE MCGUIRE: Thank you.

21 I'm sorry, Mr. Stone.

22 MR. STONE: No, no. That's quite all right.

23 JUDGE MCGUIRE: I know it's getting late and we
24 don't want to extend this any longer than it's going to
25 take.

1 MR. STONE: My view is whenever there's a
2 question that you think we would benefit from to hear
3 the answer, we should.

4 BY MR. STONE:

5 Q. Dr. Rapp -- I lost my train of thought. I'm
6 sorry.

7 You were asked earlier about whether you could
8 think of an example or you were asked something about
9 whether there was an instance of a single
10 manufacturer-developed unique specification for DRAM.
11 Do you recall that?

12 A. Yes.

13 Q. And is there any example of that that you can
14 think of?

15 A. Yes. The example that Dr. Prince offered in
16 her trial testimony that had to do with video RAM. And
17 the example was that Samsung developed a specification,
18 took it to JEDEC. JEDEC wasn't interested, but the --
19 and Samsung went it alone and succeeded. That's my
20 reading of her testimony.

21 Q. Okay. Has it been part of your assignment or
22 your investigation in this case to look to see whether
23 patented technology has or has not been included in
24 JEDEC specifications over any period of time?

25 A. I know that it has, but it hasn't been a

1 subject of special inquiry on my part.

2 Q. Okay. Have you looked for purposes of your
3 testimony here today at testimony that has been
4 developed during the trial with respect to the
5 frequency of change in the design of DRAMs?

6 A. Yes.

7 Q. And did you, when you were preparing your
8 slides, prepare a couple of slides which summarized
9 some of the testimony you thought was most pertinent?

10 A. I did.

11 MR. STONE: Okay. Your Honor, I think,
12 consistent with the prior rulings, I'm not going to
13 offer what he's done or even bring it up on the screen.
14 I simply wanted to establish that it was part of the
15 work that he had done. I hope that's consistent with
16 the rules.

17 JUDGE MCGUIRE: Noted.

18 MR. STONE: Thank you.

19 BY MR. STONE:

20 Q. Let me go to the question of opporBY so. estion of op

1 could realize a greater benefit from the efforts of an
2 engineer than what the engineer cost you in terms of
3 salary, and so on, would it make economic sense if that
4 were a true proposition for a company to hire more
5 engineers?

6 A. Yes.

7 Q. And if a company was making more money or

1 see the totality of that advice?

2 A. I didn't see the full totality of that advice,
3 but I did ask one of your colleagues at some point to
4 share what was available with me.

5 Q. So you saw --

6 A. I can't claim that it was a thorough inquiry,
7 but I did look into it.

8 Q. Okay. In your opinion, does Rambus have
9 competitors in a technology market?

10 A. Yes.

11 Q. And in a general sense, who are the competitors
12 that Rambus has in a technology market?

13 A. Well, among others, its competitors are the
14 R&D apparatus of the manufacturers. They are
15 producing DRAM technology. There are other -- fabless
16 DRAM technology companies, and all of them compete in
17 general terms. That's not with respect to the
18 relevant markets that Professor McAfee defined, but
19 even there, too, the principal competitors I would say
20 are the integrated R&D operations of the DRAM
21 manufacturers.

22 Q. Okay. You were asked some questions by
23 Mr. Royall about how much money NERA has been paid by
24 Rambus over the course of its consulting work. Do you
25 recall that?

1 A. Yes.

2 Q. As a percentage of NERA's income over the three
3 or four years that you have been providing those
4 services, can you estimate what percentage that money
5 has been?

6 A. Well, I wasn't clear about what NERA has been
7 paid, but if the answer were a million dollars, our
8 annual revenues are \$140 million, so it's well less
9 than 1 percent of that.

10 Q. And does your personal compensation by NERA
11 depend at all upon on the extent of your consulting
12 work?

13 A. My personal compensation depends not at all on
14 my consulting work. My personal compensation depends
15 upon a combination of things that are how well NERA
16 does as a firm as a whole, how well the Mercer, Inc.
17 parent company does, and how well Marsh & McLennan
18 Companies does. Nobody who sets my pay cares much
19 about my own personal billings.

20 Q. You were asked some questions earlier today
21 about whether Rambus has market power with respect to
22 the four technologies. Do you recall that?

23 A. Yes.

24 Q. And in your opinion, does Rambus' market power
25 with respect to those four technologies rise to the

1 level of monopoly power?

2 A. No.

3 Q. And is its market power as you understand it
4 consistent with the market power derived without -- let
5 me see if I can reframe that.

6 Have you formed an opinion as to whether the
7 market power that Rambus has with respect to those four
8 technologies is due to any nondisclosure of information
9 by Rambus to JEDEC as contended by or alleged by
10 complaint counsel?

11 A. No. My opinion is that the market power that
12 Rambus possesses in these four technologies arises
13 solely out of the distance between the cost-performance
14 qualities of the Rambus technologies and the next best
15 alternative.

16 Q. And one final subject I think, if I might,
17 Dr. Rapp.

18 On a couple of occasions yesterday and today
19 you have either been shown or asked about the language
20 on this particular demonstrative that was used by
21 Mr. Royall (indicating). Do you recall that?

22 A. Yes.

23 Q. Is the testimony you've presented during the
24 two days that you've been on the stand in your opinion
25 consistent or inconsistent with the standards that you

1 have set forth in your previous report as quoted on
2 this demonstrative?

3 A. I believe that it has been consistent with
4 that, and I include in that the answers that I gave to
5 Mr. Royall when he showed that in certain respects that
6 I didn't have a quantitative basis, and I hope I was
7 clear that those ought to be given less weight than in
8 the cost analysis and the analysis of performance that
9 I gave.

10 I think that for the assignment that I was
11 given and tried my best to carry out, it's a necessity
12 that real quantitative analysis be used, and I tried my
13 best to be consistent with that quotation in which I
14 quote words to live by and I believe that.

15 Q. And are you comfortable from your perspective
16 as a professional economist with the reliability of the
17 opinions you've expressed here?

18 A. Yes, I am.

19 Q. And I believe the document I just showed you
20 and you were testifying about, Dr. Rapp, was DX-325, so
21 we note that for the record.

22 I have no further questions, Your Honor.

23 JUDGE McGUIRE: Thank you, Mr. Stone.

24 Any further recross, Mr. Royall?

25 MR. ROYALL: Very brief.

1 JUDGE McGUIRE: Thank you.

2 RE-CROSS-EXAMINATION

3 BY MR. ROYALL:

4 Q. Dr. Rapp, you recall Mr. Stone asked you about
5 some language in your expert report -- I don't even
6 know -- you're welcome obviously to turn there, but it

1 Q. Am I right that for purposes of your expert
2 report in this case you did not rely upon or consider
3 any information that you obtained through interviews of
4 Rambus personnel?

5 A. That is correct with the proviso that I think I
6 gave you when I was deposed, and that is that there was
7 an earlier set of interviews with Rambus personnel.
8 They formed the -- some background understanding that I
9 have and that went into my opinions, and it's -- and we
10 both understand what that means I think.

11 Q. By way of background?

12 A. Yes.

13 Q. And am I right that for purposes,
14 notwithstanding this statement on page 6 of your expert
15 report, for purposes of forming the conclusions set
16 forth in this report, you did not rely upon or consider
17 any information that you obtained or learned through
18 reading the deposition testimony?

19 A. Everything that I've relied upon was listed in
20 the appendix of my report.

21 Q. And I think as we established yesterday, there
22 are no depositions identified in that appendix II?

23 A. Right.

24 Q. Now, very quickly, with reference to the --
25 you were asked about your testimony in the Infineon

1 case.

2 A. Yes.

3 Q. You mentioned that you had looked at your
4 report in the Infineon case.

5 That report that you looked at was a report
6 that was written before you testified in the deposition
7 that I asked you about; right?

8 A. That's correct.

9 Q. And so the deposition that you gave was a --
10 the deposition testimony that I asked you about, that
11 was deposition testimony that you gave in answering
12 questions about that same report --

13 A. Right.

14 Q. -- correct?

15 The final question I wanted to ask you about,
16 Mr. Stone asked you about to the extent to which you
17 had looked into the record about advice to Rambus
18 regarding JEDEC. Do you recall that?

19 A. Yes.

20 Q. And I think you said that you had asked one of
21 Mr. Stone's colleagues to see whatever was available
22 in terms of contemporaneous legal advice relating to
23 that --

24 A. Yes.

25 Q. -- that set of issues?

1 And am I right that you don't recall anything
2 about what you saw in any such evidence beyond what you
3 testified to in your answers in cross-examination?

4 A. I think that's fair, that there's not anything
5 else that -- of relevance to my testimony or the
6 questions that I was asked by you that's part of my
7 recollection as a result of that, the review of those
8 documents.

9 Q. And just to give some reference point in that,
10 and the questions that I was asking you about had to do
11 with whether you're aware of any contemporaneous
12 evidence that Rambus had concerns that additional
13 disclosures of patent-related materials might have
14 adverse consequences for Rambus; right?

15 A. Right.

16 Q. Do you recall that that was the general
17 context?

18 A. Yeah. See if this is helpful. The answer
19 that -- the answers that I gave you to your questions
20 represent my full knowledge and recollection of the
21 subject.

22 MR. ROYALL: Thank you.

23 No further questions.

24 JUDGE MCGUIRE: Thank you, Mr. Royall.

25 MR. STONE: No questions, Your Honor.

1 JUDGE McGUIRE: Thank you.

2 And Dr. Rapp, you're excused from this
3 proceeding. I thank you very much for your testimony.
4 And for your information, right across the street is a
5 little pub and you may want to go there and have a soft
6 drink or something.

7 THE WITNESS: It's actually an airplane that I
8 have in mind. Thank you, Your Honor.

9 JUDGE McGUIRE: Okay. Counsel, very good.
10 We'll convene tomorrow morning at 9:30.

11 MR. STONE: Yes.

12 JUDGE McGUIRE: Th Yes.

