

1 FEDERAL TRADE COMMISSION
2 I N D E X (PUBLIC RECORD)
3
4 WITNESS: DIRECT CROSS REDIRECT RECROSS
5 Rapp 9763 9942
6
7
8 EXHIBITS FOR ID IN EVID
9 CX
10 -----
11 RX
12 -----
13 DX
14 Number 323 9985
15 Number 324 10002
16
17
18
19
20
21
22
23
24
25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

UNITED STATES OF AMERICA
FEDERAL TRADE COMMISSION

In the Matter of:)
Rambus, Inc.) Docket No. 9302
-----)

Tuesday, July 22, 2003
9:32 a.m.

TRIAL VOLUME 47
PART 1
PUBLIC RECORD

BEFORE THE HONORABLE STEPHEN J. McGUIRE
Chief Administrative Law Judge
Federal Trade Commission
600 Pennsylvania Avenue, N.W.
Washington, D.C.

Reported by: Josett F. Hall, RMR-CRR

For The Record, Inc.
Waldorf, Maryland
(301) 870-8025

1 APPEARANCES:

2

3 ON BEHALF OF THE FEDERAL TRADE COMMISSION:

4 M. SEAN ROYALL, Attorney

5 GEOFFREY OLIVER, Attorney

6 JOHN C. WEBER, Attorney

7 Federal Trade Commission

8 601 New Jersey Avenue, N.W.

9 Washington, D.C. 20580-0000

10 (202) 326-3663

11

12 ON BEHALF OF THE RESPONDENT:

13 GREGORY P. STONE, Attorney

14 STEVEN M. PERRY, Attorney

15 PETER A. DETRE, Attorney

16 SEAN GATES, Attorney

17 Munger, Tolles & Olson LLP

18 355 South Grand Avenue, 35th Floor

19 Los Angeles, California 90071-1560

20 (213) 683-9255

21

22

23

24

25

1 APPEARANCES:

2

3 ON BEHALF OF THE RESPONDENT:

4 A. DOUGLAS MELAMED, Attorney

5 Wilmer, Cutler & Pickering

6 2445 M Street, N.W.

7 Washington, D.C. 20037-1420

8 (202) 663-6090

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

P R O C E E D I N G S

1

2

3

JUDGE McGUIRE: This hearing is now in order.

4

It's certainly good to have you back,

5

Mr. Royall. I hope you're feeling well.

6

MR. ROYALL: Thank you, Judge. I appreciate

7

that very much.

8

JUDGE McGUIRE: Any housekeeping tasks here

9

this morning before we begin?

10

MR. STONE: Not on our side, Your Honor.

11

JUDGE McGUIRE: Anything from complaint

12

counsel?

13

MR. ROYALL: Well, there's one thing that I

14

understand came up yesterday when Mr. Oliver was here

15

relating to the subject of our rebuttal case.

16

JUDGE McGUIRE: Yes.

17

MR. ROYALL: And I did have a couple comments,

18

if I could comment on that now.

19

JUDGE McGUIRE: Go ahead.

20

MR. ROYALL: First of all, Mr. Oliver and I

21

have spoken about this, and to the extent we put on a

22

rebuttal case, we would envision it on the order of, on

23

the outside, two to three days, barring something

24

unforeseen. And if respondent were to rest on Tuesday,

25

we would envision completing our -- subject to some

1 go into any of this because we assumed it would be
2 objected to if we'd seek to rebut their expert
3 testimony before he testified.

4 So for our rebuttal case we would envision
5 Professor McAfee on the order of a half a day and
6 possibly Professor Jacob, but we're still analyzing
7 that, and if he were to testify, it would be on the
8 order of a half a day, and then all that would remain
9 would be some possible factual rebuttal that would be
10 limited, and we're still assessing that, both the need
11 for it and the availability of witnesses. But if all
12 of that, all told, we would envision not taking more
13 than two, two and a half days.

14 JUDGE MCGUIRE: So what is that Friday? I know
15 the 29th is Tuesday.

16 MR. STONE: I believe that's the 1st.

17 JUDGE MCGUIRE: Okay. Well, we tried to keep
18 it out of August, but I guess we slipped in a little
19 bit.

20 Mr. Stone, do you have any comment with what's
21 being proposed here?

22 MR. STONE: No. I think the contemplation of
23 two, two-and-a-half-day rebuttal with it principally
24 focused on their experts is consistent with what I
25 think we had understood they might do. And I think the

1 scope of those, the expert testimony, will, I think as
2 Your Honor has indicated, be limited to what's proper
3 rebuttal, but I do think it's not -- it doesn't come as
4 a surprise to us that it might be Professor McAfee
5 and/or Dr. Jacob.

6 JUDGE MCGUIRE: Then let's proceed on that
7 basis and we'll keep that in mind.

8 I had indicated that I'd asked complaint
9 counsel to file with the court a motion by Thursday
10 depicting which -- you know, whom you would have back
11 on and the testimony that they sought to rebut. Is
12 that going to be a problem?

13 MR. ROYALL: Well, one thing is in terms of
14 experts, we are hoping that you would clarify that we
15 wouldn't need to do that type of exercise for experts.
16 In part for the economists it would be difficult
17 because they haven't -- their economist hasn't even yet
18 testified.

19 JUDGE MCGUIRE: Mr. Stone, do you have any
20 opposition to the expert request on that point?

21 MR. STONE: I do think that since our expert
22 case is coming, we have tried to limit it somewhat from
23 the reports, that it would be appropriate for a general
24 description at least -- it would be hard for complaint
25 counsel to do page and line of the transcript -- but at

1 least a general description of what their experts would
2 do, and then complying with I think Your Honor's order
3 with respect to the other specific witnesses would be
4 appropriate.

5 JUDGE MCGUIRE: Then that's what we'll do. I
6 won't require the page and line citation for your
7 experts, but I'm going to ask you to confer with the
8 other side and, you know, apprise them of exactly what
9 you intend to offer as well as in the motion that
10 you're going to file on Thursday for your other
11 witnesses. Okay? Is that clear?

12 MR. ROYALL: I'd like to ask Mr. Oliver to see
13 if he has any additional comments.

14 JUDGE MCGUIRE: Okay. Mr. Oliver?

15 MR. OLIVER: I think that will be fine,
16 Your Honor.

17 JUDGE MCGUIRE: Okay. Good.

18 Is there anything else?

19 MR. OLIVER: If I could point out, that's
20 subject to the proviso that because apparently
21 Mr. Teece won't be concluding until Friday, we may need
22 to augment what we do on our motion on Thursday.

23 JUDGE MCGUIRE: We'll keep that in mind.
24 Let's hope we don't get to that point, but I won't
25 hold you to that. But we'll see as we cross that

1 I don't know whether this is going to come up
2 or not or how frequently it may come up today, but from
3 looking at the slides, the demonstrative slides that
4 were shared with us yesterday in connection with
5 Dr. Rapp, there is one slide that would appear on its
6 face to be objectionable along the lines of those
7 objections.

8 And I don't know -- I don't have copies for
9 Your Honor of these slides.

10 MR. STONE: I have a set for Your Honor.

11 MR. ROYALL: But the one I'm referring to is
12 slide number 4.

13 Do you have a copy we could share?

14 MR. STONE: Yes.

15 Your Honor, could I hand this up?

16 JUDGE McGUIRE: Certainly.

17 MR. ROYALL: Why don't we just do this by
18 paper.

19 JUDGE McGUIRE: Slide number 4?

20 MR. ROYALL: Yes, it's slide number 4.

21 And again, obviously Mr. Stone can respond, but
22 this slide does seem to run afoul of the ground rules
23 that were established with Professor McAfee in that it
24 appears that it is summarizing factual information not
25 by way of assumptions and in fact is summarizing what

1 documents say, and this does not appear to be confined
2 to a statement of assumptions along the lines of
3 what -- the limitations that were placed on
4 Professor McAfee, so that was our concern.

5 JUDGE MCGUIRE: Let's see if we can get some
6 clarity on that, Mr. Stone.

7 MR. STONE: I think what we'll see when
8 Dr. Rapp testifies is this will simply be a statement
9 of his understanding of the factual information
10 necessary for him to form his opinions and it's simply
11 a basis for his opinions. I don't intend -- I'm very
12 cognizant of --

13 JUDGE MCGUIRE: Is it his assumption?

14 MR. STONE: It is going to be his assumption.

15 JUDGE MCGUIRE: So if you make that clear in
16 the record, would that resolve your objection,
17 Mr. Royall?

18 MR. ROYALL: Well, the concern I have for one
19 thing is that this is quoting from a document and
20 presenting a document here, which is something that was
21 objected to when questions of this sort were asked of
22 Professor McAfee.

23 JUDGE MCGUIRE: Are you talking about bullet
24 point 2 here?

25 MR. ROYALL: Yes.

1 So if these are assumptions, if they could,
2 the assumptions could be stated without use of this
3 slide, I would have no objection to that, but it's the
4 use of this slide that seems to run afoul of the
5 ground rules that were established previously with
6 Professor McAfee.

7 JUDGE McGUIRE: Mr. Stone, I think he's got a
8 good point there. How can we address this issue?

9 MR. STONE: I think what we see here,
10 Your Honor, is exactly similar to what Professor McAfee
11 did when he quoted from a Rambus business plan in one
12 of his slides or when he quoted from the testimony of
13 Mr. Davidow at deposition and he put that up on one of
14 his slides.

15 I think quoting from documents or testimony to
16 explain the basis for their opinions is what
17 Professor McAfee did, and as long as it was made clear
18 it was his understanding and it wasn't -- he wasn't
19 professing a view as to whether that evidence would
20 ultimately be consistent with Your Honor's conclusions,
21 it was appropriate.

22 I do have some copies of Professor McAfee's
23 slides that do this if we need to show them for the
24 comparison, but I did try and I do think the use of
25 this slide and this reference is consistent with what

1 was permitted, and in fact without objection, with
2 Professor McAfee.

3 JUDGE McGUIRE: Well, that's what I want to do
4 here, is be consistent with our prior determination, so
5 is there any way the two of you can iron this out, or
6 do you want me to rule --

7 MR. ROYALL: Your Honor, I think it's correct
8 that there was one slide that I'm aware of with
9 Professor McAfee in which he did quote from a
10 deposition, and as long as it's couched in these terms
11 with -- that it's clearly an assumption, it's not a
12 summary of the record, and as long as the testimony
13 doesn't go beyond that, I'll withdraw the objection at
14 this point.

15 JUDGE McGUIRE: All right. You'll stipulate to
16 that then; right? Correct, Mr. Stone?

17 MR. STONE: Yes. His factual -- his reliance
18 on the facts is something that his understanding is
19 ultimately subject to Your Honor's ruling of what the
20 facts ultimately are.

21 JUDGE McGUIRE: Then with that understanding,
22 I'll go ahead, and they can present this slide, and
23 then if you have any further opja3HhatohsmNaTjT* 22 end ou

1

JUDGE McGUIRE: Mr. Stone, you may call your

1 property economics, which is a branch of industrial
2 economics.

3 Q. Would you please give us a brief description of
4 NERA, the company for which you work.

5 A. NERA is an economic consulting firm. It
6 operates around the world. It specializes not in the
7 kind of economics that you hear on CNBC or something
8 like that. It's the economics of competition,
9 regulation and finance, which includes industrial
10 economics, antitrust, intellectual property,
11 securities, and the like.

12 So as far as the -- I'm sorry.

13 Q. No. How long have you been with NERA?

14 A. I've been with NERA since 1977.

15 Q. What's your current position?

16 A. I'm the president.

17 Q. And how long have you been the president?

18 A. I've been the president since 1988, so just
19 about 15 years.

20 Q. And you said that NERA had offices throughout
21 the world?

22 A. It does. It has about 15 offices,
23 500 employees.

24 Q. What are your responsibilities at NERA as its
25 president?

1 A. I am the chairman of its board and management
2 committee and I have ultimate responsibility for all
3 aspects of the firm's management, which means the
4 financial performance, risk management, recruiting, and
5 so forth.

6 But I should add that NERA is a part of a
7 larger firm. It is a subsidiary of a consulting group
8 called Mercer or Mercer, Incorporated, and that in turn
9 is a subsidiary of Marsh & McLennan Companies.

10 Q. Do you perform services at NERA other than
11 those of management responsibility that you've just
12 described?

13 A. Yes. I spend about half or two-thirds of my
14 time -- it varies -- on management, but another third
15 to one-half of my time I spend doing economic
16 research, the likes of which I did since I joined the
17 firm.

18 Q. And would that be generally described as
19 consulting, economic consulting work?

20 A. Yes.

21 Q. And how long have you been doing that?

22 A. Again, since 1977.

23 Q. Let me take you back a little bit further than
24 that even and ask you if you would share with us
25 briefly your educational background.

1 A. Sure. I have a BA in economics from
2 Brooklyn College which I received in 1965 and an MA
3 and a Ph.D. degree in economic history from the
4 University of Pennsylvania, and the dates are 1966 and
5 1970 for those.

6 Q. And what is economic history?

7 A. Economic history is a branch of economics and
8 has to do with application of economic theory to
9 historical statistical data.

10 Q. Did you start full-time employment sometime
11 after receiving your Ph.D.?

12 A. Yes. At once after receiving my Ph.D.

13 Q. What was your first job?

14 A. My first job was as an assistant professor and
15 then later a tenured associate professor at the
16 State University of New York at Stony Brook.

17 Q. In what department were you there?

18 A. I was there in the department of history and
19 taught in both history and economics.

20 Q. And what courses did you teach that were
21 related to economic issues?

22 A. Well, I taught economic history, which was the
23 field of my training, and I taught macroeconomics,
24 microeconomics, quantitative methods, and then a
25 variety of other subjects as well.

1 Q. How long did you stay at the State University
2 of New York at Stony Brook?

3 A. Until 1977. I just had those two jobs.

4 Q. What was the nature of your research when you
5 were a university professor?

6 A. I was interested in the subject of
7 anticompetitive behavior and economic decline, so
8 the -- when I speak of anticompetitive behavior in this
9 context I'm talking about the nation rather than the
10 firm as a unit of competition, so my particular
11 interest was in international trade rivalry, predatory
12 trade tactics and the relationship between that and how
13 national economies rise and decline.

14 Q. And did you write articles or books in that
15 area while you were a professor?

16 A. Yes. I wrote a book about the subject -- and
17 these had to do with past centuries. The book was
18 about the 17th century, articles about the 17th and
19 19th and 20th century examples of these things.

20 Q. Have you engaged in any research and writing
21 since you joined NERA in 1977?

22 A. Yes.

23 Q. Can you tell us briefly what you've done that
24 would be pertinent at least to the testimony you
25 anticipate to give here?

1 A. Sure. The thread -- virtually the only common
2 thread in what I did then and what I did since 1977 had
3 to do with the subject of anticompetitive behavior but
4 now with the firm as the unit of competition.

5 In the 1980s, a great deal of the work,
6 consulting work, that I did had to do with the subject
7 of predatory pricing, so I wrote, among other things,
8 articles on that subject.

9 For example, there was one called Predatory and
10 Exclusionary Tactics: The Economics of Akzo, A-K-Z-O,
11 which was a case in the European Community; another
12 called Predatory Pricing of Practical Synthesis.
13 Those were published in law journals, in the European
14 Competition Law Journal and in the Antitrust Law
15 Journal in the United States.

16 Q. You're not a lawyer; correct?

17 A. I'm not a lawyer.

18 Q. Why is it that you publish articles in law
19 journals?

20 A. It is a way for antitrust economists to make
21 their opinions both known and useful in the community
22 of lawyers that and economists that uses them.

23 Q. Have you done research and writing while at
24 NERA in connection with other fields of study as well?

1 Q. Could you tell us what those are.

2 A. I've done work in healthcare financing,
3 particularly in Japan of all things.

4 I've worked and written on intellectual
5 property economics, and that too was keyed -- some of
6 it was keyed to lawyers. Some other of it was just for
7 the general -- just general scholarship on the costs
8 and benefits of intellectual property protection in
9 developing countries.

10 Q. Have you written on innovation or high
11 technology types of areas?

12 A. Yes. In the 1990s, the focus of my consulting
13 shifted mainly to high-technology areas and my writing
14 did also.

15 So that, for example, in the mid-'90s, when
16 the FTC/DOJ Intellectual Property Guidelines came out,
17 I wrote an article, also published in the Antitrust
18 Law Journal, entitled The Misapplication of the
19 Innovation Market Concept to Mergers, or something
20 like that.

21 Q. Okay. Could you, in addition to your
22 publications, describe which of your work experiences
23 since you've been at NERA is most directly relevant to
24 your testimony that you anticipate giving today.

25 A. Yes. As I say, a good deal of my work in the

1 past, say, fifteen or even not quite twenty years has
2 been in the area of high-technology antitrust and
3 intellectual properties, and so to narrow it down
4 further -- but this is by no means all of it -- I have
5 done consulting work typically in connection with
6 litigation projects with antitrust cases in the
7 computer and semiconductor industries.

8 And my clients at one time or another have
9 included many major computer and semiconductor firms,
10 which I could name for you if you care to hear it.

11 Q. Well, let me ask you this: Has any of your
12 work focused on standard-setting?

13 A. Yes, some of it has. And some of it actually
14 goes a long way back.

15 More than twenty years ago, I was called upon

1 About five years or so ago, I proposed to the
2 antitrust section of the American Bar Association that
3 it would be sensible to have a session on
4 standard-setting, and I helped to organize that and
5 gave a paper on the economics of standard-setting as
6 the background.

7 And then I guess about a year ago in the
8 spring, there were joint hearings of the Federal Trade
9 Commission and the Department of Justice on
10 intellectual property and antitrust and the
11 knowledge-based economy. I'm not sure I have the title
12 exactly right. And I participated in those hearings
1-abled r wrotve a paper 00c1ra(1-abmarkeon that)TjTf(pot
10 i8andard-setting, and rounl thobou anbou simil in

1 issues?

2 A. Yes. The same is true. I have testified
3 probably about five or six times as an expert in
4 intellectual property, particularly patent
5 infringement cases, and my subject matter there is
6 typically the valuation of patents or patent
7 infringement damages.

8 And I have written on that subject as well,
9 again, both on intellectual property economics in the
10 larger sense and about -- and on damage calculations --

11 JUDGE MCGUIRE: Can I interject and just expand
12 on that last inquiry?

13 You say you've testified as an expert in patent
14 infringement cases?

15 THE WITNESS: Yes.

16 JUDGE MCGUIRE: And on IP-type --

17 THE WITNESS: Correct.

18 JUDGE MCGUIRE: I'm curious what training
19 you've had in those areas to qualify you as an expert
20 in your mind.

21 THE WITNESS: Sure. It is only the economics,
22 but the only area in which I testify in those cases --
23 there are really only two. One has to do with
24 commercial success, and in fact that's a very rare
25 assignment, which I understand is part of the test for

1 the nonobviousness of a patent. But for the most part
2 my work is in patent infringement damages.

3 JUDGE McGUIRE: I got you.

4 THE WITNESS: Okay.

5 JUDGE McGUIRE: All right, Mr. Stone. I
6 understand.

7 BY MR. STONE:

8 Q. You mentioned you were first retained by Rambus
9 about three years ago?

10 A. Yes.

11 Q. What case was that in connection with?

12 A. It was in connection with the Infineon case.

13 Q. And were you designated as a testifying expert
14 in that case?

15 A. Well, let me say that I was about to become
16 one. Whether the court -- I never stood up in court to
17 testify, so "designated" may not be the right i5, fmpconnect6
that case? butnot bjudge cour'me" masuAndwhatnot be the righs case

Waldorf riarylndeambus

1 Q. And in that case who had been asserting
2 antitrust claims?

3 A. It was Infineon.

4 Q. And so you were prepared to testify as to
5 Infineon's antitrust counterclaims when the judge took
6 them out of the case?

7 A. Yes.

8 MR. STONE: Okay. Your Honor, at this time
9 we'd like to tender Dr. Rapp as an expert in antitrust
10 and intellectual property economics.

11 JUDGE MCGUIRE: Any opposition?

12 MR. ROYALL: Your Honor, I don't think I have
13 opposition to that as long as I have an understanding
14 of what is meant by "intellectual property economics."

15 JUDGE MCGUIRE: Can you clarify that,
16 Mr. Stone?

17 MR. STONE: I think the economics of the
18 valuation of intellectual property and its economic
19 role in a general sense within both standard-setting
20 organizations and society is the issue, certainly not
21 the technical side of intellectual property. We're not
22 tendering Dr. Rapp as a technical expert on
23 engineering.

24 MR. ROYALL: Okay. With that understanding, I
25 have no objection.

1 JUDGE McGUIRE: All right. Then he shall be
2 qualified as offered.

3 MR. STONE: Thank you, Your Honor.

4 BY MR. STONE:

5 Q. Now, did you in advance of today, Dr. Rapp,
6 prepare some demonstratives to help explicate or
7 explain your testimony today?

8 A. Yes, I did.

9 Q. And did you prepare one that would help
10 summarize the subjects on which you've been asked to
11 testify?

12 A. Yes.

13 Q. If we could, bring up the first one, which will
14 be DX-302. And I've prenumbered these. Hopefully I'll
15 keep them in the right order, Your Honor.

16 And with this demonstrative on the screen for
17 us to follow along, Dr. Rapp, could you tell us in a
18 brief and summary sense what you've been asked to
19 testify about today.

20 JUDGE McGUIRE: And just so we're clear -- oh,
21 you've already had that marked as DX-302. I'm sorry,
22 Mr. Stone. Go ahead.

23 MR. STONE: Is that okay, Your Honor?

24 JUDGE McGUIRE: It's in the transcript. I just
25 didn't hear you say that.

1 MR. STONE: Okay.

2 THE WITNESS: Just as the slide says, I have
3 three basic subjects.

4 The first is whether Rambus' actions in JEDEC
5 created market power for Rambus. And by "Rambus'
6 actions" what I am assuming is a reference to the
7 alleged failure to disclose intellectual property
8 interests that complaint counsel believes should have
9 been disclosed.

10 BY MR. STONE:

11 Q. And let me interrupt you for a moment so we're
12 clear on the scope of the testimony in that regard.

13 You haven't formed an opinion as to whether
14 there was or was not a duty to disclose on Rambus' part
15 to JEDEC; is that right?

16 A. Correct.

17 Q. And you've just assumed that there was such a
18 duty and that Rambus did not disclose some things that
19 either Professor McAfee or complaint counsel have
20 argued they should have disclosed; right?

21 A. Right. And again, I don't claim familiarity
22 with the specifics of what disclosures are required or
23 alleged to be required.

24 Q. Okay. And then if you would, continue on with
25 I think what would be the second topic on which you've

1 been asked to testify.

2 A. The second is whether manufacturers were at
3 any time locked into the Rambus technology at issue in
4 this case, which also goes to the question of market
5 power.

6 Q. And let me interrupt you on that one if I can.

7 When you say "the Rambus technologies," what do
8 you refer to?

9 A. I'm referring to the four technologies that I
10 understand are at issue in this case: programmable
11 CAS latency, programmable burst length, the use of a
12 PLL/DLL on a chip, and dual-edged clocking.

13 Q. Then what's the third subject on which you've
14 been asked to testify?

15 A. I have been asked to testify about whether
16 Rambus' actions in JEDEC can be called predatory or
17 exclusionary according to the usages and tests that
18 economists employ.

19 Q. And in regard to that particular subject of
20 testimony, have you again assumed for purposes of your
21 analysis that whatever complaint counsel or
22 Professor McAfee have contended Rambus should have done
23 and didn't do, you've assumed that to be the case for
24 that analysis?

25 A. Yes.

1 Q. Okay. If you would -- and I think we can
2 probably take that demonstrative down for a moment.

3 If you would, describe for us briefly the
4 nature of the work you have done in connection with
5 this case to prepare to testify on those three areas.

6 A. Sure. There was an initial study of data on
7 DRAM industry shipments and prices and other aspects of
8 the industry more broadly with which I was familiar but
9 bringing myself up-to-date.

10 There was a review of documents that were
11 relevant to these subject matters, depositions as they
12 were taken during the course of the case, a review of
13 trade press, securities analyst reports and other
14 research materials that economists typically use. And
15 at some point the trial transcript and the trial record
16 became available to me.

17 There were also literatures that I reviewed
18 particularly on the economics of standard-setting. And
19 I was -- as I said, I reviewed the trial transcript,
20 and I also was present in court for the testimony of
21 Dr. Soderman, Mr. Geilhufe and Professor McAfee.

22 Q. And did you review any materials related to
23 JEDEC meetings or standards?

24 A. I did. I would say later in the game, at the
25 time the trial transcript and materials became

1 available, not early in my studies.

2 Q. Okay. And have you prepared a summary of the
3 conclusions of your opinions?

4 A. Yes. It's the second slide.

5 Q. Okay. If we could bring up DX-303, please.

6 And is this a chart you prepared to summarize
7 your conclusions?

8 A. It is.

9 Q. Could you briefly explain to us the first
10 conclusion set forth, which reads, "There were no good
11 economic substitutes for the four Rambus
12 technologies."

13 A. That is my conclusion, that there were no good
14 economic substitutes for the four Rambus technologies.

15 In other words, briefly, in cost-performance
16 terms, the alternatives that I have analyzed, which are
17 basically the alternatives that were proposed by
18 Professor McAfee and complaint counsel as commercially
19 viable alternatives, relying on the expert reports
20 initially and then the testimony of Mr. Geilhufe and
21 Dr. Soderman, my conclusion is that those alternatives
22 were poor economic substitutes and that as a result of
23 that, moving to the next conclusion --

24 JUDGE MCGUIRE: Now, before you get there, let
25 me just ask you to explain for my edification what you

1 mean by "economic substitute."

2 THE WITNESS: An economic substitute is a -- an
3 alternative to which consumers would readily turn --
4 "consumers" in this case means DRAM manufacturers, and
5 it's technology because we're in a technology market
6 rather than a goods market -- to which they would
7 readily return in response to, let's say, excessive
8 pricing, whether it's a price increase or a price above
9 the norm. A readily available default.

1 Q. And what then is your third conclusion,
2 Dr. Rapp?

3 A. The third conclusion is actually subsidiary to
4 the others. It is that DRAM manufacturers were not
5 locked into the four Rambus technologies.

6 And the way to say that a little more
7 expansively is to say that if the economic
8 substitutes -- if the alternatives that we are
9 discussing were, contrary to my conclusions, close
10 economic substitutes, then manufacturers would have
11 been able to shift to those substitutes if they -- if
12 there was -- if the price of the Rambus technology were
13 too high. Let's put it that way.

14 Q. Okay. Have you analyzed in regard to that
15 whether there's been any impact on competition or any
16 anticompetitive effect from the conduct that Rambus is
17 alleged to have engaged in?

18 A. Yes. It's an additional conclusion to these.
19 It's really part of the same story, but it follows from
20 my earlier conclusions, but it stands alone that I will
21 offer the opinion and I am offering the opinion that
22 Rambus' actions were not exclusionary according to --
23 or predatory, according to the economic tests.

24 Again, I'm not here to reach a final answer on
25 that subject but to supply the economic analysis.

1 Q. And your final one on the chart, Rambus'
2 actions in JEDEC were not predatory --

3 A. Sorry. I was staring at that. I've given you
4 the answer to exclusion -- the question that you asked
5 me was about impact; is that --

6 Q. Yes. Is there any anticompetitive impact from
7 it?

8 A. Yes. That is a conclusion of mine also, that
9 without the creation of market power in
10 standard-setting and without lock-in that Rambus'
11 actions in JEDEC had no adverse economic impact on
12 competition.

13 Q. Okay.

14 A. Sorry about that.

15 Q. No. That's okay.

16 Let me ask you then, as a little further
17 background to some of your analysis, whether or not
18 there is economic literature that you've been able to
19 refer to that addresses the economics of
20 standard-setting.

21 A. Yes. There is an extensive literature on
22 standard-setting, on the economics of
23 standard-setting.

24 Q. And are you acquainted with that literature?

25 A. Yes.

1 Q. According to that literature, what is a
2 standard? What does the economic literature consider a
3 standard to be?

4 A. A standard is a specification of a product
5 design intended to achieve engineering compatibility,
6 intended to accomplish a means by which either parts
7 will fit into products or systems or components of a
8 network will work together seamlessly. The term for
9 compatibility that's sometimes used in that setting is
10 interoperability.

11 Q. Does the economic literature and do economists
12 recognize a certain set of circumstances in which
13 there's a need for standard-setting?

14 A. Yes. Absolutely.

15 Q. And what's that, if you could describe for us
16 that set of circumstances?

17 A. That set of circumstances is when compatibility
18 requirements is high and when either products or
19 systems or networks will fail unless compatibility,
20 engineering compatibility, is maintained at a high
21 level.

22 Q. We've heard in this case from time to time
23 reference to complementary products or
24 complementarity?

25 A. "Complementarity."

1 Q. Could you explain what that means.

2 A. That is an economic concept that is closely
3 allied to the issue of compatibility. Complementary
4 goods are goods that go together in an economic sense.

5 We tend to -- economists tend to think about
6 the relationship between prices and quantities. But
7 basically what -- so in that context, the complementary
8 good is one whose -- if there are two goods that are
9 closely complementary and the price of one of those
10 goods goes up, then the quantity of the other one will
11 go down, and that's because the price on one going up
12 will discourage the purchase -- if the price of good A
13 goes up, it will discourage the use of good A, and
14 since good A and good B fit together, it will cause a
15 decline in quantity of good B.

16 That's the economics of it, but the way to
17 think about it in normal terms is goods that go -- that
18 fit together closely where you can't use one without
19 the other.

20 And the example that always comes to mind for
21 me is the first case that I worked on that had this
22 quality was photocopiers, so the complementary goods
23 were photocopiers, toner that you put in
24 photocopiers -- that's specific to a kind of
25 photocopier; you can't just use it interchangeably --

1 and drums and things like that.

2 Q. Does standard-setting, again from an economics
3 point of view, does it include specifying every detail
4 of, for example, the products, the toner and the
5 copiers that you mentioned or other products?

6 A. From an economic point of view, the answer to
7 that question is no. The standard-setting works best
8 in the economy when it achieves the purpose that it's
9 intended to achieve, that is to say, parts
10 compatibility, system compatibility, but doesn't
11 overdetermine a product's characteristics.

12 I'll give you an example if it would be
13 helpful.

14 Q. I think it would.

15 A. The example that comes to mind when I think
16 about this is tires. And of course there are standards
17 when you buy a tire -- I forget how the numbering
18 works, but you know, there's a grade for the -- whether
19 it's a high-speed tire and it's X70-something by 18 --
20 where you know when you're buying a certain tire that
21 it's going to fit on the wheel, that it's going to be
22 appropriate to the kind of driving that you're going to
23 do, and so forth, but the product design goes much
24 beyond that.

25 So the standard assures you that the -- of

1 something about the tread and the size and fit of the
2 tire, but as we all know, advertising about tires
3 conveys a lot of additional information about whether
4 it's good in the wet or the tread life is long or
5 things don't -- things that are fortunately
6 nonstandard.

7 Products that have compatibility requirements,
8 we hope that they will also be differentiated so that
9 consumers can pick and choose among the ones they
10 want.

11 Q. Have you looked, in the course of your study of
12 this literature, at how standards are set in the
13 United States?

14 A. Yes. Sure.

15 MR. ROYALL: Your Honor, I object to this line
16 of questioning to the extent this is going to go into
17 what other standards organizations do.

18 MR. STONE: And I will not, Your Honor. This
19 will be very limited to just lay background I think for
20 the rest of his testimony. And I can frame it so that
21 it's clear that it doesn't go into that.

22 MR. ROYALL: Well, I would also ask for
23 clarification. I haven't objected yet, but this line
24 of questioning with -- Mr. Stone is asking about what
25 do you understand about standard-setting in the

1 economic sense, and then this is eliciting factual
2 testimony, so I don't know whether we're talking about
3 facts now or economic theory, and I would ask that that
4 be clarified.

5 JUDGE MCGUIRE: Mr. Stone?

6 MR. STONE: Certainly.

7 BY MR. STONE:

8 Q. Is the testimony you've just given about
9 standard-setting, Dr. Rapp, is the testimony you've
10 given consistent with the economic theory of
11 standard-setting?

12 A. It is the background. It is the way economists
13 think about standard-setting. I don't claim that we
14 all think uniformly and we all have the same opinion,
15 but what I am focusing on, and it's my opinion that I'm
16 offering not with recourse to any facts, is where
17 standard-setting -- about where standard-setting fits
18 into the economic scheme of things.

1 JUDGE McGUIRE: Okay.

2 MR. ROYALL: I'll just be observant.

3 JUDGE McGUIRE: I'm sure we'll hear from you
4 again if it doesn't.

5 Go ahead, Mr. Stone.

6 MR. STONE: Thank you, Your Honor.

7 BY MR. STONE:

8 Q. Let me see if I can approach the question this
9 way, Dr. Rapp.

10 Have you prepared a slide that simply
11 identifies two different types of standards that are
12 established in the United States?

13 A. Yes. And it's common nomenclature. It's not
14 peculiar to economics. I think the terms have been
15 used by lay witnesses in the course of the trial.

16 Q. Okay. Let me bring up if we could DX-304 and
17 ask you, if you would, to just explain to us two
18 distinct ways in which standards are set.

19 A. Yes.

20 Standards, just as a matter of nomenclature or
21 terminology that economists use and I believe others
22 do, too, standards are set first in a formal way, and
23 that's sometimes called de jure. And there what we're
24 talking about is a standard-setting agency like the
25 IEEE or JEDEC, a committee process or a government.

1 And the example that I listed on this slide is
2 that some of us have cell phones that use GSM
3 technology and that technology was developed by a
4 committee in Europe, it so happens, a good example of
5 formal standard-setting.

6 The contrast --

7 Q. What's the alternative?

8 A. The contrast to that is what is I believe
9 widely called de facto standard-setting, which is also
10 known as market-based standard-setting because there's
11 no committee that does it and yet it is
12 standard-setting because a standard emerges.

13 And the classic example that everybody refers
14 to in this connection is the standards war that was
15 fought over the videocassette between the Betamax
16 technology and the VHS.

17 Another example is that the PCs that all of us
18 use, except for those of us who are Apple users, was
19 a -- emerged as an IBM product in 1982 but eventually
20 throughout the 1980s became a standard or a series of
21 standards, not all of which were set by committee but
22 just emerged in the market over a period of resolving
23 incompatibilities.

24 If you remember, in those days people used to
25 talk about IBM-compatible computers and there was a

1 period when you would worry about how compatible it
2 really was, and eventually the market, with some help
3 from standard-setting bodies to be sure, but the market
4 resolved that so that we all understand what a PC is
5 now.

6 JUDGE McGUIRE: If I may interject, Mr. Stone.

7 MR. STONE: Yes, Your Honor, whenever you would
8 like.

9 JUDGE McGUIRE: With these two types of I guess
10 standards that you've just testified exist in most
11 industries and markets, are you able to determine on
12 average, you know, what percentage of the standards in
13 any given market may be de facto as opposed to de jure?
14 Or is that just such a broad question you couldn't
15 possibly answer?

16 THE WITNESS: I don't have the answer to that
17 question and I don't know that there is anything in the
18 literature about it. It's something that for an
19 individual industry I think could be knowable, but the
20 trouble is that a lot of de facto standard-setting just
21 happens; it's not obvious.

22 JUDGE McGUIRE: Okay.

23 THE WITNESS: I don't have a good answer.

24 JUDGE McGUIRE: I just thought I'd ask.

25 Go ahead, Mr. Stone.

1 BY MR. STONE:

2 Q. Is it possible, Dr. Rapp, for there to be
3 multiple standards for a particular product?

4 A. The answer is sure.

5 Q. Are there certain conditions or circumstances
6 under which multiple standards can coexist?

7 A. The circumstances in which multiple
8 standards -- it has to do with these compatibility
9 requirements. Where compatibility requirements are
10 exceedingly high, where there is a requirement for
11 absolute precision, then -- and complementarity is also
12 high, then the likelihood is that the market -- either
13 the market or formal standard-setting will allow only
14 one standard to prevail.

15 I think the alternative case, which may be the
16 more common, is that the compatibility requirements are
17 less than that, high, less than extreme, and in those
18 circumstances multiple standards can coexist.

19 For example, when I spoke of cell phones
20 before, we live with a certain amount of inconvenience,16

1 variety.

2 Q. Okay. And let me ask you, when you talked
3 about that we can all talk with each other, there's a
4 concept that we've heard about in the course of this
5 trial, network externalities?

6 A. Yes.

7 Q. Is that related to the issue of whether there
8 can be multiple standards and what you just described
9 about cell phones?

10 A. Yes.

11 Q. Would you explain that to us.

12 A. Network effects or network externalities are a
13 special kind of compatibility requirement and a special
14 kind of complementarity, and it has to do with systems
15 being able to talk to one another, or another way of
16 looking at it is it has to do with a set of
17 circumstances in which the quality and value of my
18 product depends upon how many other people are using
19 it.

20 In other words, the typical example of a
21 network in a network effect is the fax machine. My fax
22 machine would be worthless if nobody else had one. The
23 more people that have them, the more valuable my fax
24 machine was. At one time it was true of the -- when we
25 talked about the telephone in those terms. Someday the

1 function. And there's no argument about whether that's
2 so.

3 But there are none of these network -- I
4 shouldn't say none of -- the network effects associated
5 with DRAM are weak, and consequently, my computer
6 doesn't care what your computer -- what DRAM you have
7 in your computer.

8 And if I may, just to explain why I'm attaching
9 importance to that, that gets you to the question of
10 whether multiple standards can coexist in the DRAM
11 industry. And the weakness of the network
12 externalities, the network effects, simply means what
13 I've just said, that different DRAMs, different flavors
14 or different generations of DRAM can coexist in the
15 market.

16 Q. Are there examples of that that you can point
17 to?

18 A. Well, the example is the coexistence in the
19 market now of both different generations of DRAMs and
20 different alternative types of DRAM, RDRAM, SDRAM, DDR,
21 all unable to -- you can't plug them interchangeably
22 into a computer and that they all coexist in the
23 market.

24 JUDGE McGUIRE: Does that comport with the
25 testimony we've heard in this proceeding regarding

1 what's called backward compatibility?

2 THE WITNESS: Backward compatibility -- let me
3 fit that into context, and the way I keep on thinking
4 about these things is my computer versus your
5 computer.

6 Backward compatibility means that if I have a
7 computer that runs on SDRAM, which my current laptop
8 does, the generation before SDRAM was EDO, and I can't
9 put -- I think this is right, but I'm -- this is an
10 example and not testimony for the engineering of it.
11 Backward compatibility means I can't put the previous
12 generation on my -- of my -- of DRAM into my computer.

13 It doesn't mean, however, that if you've got an
14 old computer that runs on EDO and I've got a newer
15 computer that runs on SDRAM that there's any problem
16 with the two of them talking to one another.

17 BY MR. STONE:

18 Q. Okay. As part of your background, for purposes
19 of the opinions in this case, have you made some
20 factual assumptions with respect to whether or not all
21 of the different DRAM architectures have been adopted
22 as formal standards?

23 A. It is my understanding, which is the way I'll
24 try and be scrupulous about what's fact, opinion or
25 just an assumption -- so when I say "understanding," I

1 mean assumption -- it is my understanding that RDRAM,
2 for example, was never formally standardized by JEDEC,
3 so that's an example of a nonstandardized DRAM that's
4 out in the market now.

5 Q. And did you, for purposes of your assumptions,
6 did you consider that or assume that to be the only
7 one, or are there others?

8 A. No. There has been I think testimony to that
9 effect, and I'm forgetting whether it is Mr. Kelley or
10 some -- I won't name who it is, but there's been
11 testimony that there are nonstandard DRAMs, certainly
12 DRAMs that -- well, I'll stop there.

13 Q. Okay. And have you, for purposes of forming
14 the understanding you have to allow you to express the
15 opinions that you've summarized for us already, have
16 you formed any understanding as to whether all of the
17 SDRAMs and DDR SDRAMs have been built to formal
18 standards?

19 A. My understanding is that they haven't.

20 Q. And could we look at the chart that we talked
21 about earlier at the beginning of the session before I
22 called you to the stand, which is DX-305.

23 And this is a chart you prepared, Dr. Rapp?

24 A. Yes.

25 Q. And what did you prepare this chart to help

1 convey in terms of your understanding?

2 A. I wanted to describe the fact that although
3 JEDEC sets the standard for DRAM, that inside that
4 context that there are powerful forces that in a
5 de facto sense rather than a de jure sense affect the
6 standard, cause the standard to change and in some
7 sense are standard-setting themselves, and the
8 principal influence is Intel.

9 We spoke about complementarity and
10 compatibility. The principal issue I understand in
11 DRAM and computer technology is the compatibility
12 between the microprocessor, and Intel is a major
13 manufacturer of microprocessors, and DRAM. That's my
14 understanding.

15 So when Intel decides that either the DRAM
16 manufacturers or JEDEC haven't got the current
17 generation of DRAM quite right, they behave, Intel
18 behaves, in place of a standard-setting body and
19 creates a specification or a specification addendum,
20 and that in some sense overrides or modifies the
21 standard.

22 The standard may then catch up with it, but
23 the point is that Intel, and possibly not only Intel,
24 is capable of creating flavors, is the sort of funny
25 way that people sometimes talk about it in this

1 industry.

2 Q. Let me see if I can ask you if you would to
3 explain whether or not the understanding you have just
4 described for us is relevant to the question of
5 whether a formal standard creates market power for a
6 particular technology that is the subject of that
7 standard.

8 A. Well, it establishes that formal
9 standard-setting is not the only way in which a
10 generation, or I don't want to say a generation, but an
11 iteration of some DRAM can become prominent. It also
12 allows for the possibility, as I say -- and this is
13 under -- this is based upon the underlying economics of
14 compatibility in this industry -- that there can be
15 more than one standard that coexists, not to -- well,
16 I'll stop there.

17 JUDGE MCGUIRE: I'm not sure that answers your
18 question, though.

19 MR. STONE: I'm going to try to restate my
20 question, Your Honor.

21 BY MR. STONE:

22 Q. Is it sometimes the case that being chosen as
23 a standard, as a formal standard, creates market
24 power?

25 MR. ROYALL: I'll -- I'll let it go.

1 THE WITNESS: It is sometimes -- yes, it is
2 sometimes the case that being chosen as a formal
3 standard creates market power, but not always.

4 BY MR. STONE:

5 Q. And from an economist's perspective, what are
6 the circumstances or situations in which being selected
7 as a formal standard will create market power for a
8 particular technology?

9 A. The circumstances in which the formal
10 standard-setting creates market power is when you --
11 when the standard-setting body is faced with several
12 more or less equivalent technologies, equivalent in
13 cost-performance terms, and one of those
14 technologies -- and standard-setting elevates one of
15 those technologies above the other.

16 In other words, there's nothing special about
17 any of them in cost-performance terms, then one of them
18 is judged to be the standard, and what that does in
19 market power terms is it suddenly makes all of the
20 other alternatives that were yesterday equivalent, it
21 makes all of them now inferior because they're
22 off-standard and only one of them is the standard. If
23 you start from that premise, then that is the setting
24 in which your standard-setting creates market power.

25 Q. And what's the role of compatibility in that

1 context, if there is a role for it?

2 A. The degree to which compatibility requirements
3 are exceedingly high as a result of network
4 externalities or things like that, then that single
5 standard, because there can only be one standard in
6 that circumstance, then that market power is enduring.

7 If you have the same situation that I
8 described, a lot of -- not a lot but several equivalent
9 technologies in cost-performance terms, one of them
10 becomes anointed the standard, the others become
11 inferior alternatives because they're not the standard,
12 but now wait a minute, you can have more than one
13 standard because the compatibility requirements are not
14 high, it may be that that will diminish the market
15 power that might have been created by the
16 standard-setting.

17 So it depends upon high compatibility.

18 Q. Okay. Are there, by contrast, are there
19 circumstances in which formal standard-setting creates
20 little or no market power for a technology that is the
21 subject of a standard?

22 A. Yes.

23 Q. And what are those circumstances, if you could
24 describe those?

25 A. If you have an array of technologies where one

1 of the technologies is superior to the others, then
2 that technology, if the market had been allowed to
3 operate, would become the standard anyway, would be a
4 de facto standard, and as a result, the fact of formal
5 standard-setting doesn't add anything to its market
6 power.

7 In a certain sense it started with that market
8 power because that market power is the additional, in
9 price terms -- that market power in price terms is the
10 additional amount that one can charge for the
11 superiority relative to the next best alternative.

12 Q. Are there situations in which technologies may
13 be adopted as formal standards and yet not turn out to
14 be valuable for any reason?

15 A. Yes. That can happen and it has happened in
16 the history of the DRAM industry. I think burst EDO
17 was designated a JEDEC standard, but it failed in the
18 marketplace. Just because the standard is designated,
19 that doesn't guarantee success.

20 MR. STONE: Your Honor, Mr. Royall and I are
21 consulting on breaks, if we might, and could we have a
22 short break at this point?

23 JUDGE MCGUIRE: Sure. Go ahead. Oh, you want
24 to take a short break?

25 MR. STONE: Yes.

1 JUDGE McGUIRE: All right. Let's go ahead and
2 take a short break.

3 (Recess)

4 JUDGE McGUIRE: Mr. Stone, you may proceed with
5 your inquiry.

6 MR. STONE: Thank you, Your Honor.

7 BY MR. STONE:

8 Q. Dr. Rapp, let me direct your attention now to
9 Rambus and JEDEC, if I might.

10 Have you formed an opinion as an economist as
11 to whether Rambus' alleged failure to disclose at
12 JEDEC additional information about its intellectual
13 property interests when complaint counsel say it
14 should have disclosed, have you formed an opinion as
15 to whether that resulted in an increase in market
16 power for any of the four technologies that are at
17 issue here?

18 A. Yes.

19 Q. And what is your view in that regard?

20 A. My opinion is that those alleged actions or
21 nondisclosures by Rambus did not create market power
22 in any of the four Rambus technologies at issue here.

23 Q. And why is that?

24 A. That is for the reason I gave in general terms
25 before the break, because Rambus' -- because those four

1 technologies were superior to the alternatives that
2 were available and thus the fact of formal

1 theory of revealed preference.

2 Q. What does that theory mean or how does it apply
3 in the context of the opinions that you are expressing
4 here today?

5 A. It's actually quite complex, but it's very
6 simple and straightforward in its origins. What it
7 means is that you can learn about people's preferences
8 by observing their choices.

9 The example is that if I go into the
10 supermarket and spend \$70 on a basketful of or a
11 shopping cart full of groceries, you can learn from
12 that that there isn't a combination of goods in that
13 supermarket, forgetting about whether -- assuming that
14 I'm looking at all the shelves equally -- that's worth
15 less than \$70 that I would prefer to that \$70 item.

16 In other words, there is no combination of
17 goods for \$69 that's as good to me as that \$70 bundle
18 of goods, so I've got a lot of information about a lot
19 of -- about my preferences for a lot of goods just
20 embodied in the decisions that are on the register
21 tape.

22 Q. Are there particular decisions that you've
23 looked at in connection with this case where the theory
24 of revealed preference has applicability?

25 A. Yes. It is the decisions that have happened

1 inside JEDEC or outside but that have dictated the
2 course of DRAM design with respect to the features at
3 issue of this case across periods of time when changes
4 were possible. And the fact that changes did not
5 happen, by inference, using this theory but also I
6 think common sense, is that the manufacturers deemed
7 these technologies to be superior in cost-performance
8 terms, which is what counts, to the alternatives that
9 were available.

10 Q. Are you familiar with testimony that
11 Professor McAfee gave earlier in this proceeding to the
12 effect that the theory of revealed preference is not
13 applicable here?

14 A. I think I heard that.

15 Q. Do you agree with that conclusion?

16 A. I don't agree with that conclusion. It's true
17 that the theory of revealed preference has its
18 complexities and that there are things about this
19 market that are special, but I think that the reason
20 that I just gave you is the right way to look at this
21 issue. That's my opinion.

22 Q. As I recall, Professor McAfee mentioned the
23 concept called satisficing in his testimony?

24 A. Yes.

25 Q. Do you recall that?

1 A. Yes. And it's hard for me to know precisely
2 what he meant by that. I have a view of what
3 satisficing behavior means. It's a term in economics
4 that, as he pointed out and rightly, has a long
5 history, but it's a term with a certain amount of
6 wiggle room in it, and we may differ in our opinionns. It's a t
12 solution in cost-performance terms, I guess I don't
13 underntand that. And the reason that I don't
14 underntand that is that it seems to me that that's what
15 manufacturernsare up to in the normal course of
16 business generally, and we see decisionnsin JEDEC which
12w itpoint to, you know, in the balloting process and so
18 forth, that point to the attempts to find the right
19 tercost-performance solution, the best cost-performance
20 solution.

21 Q. Let me ask you to take a lookrif you would at
22 one of Professor McAfee's slides, if we could bring up
23 DX-177.s. It's a te24 Do you recall this slide on co

1 which says, "Time to market critical for JEDEC DRAM
2 standards -- 'satisfice'?"

3 A. Right. I understand what he means there.

4 Q. In that regard, is there some -- let me see if
5 I can frame it this way.

6 What conclusions do you draw from the testimony
7 that Professor McAfee gave that time to market is
8 critical for JEDEC DRAM standards in terms of the
9 applicability of this concept of satisficing?

10 A. Well, if I may, I'll start by offering my
11 opinion about what I think he -- what I recall him
12 saying or my interpretation of that.

13 Q. Let me ask you to do this. Why don't you just
14 tell us your understanding so we know what it is you're
15 addressing as opposed to --

16 JUDGE MCGUIRE: Mr. Royall?

17 MR. ROYALL: I do object to this line of
18 questioning because it does seem that this witness is
19 being asked not to offer expert conclusions of his own
20 but to interpret the testimony that was given by
21 another expert economist, and I think that's improper
22 and it runs afoul of the ground rules that have been

2rT*opinion about whatblish lijT* 2rT*opinio a grT*opinion about

1 MR. STONE: And I think what I'm trying to do,
2 Your Honor, is -- the witness, who has opinions that
3 certainly are from time to time different than the
4 opinions of Professor McAfee, is certainly entitled to
5 present his testimony as to his different opinions.
6 What I'm trying to elicit is for him to explain his
7 understanding of the opinion with which he disagrees so
8 the record is clear as to the opinion with which he is
9 disagreeing.

10 So in that sense I'm not asking him --
11 Professor McAfee's testimony will stand on its
12 counts --

13 JUDGE McGUIRE: It will speak for itself, and
14 you perhaps could ask him what his opinion is, and that
15 will clarify the distinction, and it will be up to the
16 court to determine any differences in the testimony.

17 MR. STONE: That's fine.

18 JUDGE McGUIRE: All right.

19 MR. ROYALL: That's what I was going to
20 suggest. I don't see the need -- obviously he can say
21 what --

22 JUDGE McGUIRE: I sustain the objection.

23 MR. ROYALL: Thank you.

24 BY MR. STONE:

25 Q. Let me ask it this way.

1 If the time to market is in fact a critical
2 factor for purposes of JEDEC making its determination
3 as to what DRAM standard to adopt, would that be
4 consistent with an interpretation of satisficing that
5 said that JEDEC was content to settle for something
6 other than the best technology?

7 A. Not necessarily.

8 Q. Why not?

9 A. Because it -- product choices or technology
10 choices have different dimensions. Time to market is
11 certainly one of the dimensions that ought to be taken
12 into account and I'm certain that manufacturers do take
13 into account because of the nature of the industry.
14 But it doesn't follow from that, in my opinion, that
15 there is a less than complete desire to try and find
16 the best technical solution in terms of
17 cost-performance, taking time to market into account.

18 Q. Would taking time to market into account be
19 consistent with the theory of revealed preference that
20 you've described for us earlier?

21 A. Entirely consistent with it.

22 Q. Okay. You earlier expressed a view about the
23 superiority of the four technologies at issue in this
24 case to alternatives. Do you recall that?

25 A. Yes.

1 Q. And did you do that based on reliance on work
2 done by others?

3 A. Yes.

4 Q. Which others did you rely on?

5 A. I relied principally on the conclusions of
6 Dr. Soderman and Mr. Geilhufe. There were additional
7 things on which I relied, pieces of trial testimony,
8 but mainly those of Mr. Geilhufe and Dr. Soderman.

9 Q. And did you prepare a chart prior to today that
10 summarized the different alternative technologies that
11 you considered?

12 A. I did.

13 Q. Let's bring up if we can DX-306.

14 Does this slide summarize alternatives that you
15 considered to the use of the two features present in
16 SDRAM that are at issue here, programmable CAS latency
17 and programmable burst length?

18 A. Yes.

19 Q. And could you tell us first how you selected
20 which alternatives to include on this chart.

relied, pieccgbere, programmablebele

1 issue here, jTdeem which alterna brin Profess usMcAfees chbTjt9

1 A. This chart shows across the column headings the
2 alternatives, the four alternatives that I just named
3 to programmable CAS latency, and in the row headings
4 are elements of Mr. Geilhufe's cost analysis, and in
5 the cells in the body of the table are nothing other
6 than Mr. Geilhufe's cost numbers picked up from his
7 tables into this table.

8 Q. Okay. So that we are clear that this is all
9 explained in the record as well as on the chart, could
10 you confirm that you have for each of the four
11 alternatives to programmable CAS latency that you
12 earlier identified, fixed CAS latency, explicitly
13 identifying the latency in the read command,
14 programming with fuses or using pins, that you
15 analyzed the various costs for each of those four
16 alternatives.

17 Can you confirm that that's what you've done?

18 A. Yes.

19 Q. Okay.

20 A. That answer is yes.

21 Q. And along the left-hand side under the heading
22 Variable Cost Element, you list costs for wafer sort,
23 good die yield, packaging, final test and good unit

Waldorf, Maryland

1 A. Yes.

2 Q. And where do you get those costs from?

3 A. From Mr. Geilhufe's tables.

4 Q. And are there any differences in some of the
5 numbers -- have you selected certain numbers presented
6 by Mr. Geilhufe to use here as opposed to other numbers
7 that he presented?

8 A. Only the numbers that apply in each of these
9 areas, in other words, for each of the technologies.
10 If you see blank spaces there, it's because for a
11 particular technological alternative in Mr. Geilhufe's
12 table there is an empty space.

13 Q. And did you make use of just what Mr. Geilhufe
14 described as variable costs?

15 A. That's what I did. I have treated what he
16 calls fixed costs elsewhere. What I'm interested in
17 here in the cost elements -- sorry -- the cost elements
18 on the left are labeled "variable cost elements."
19 That's right. And that is because I am interested in
20 finding the incremental cost of using each of these
21 alternatives.

22 Q. And what did you find, using his numbers as
23 you've organized the data here, what did you find to be
24 the incremental cost of using fixed CAS latency as
25 opposed to programmable CAS latency?

1 A. The incremental costs for using fixed CAS
2 latency are as follows.

3 There is actually a benefit rather than a cost
4 that Mr. Geilhufe related that has to do with reduced
5 testing at the wafer sort level, so there is a negative
6 cost or a benefit of a penny. There is a cost of three
7 cents in the good die yield cost element, and there is
8 a cost, an incremental cost of two cents -- an
9 increment cost of two cents in the inventory cost
10 element.

11 Those sum to four cents, which represented the
12 unit cost savings from not selecting this alternative
13 but using the Rambus technology instead.

14 Q. And just to make sure we're all clear, the four
15 cents would be the cost in addition to the costs of a
16 unit that used programmable CAS latency?

17 A. Yes.

18 Q. And maybe we can just focus on the line that
19 says "unit cost savings from licensing."

20 Did you conclude whether there was an
21 additional cost associated with the explicitly
22 identifying latency in the read command as compared to
23 programmable CAS latency?

24 A. Yes.

25 Q. What did you conclude?

1 A. That is that in Mr. Geilhufe's table that there
2 is a cost of a penny associated with packaging in this
3 respect. He actually said negligible or a penny, and
4 I've dropped the penny down to the total there and no
5 other additional costs.

6 Q. And did you find there to be additional costs
7 associated with setting the CAS latency with fuses as
8 opposed to programmable CAS latency?

9 A. Yes. Again, relying entirely on Mr. Geilhufe,
10 there is a penny that his tables show in the wafer sort
11 cost element, there is a three-cent additional cost --
12 all of these costs are additional or incremental -- in
13 the good die yield and two cents additional in
14 inventory, in the inventory cost element, for a total
15 of six cents.

16 Q. And did you find total additional costs of four
17 cents associated with using pins to set latency as
18 opposed to the use of programmable CAS latency?

19 A. Yes. And those are -- arise as a result of
20 increased packaging costs.

21 Q. The last line on your chart, the last row is
22 labeled "increased cost as percentage of ASP."

23 Do you see that?

24 A. Yes.

25 Q. Could you tell us what that refers to.

1 A. ASP is the average selling price, and what I am
2 intending to do by that line is to represent these
3 incremental costs of using this alternative as a
4 percentage of selling price. For SDRAM, that average
5 selling price is \$4.87, and it is an average across the
6 expected life of the generation of chip.

7 Q. How did you determine an average selling
8 price? What data or other information did you rely
9 on?

10 A. It calls for two kinds of data. It calls for
11 price data, and that is both actual and forecast price
12 data, that for SDRAM runs from 1996 to 2006, so
13 obviously forecast into the future. These data, by the
14 way, come from InStat, which is a widely used and I
15 believe industry -- I don't want to say industry
16 standard but an industry source that's well-known.

17 Q. Now, what did you calculate for fixed CAS
18 latency to be the percentage of the average selling
19 price that is associated with the additional costs you
20 attributed to that feature as compared to programmable
21 CAS latency?

22 A. Could I -- I paused in my answer and didn't
23 give a complete one. I'm sorry. If I could just
24 finish that.

25 Q. I apologize for interrupting.

1 A. It's my fault. I was breathing there for a
2 while.

3 Q. That's a good thing to do.

4 A. The prices alone aren't enough. Shipment data
5 is required too, because what you want is a weighted
6 average price, and suffice it to say that the actual or
7 forecast price for each year across this product life
8 cycle for SDRAM is weighted by the shipments and the
9 average is \$4.87.

10 Q. Okay. Could you indicate to us what you
11 concluded then, based on your computations that you've
12 just described, were the increased costs as a
13 percentage of average selling price associated with the
14 use of fixed CAS latency as compared to programmable
15 CAS latency.

16 A. As a percentage of average selling price, that
17 four cents is about .82 percent of selling price.

18 Q. And what is the increased cost as a percentage
19 of average selling price for the additional costs
20 associated with explicitly identifying latency in the
21 read command as opposed to programmable CAS latency?

22 A. .21 percent.

23 Q. And with respect to programmable latency with
24 fuses, what did you conclude was the increased cost as
25 a percentage of average selling price as compared to

1 programmable CAS latency?

2 A. 1.23 percent.

3 Q. And finally, with respect to using pins to
4 establish the latency, what did you conclude was the
5 increased cost of that method of setting latency as
6 opposed to programmable CAS latency as a percentage of
7 average selling price?

8 A. .82 percent.

9 Q. Now, I notice on this chart that you have
10 highlighted in orange the option of explicitly
11 identifying latency in the read command. Can you
12 explain to us why you did that?

13 A. Yes. I have colored that in to indicate that
14 according to Dr. Soderman upon whom I rely that this is
15 a technology, although it is one of the ones that is
16 listed among Professor McAfee's commercially viable
17 alternatives, that according to Dr. Soderman is covered
18 by Rambus patents.

19 Q. So you've indicated that with orange?

20 A. Yes.

21 Q. Okay. Have you, for purposes of your analysis
22 of the relative costs of these four alternatives to
23 programmable CAS latency, relied just on the testimony
24 of Mr. Geilhufe and Dr. Soderman or have you looked at
25 other sources of information as well?

1 A. No. I have looked at other sources of
2 information, and I have in mind particularly trial
3 testimony, which if I can mention it I'll do in
4 general. I won't mention the witnesses.

5 In other words, there were witnesses who
6 explained that there were advantages to programmable
7 CAS latency in its flexibility, and that created
8 reduced cost. If I'm permitted to name somebody in
9 that connection, I will. If not --

10 Q. I don't think I want you to sort of restate
11 their testimony, but if there's witnesses whose
12 testimony you rely on for that, why don't you identify
13 their names.

14 A. I think it was Mr. Kelley principally.

15 Q. Let me ask you if you would then to -- let's
16 pull up DX-308.

17 And directing your attention to DX-308, can you
18 at the outset tell us what you are trying to convey
19 with this particular chart?

20 A. I've been using the term "cost-performance,"
21 which has in mind the fact that both the cost of an
22 alternative and the performance of the alternative,
23 whether there is a benefit or a penalty to using the
24 alternative, both figure in the decisions that a
25 manufacturer or that JEDEC would make.

1 Dr. Soderman testified about the performance
2 side of the story, and I have summarized his opinions
3 on the right-most column -- well -- sorry. That's not
4 a good description.

5 The general purpose of this is to capture both
6 information about cost, about whether or not the
7 product, in Dr. Soderman's opinion, or the technology
8 alternative is covered by a Rambus patent and whether
9 or not there were performance penalties, those three
10 things.

11 Q. So this chart summarizes your understanding of
12 each of those?

13 A. Correct.

14 Q. And in part, it summarizes your computations?

15 A. Yes.

16 Q. With respect to fixed latency, you indicate the
17 four-cent-per-unit additional cost that you mentioned
18 earlier?

19 A. Yes.

20 Q. And then in the far right-hand side for fixed
21 latency you've written on this chart "Multiple latency
22 values are required."

23 What do you understand in that regard to be the
24 issue and what do you mean as to your understanding
25 when you say "Multiple latency values are required"?

1 to us your understanding as to those or why you listed
2 those?

3 A. Again, this is a recounting of the testimony
4 of Dr. Soderman upon which I based my understanding of
5 the cost-performance hierarchy of alternatives or what
6 is preferable and what is not in cost-performance
7 terms.

8 And what those three bullet points say is,
9 first, not practical to use more than two voltage
10 levels on a pin, which I understand to be
11 Dr. Soderman's opinion. A consequence of that is that
12 multiple pins are required, and that is the second
13 bullet point. And the third bullet point is that the
14 use of pins reduces flexibility.

15 Q. Finally, with respect to blowing fuses on the
16 DRAM to set latency, in the far right column you have
17 two bullet points. Could you explain what your
18 understanding is as it underlies those two bullet
19 points.

20 A. Yes. My understanding is that Dr. Soderman's
21 opinion is that using blowing -- blowing fuses on the
22 DRAM as a means for fixing CAS latency produces in the
23 end a fixed latency part, and what that means is that
24 if it can -- if blowing pins is impractical for OEMs,
25 that is to say, for buyers or users of the part, that

1 it leaves you back where you started, with a
2 manufacturer having to create fixed parts either by --
3 well, by blowing fuses, period.

4 Q. And the final one, number 4, where you've
5 listed explicitly identify the latency in the read
6 command, is the description in the far right column a
7 description of your understanding as to why
8 Dr. Soderman concluded that that particular feature
9 would be covered by a Rambus patent?

10 A. Yes. What it reads is: "Need a register" --
11 maybe that should be "needs a register" -- "similar to
12 mode register to store latency information." And I
13 gather that Dr. Soderman's opinion is that that would
14 infringe if it were implemented.

15 Q. Have you performed a similar analysis to the
16 analysis you've just described with respect to
17 programmable CAS latency for the feature of
18 programmable burst length?

19 A. Yes.

20 Q. Let's bring up if we could -- and as I
21 continue, I'll search for a way, Your Honor, to make
22 sure we get this in the record as succinctly as we can.
23 I know it's a little tedious. I apologize.

24 Did we bring up DX-209?

25 Can you describe for us, Dr. Rapp, what is

1 shown in a general sense on DX-309?

2 A. It is the cost calculation similar to the one
3 that I did for programmable CAS latency associated with
4 the alternatives to programmable burst length that are
5 under consideration.

6 Q. And these are the four alternatives that you
7 described earlier and were shown on an earlier chart?

8 A. Yes.

9 Q. Are the variable cost elements that you've
10 considered for programmable burst length the same as
11 the ones you considered for programmable CAS latency?

12 A. You require me to have a look.

13 Q. Okay.

14 A. It will just take a second.

15 Q. Sure.

16 (Pause in the proceedings.)

17 A. Yes.

18 Q. Okay. Let me see if I can ask it this way.

19 With respect to the alternative of fixed burst
20 length as compared to the programmable burst length
21 feature, did you conclude that there was an additional
22 cost of using fixed burst length?

23 A. Yes.

24 Q. And what did you conclude that additional cost
25 to be?

1 A. That additional cost is two cents and it
2 consists of a benefit, rather than a cost, of a penny
3 associated with testing at the wafer sort stage and a
4 three-cent cost penalty associated with inventory in
5 fixed burst length parts.

6 Q. And did you then convert that two-cent
7 additional cost into a percentage of the average
8 selling price?

9 A. Yes.

10 Q. And what percentage of average selling price

1 Q. And did you compute what percentage of the
2 average selling price that additional cost would be
3 with respect to using the read command to set the burst
4 length as opposed to the use of programmable burst
5 length?

6 A. .21 percent.

7 Q. Did you also look at an alternative to set
8 burst length using a burst terminate command as
9 compared to programmable burst length?

10 A. Yes.

11 Q. And what did you conclude in terms of any
12 additional costs there?

13 A. That there was none.

14 Q. And did you also look at the use of pins to set
15 burst length as opposed to the use of programmable
16 burst length?

17 A. Yes.

18 Q. And what did you conclude there with respect to
19 whether there are any additional costs?

20 A. I relied on Mr. Geilhufe who concluded that
21 there would be a two-cent incremental packaging cost
22 and that represents the total.

23 Q. And as a percentage of average selling price,
24 what did you conclude that to be?

25 A. .41 percent.

1 Q. And of the alternatives to programmable burst
2 length that you have considered and have just testified
3 about, did you form an understanding as to whether any
4 of those were covered by Rambus patents?

5 A. It is an understanding based on Dr. Soderman's
6 opinion that to explicitly identify burst length in
7 the read command would be covered by Rambus patents
8 and the use of pins would be covered by Rambus
9 patents.

10 Q. Okay. Did you, with respect to programmable
11 burst length, rely on any testimony or information in
12 the record other than the cost data and other testimony
13 from Mr. Geilhufe and Dr. Soderman that you've just
14 talked about?

15 A. Again, I believe there was trial testimony that
16 recounted the cost advantage of flexibility in burst
17 length.

18 Q. Not to have you go into any of the specifics,
19 but among the witnesses whose testimony you reviewed
20 did you consider that of Mr. Polzin and Mr. Kellogg?

21 A. Yes.

22 Q. Let me ask you if you also prepared sort of an
23 overall assessment of the alternatives to programmable
24 burst length and certain of their disadvantages as you
25 have done with programmable CAS latency.

1 A. Yes.

2 Q. Could we bring up DX-310.

3 Is this a chart that you prepared to show
4 disadvantages to proposed alternatives to programmable
5 burst length?

6 A. Yes.

7 Q. Does this list the same four alternatives that
8 we just spoke to?

9 A. Yes.

10 Q. Directing you first to one at the top of the
11 list, the use of burst terminate command, you have in
12 the right-hand side a notation which says "causes
13 problems with pipelining."

14 What is your understanding in that regard and
15 what did you mean by that?

16 A. This is I think disproportionately
17 significant. I understand from Dr. Soderman's
18 testimony that the use of a burst terminate command
19 would reduce the performance, the on-the-fly
20 performance of computers using this alternative to
21 programmable burst length.

22 Pipelining refers to, I believe or I
23 understand, efficiency of movement of information in
24 the bus between the memory controller and the memory,
25 the core memory array. And I understood, if I recall

1 correctly from Dr. Jacob's testimony, that the use of a
2 burst terminate command carries with it a significant
3 performance penalty, something on the order of
4 10 percent.

5 Q. As to the second alternative, fixed burst
6 length, your notation there is: "Multiple burst
7 lengths are required."

8 Can you explain what your understanding is in
9 that regard?

10 A. It is simply that as long as more than one
11 burst length is required by DRAM technology, the use of
12 fixed burst length would call for multiple chips to be
13 manufactured, more than one flavor of DRAM chip with
14 respect to burst length to be manufactured.

15 Q. And finally with respect to the fourth
16 alternative on this chart, DX-310, which is the use of
17 pins to set burst length -- is that right, it's the use
18 of pins?

19 A. Yes.

20 Q. Your notation there says, "Similar concerns
21 regarding using pins for CAS latency."

22 Can you tell us what your understanding is in
23 that regard?

24 A. Yeah. It's recorded in that way because that
25 is the way Dr. Soderman expressed it, and referring

1 back, without actually doing so, to the use of pins in
2 CAS latency, he refers to the fact that it reduces
3 flexibility and that there was some concern about which
4 way the pins could be used without infringing, multiple
5 voltages not being a functional alternative.

6 Q. Let me ask you then, Dr. Rapp, whether you --
7 without regard to the performance disadvantages you've
8 described but just focusing on sort of the dollars and
9 cents side of it, did you try to compare the costs of
10 the various alternatives that were proposed for
11 programmable CAS latency and programmable burst length
12 with the costs of using those two features in an
13 SDRAM?

14 A. Forgive me. I'm just -- I just lost track of
15 the question.

16 Q. The question was about as long as I could make
17 it, so let me see if I can do it differently.

18 Did you sum up the costs, the increased costs
19 or additional costs of the various alternatives that
20 you have discussed, to determine an overall increased

1 cost of using those two alternatives as opposed to the
2 two features at issue here?

3 A. The sum of four cents for the fixed latency
4 additional cost and zero for the burst terminate
5 approach for a total of four cents additional cost.

6 Q. And as a percentage of average selling price,
7 what did you compute that to be?

8 A. .82 percent.

9 Q. And did you compare that then to a Rambus
10 royalty rate for SDRAMs?

11 A. Yes, I did.

12 Q. And for that you used what rate?

13 A. .75 percent.

14 Q. And what were the alternatives you considered
15 for the Most Costly column?

16 A. The use of fuses to fix the latency and a fixed
17 burst length. Those two being the more expensive of
18 the alternatives.

19 Q. And what did that give you as the total
20 additional cost of those alternatives over the use of
21 the two features at issue here?

22 A. It give me six cents for the fuses plus two
23 cents for the fixed burst length additional cost for a
24 total additional cost of eight cents or 1.64 percent of
25 average selling price.

1 Q. What are the implications of the results you've
2 shown us on DX-311 for manufacturers and consumers of
3 SDRAM?

4 A. The implication is that a rational manufacturer
5 or a rational collection of manufacturers in JEDEC that
6 saw its job to find the superior technology in
7 cost-performance terms would have chosen to take a
8 license from Rambus at .75 percent rather than incur a
9 higher cost by using the alternatives without regard to
10 the performance aspects of the issue, only on the basis
11 of costs.

12 Q. And if they had taken the performance issues
13 into account as you have earlier described them, which
14 way would those performance considerations have cut?

15 A. That would have reinforced the decision to
16 license rather than to substitute alternatives that
17 performed less well.

18 Q. Is your conclusion valid even in the event that
19 use of the two features in question would require
20 paying a royalty to Rambus of .75 percent?

21 A. Yes. That's what the calculation discloses or
22 reveals.

23 Q. Okay. We can take that one down I think.

24 Let me ask you at this point to go back for a
25 moment to the concept we talked about earlier known as

1 satisficing. Can we do that?

2 A. Sure.

3 Q. And what I want you to do is consider -- I just
4 want you to assume that Professor McAfee testified that
5 because JEDEC was only satisficing, and assuming that
6 JEDEC had known that Rambus had patent interests in
7 these two features but did not know precisely what
8 Rambus' royalties would be, JEDEC would have chosen
9 some other technology that did not involve use of any
10 technology covered by Rambus patents. Can you make
11 that assumption?

12 A. Sure.

13 Q. Do you agree with that assumption?

14 A. I'm just going to ask that it be read back.

15 Q. Certainly. Let me just rephrase it.

16 I just want you to assume that Professor McAfee
17 expressed that opinion. I'm trying to avoid asking you
18 for your understanding of Professor McAfee's testimony.
19 I just want you to assume that.

20 MR. ROYALL: Your Honor, if I could object
21 belatedly, I think it's improper to ask a hypothetical
22 question to the witness for him to assume what
23 Professor McAfee said. I think he doesn't need to
24 include Professor McAfee's name in this whatsoever. He
25 can simply ask, Can I ask you if you agree with this

1 proposition, and I think doing it in a way --

2 JUDGE McGUIRE: Sustained.

3 MR. ROYALL: Thank you.

4 BY MR. STONE:

5 Q. Let me ask you this way.

6 Do you agree with the following proposition,
7 that JEDEC didn't distinguish among -- let me see how
8 to frame this.

9 Do you agree that JEDEC would have chosen some
10 other technology than the two technologies it did
11 choose that are at issue here with respect to SDRAM if
12 it had assumed that Rambus had some patent interests on
13 those two technologies, if it did not know precisely
14 what Rambus' royalties would be, and if it was
15 interested in satisficing?

16 MR. ROYALL: Your Honor, I object to this
17 question as, for one, it lacks foundation that the
18 witness has any basis to opine on what JEDEC would have
19 done.

20 JUDGE McGUIRE: Sustained.

21 MR. STONE: Your Honor, may I be heard on
22 that?

23 JUDGE McGUIRE: Go ahead.

24 MR. STONE: The only way we can get to the
25 but-for world is to ask these witnesses to assume

1 certain things about the but-for world.

2 JUDGE McGUIRE: Well, the "but-for" is one area
3 where I've allowed some speculation in this regard and
4 I think I've tried to do that with each side,
5 Mr. Royall, so if you want to expand on your objection
6 in that context, you can do so.

7 MR. ROYALL: Well, I don't mind a hypothetical

1 Professor McAfee was making specific assumptions about
2 what JEDEC's rules provided, what the process provided
3 and how the process worked. They were assumptions, but
4 he was making assumptions nonetheless.

5 This witness has testified that he has not
6 familiarized himself with the process and he has made
7 no assumptions about how the process works, so I don't
8 think there is a foundation for that testimony.

9 JUDGE McGUIRE: All right. Sustained. I'm
10 going to uphold that objection, Mr. Stone.

11 MR. STONE: Okay.

12 BY MR. STONE:

13 Q. I want you to -- you were here for
14 Professor McAfee's testimony; correct?

15 A. Yes.

16 Q. Professor McAfee told us that he didn't know
17 what JEDEC's rules were, didn't he?

18 MR. ROYALL: Your Honor, I object to that
19 question.

20 JUDGE McGUIRE: Sustained.

21 BY MR. STONE:

22 Q. I want you to make the same assumptions that
23 Professor McAfee made about JEDEC. Can you do that?

24 MR. ROYALL: Your Honor, I object to that.

25 And one of the things I would say in this

1 regard is this is an attempt to broaden this witness'
2 testimony beyond the scope of what's in his expert
3 report, and it's very clear in his expert report and in
4 his deposition testimony that he has given no
5 consideration to the procedures and the process of
6 JEDEC, and I can point that out if you'd like.

7 JUDGE MCGUIRE: Mr. Stone, if it's not in his
8 expert report, I don't want to go into it.

9 MR. STONE: I'm going to cover what's in his
10 expert report, Your Honor.

11 BY MR. STONE:

12 Q. Let me phrase it this way.

13 I want you to assume that a rational
14 standard-developing organization was trying to decide
15 which technologies to include in the SDRAM, and I want
16 you to further assume that it knew that Rambus had
17 patent interests in two of those technologies,
18 programmable burst length and programmable CAS latency,
19 and that the rational standard-developing organization
20 did not know precisely what Rambus' royalties for the
21 use of those two technologies would be.

22 Can you make those assumptions up to that
23 point?

24 A. Yes. Uh-huh. Yes.

25 Q. Okay. And given the cost analysis that you

1 have done so far, in your economic opinion, would a
2 rational standard-developing organization have selected
3 the two Rambus technologies at issue here or would they
4 have selected one of the alternatives?

5 A. They would have selected the programmable
6 technologies, programmable CAS latency and programmable
7 burst length.

8 Q. And is your conclusion in that regard in any
9 way inconsistent with applying the theory of
10 satisficing to the decision-making process of this
11 rational standard-developing organization?

12 A. This has to do with the ambiguity of that word
13 "satisficing."

14 A rational standard-setting body has good
15 reason to choose the preferred -- the best technology
16 in cost-performance terms. It would need --
17 satisficing in some sense doesn't come -- if
18 satisficing behavior means that small cost differences
19 are overlooked, that it doesn't have to maximize, that
20 it can just satisfice, then the answer is that it would
21 be indifferent between a technology whose -- that is
22 slightly more or less -- that is slightly better or
23 worse in cost-performance terms, but then it would also
24 be indifferent to paying a royalty or not, and so
25 satisficing doesn't contribute anything to the analysis

1 of that situation.

2 Q. Okay. Let me ask you now to turn to the
3 consideration of DDR SDRAM if I might.

4 Do you have an understanding, for purposes of
5 the opinions that you are expressing here today, as to
6 which of the four features or technologies at issue
7 here are used in DDR SDRAM?

8 A. Yes.

9 Q. And what are they?

10 A. My understanding is that the two technologies
11 we have just discussed, programmable CAS latency and
12 programmable burst, are used in DDR SDRAM. And in
13 addition, the use of a PLL/DLL on the chip and
14 dual-edged clocking are used, so four Rambus
15 technologies are used.

16 Q. And have you considered alternatives to each of
17 those four?

18 A. Yes.

19 Q. Could we bring up DX-312, please.

20 Does this demonstrative, Dr. Rapp, list the
21 various alternatives you have considered for purposes
22 of your analysis to each of the four technologies at
23 issue in this case?

24 A. Yes.

1 programmable CAS latency and programmable burst length,
2 have you included the same alternatives that you
3 testified about earlier this morning?

4 A. Yes.

5 Q. And with respect to dual-edged clocking, can
6 you tell us, if you will, what alternatives you have
7 considered?

8 A. Again, the set of alternatives that I
9 considered are the ones that Professor McAfee
10 identified in his testimony as being commercially
11 viable and excluded others that have been discussed but
12 were not regarded by Professor McAfee as being
13 commercially viable.

14 What that left is three alternatives for dual
15 edge clocking, interleaving banks on the module,
16 doubling the clock frequency and the use of toggle
17 mode, which I identified on the demonstrative as an
18 asynchronous technology.

19 Shall I go on?

20 Q. No.

21 Let me then ask you, what technologies did you
22 consider as alternatives to the use of on-chip
23 PLL/DLL?

24 A. Four.

25 Q. What were they?

1 You had a couple of charts earlier that listed
2 some disadvantages in a performance or feasibility
3 sense?

4 A. Yes.

5 Q. And did you find those same disadvantages
6 applicable for those alternatives if they were used in
7 DDR SDRAM as you concluded they were present for
8 SDRAM?

9 A. Yes. For -- speaking now just of CAS latency
10 and burst length alternatives.

11 Q. Yes, sir.

12 A. The answer is yes. There's nothing that
13 changes about Dr. Soderman's opinions upon which I
14 rely concerning the performance characteristics of
15 those two technologies when they're picked up into
16 DDR DRAM.

17 Q. Then I'm not going to repeat the early
18 testimony as to those features. And instead, let me
19 ask you -- I'm going to pull up, if we could, DX-313.

20 And can you describe for us generally what is
21 shown on this chart?

22 A. These are the alternatives for dual-edge

124 Q. And do you not include on this particular chart?

1 mentioned earlier; is that right?

2 A. Right.

3 Q. And will you talk about that later?

4 A. Yes.

5 Q. So with respect to the two alternatives then to
6 dual-edge clocking that are listed on this chart,
7 interleaving the banks on the module and doubling the
8 clock frequency, did you calculate what additional
9 costs there would be, if any, in using those
10 technologies as opposed to dual-edge clocking?

11 A. Yes.

12 Q. And was that based on testimony from
13 Mr. Geilhufe?

14 A. Yes.

15 Q. What conclusion did you reach with respect to
16 additional costs associated with interleaving the banks
17 on the module as compared to dual-edge clocking?

18 A. Relying on Mr. Geilhufe's estimate that there
19 would be a 25-cent additional cost in board complexity
20 to that technology, that's what I used -- and no other
21 additional cost, that was the additional cost for
22 interleaving banks on the module.

23 Q. And did you compute that to be a percentage of
24 the average selling price?

25 A. Yes. Here the average selling price is \$5.13,

1 and a 25-cent cost, extra cost associated with
2 interleaving banks on the module, equals 4.88 percent
3 of the average selling price.

4 Q. Did you calculate an average selling price for
5 DDR SDRAM following the same methodology as you
6 described for us earlier?

7 Let me ask it this way.

8 Tell us if you can how you computed the average
9 selling price for DDR SDRAM --

10 A. Oh, I'm sorry.

11 Q. -- that you used in your computations.

12 A. Sure. I used the same methodology. The dates
13 are different. It begins -- production began in the
14 year 2000, so it's from there going forward, fewer
15 years of data, mostly estimate, \$5.13, same
16 methodology.

17 Q. Okay. And did you, using that computation as
18 to the average selling price and the data provided by
19 Mr. Geilhufe, did you also compute any additional costs
20 associated with the use of doubling the clock frequency
21 as opposed to using dual-edged clocking?

22 A. Yes.

23 Q. And what are your computations -- what do your
24 computations show in that regard?

25 A. They -- relying on Mr. Geilhufe, they show

1 4 cents additional cost for final test and good unit
2 yield and 24 cents for a circuit board area penalty,
3 for a total of 28 cents or 5.46 percent of average
4 selling price.

5 Q. Okay. Did you also assess, based on the
6 testimony of other witnesses, disadvantages associated
7 with the use of these alternatives to dual-edged
8 clocking?

9 A. I do not recall.

10 Q. Let me just show you DX-314 if I can.

11 Directing your attention to this particular
12 demonstrative, can you just tell us what you were
13 conveying through this demonstrative?

14 A. This again is a summary of both cost and
15 performance and also coverage by Rambus patents. The
16 only thing that is additional to what I've already
17 testified to about these two technologies is the
18 opinions of Dr. Soderman that are listed in the
19 right-most part of the chart.

20 Q. And as to the alternative of interleaving banks
21 on the module, directing your attention to the
22 right-hand column, what was your understanding as to
23 the disadvantages associated with that alternative
24 based on the testimony you heard?

25 A. That that technology, that alternative,

1 requires an addition of high-speed switches and other
2 hardware to the module and that there is a less --
3 there is less flexibility in the way that memory can be
4 mounted, and for those applications that don't use
5 modules, the technique doesn't work.

6 I'm paraphrasing. If you'd like me to state
7 them exactly as they are here, that would be fine.

8 Q. Your paraphrasing is quite fine. I have no
9 problem.

10 If you would look at the second alternative,
11 the doubling the clock frequency, and again I'll
12 direct you to the right-hand column, if you could just
13 explain your understanding for purposes of the
14 analysis you performed of disadvantages associated
15 with that alternative as compared to dual-edged
16 clocking.

17 A. According to Dr. Soderman, there are clock
18 distribution problems, it is difficult to operate
19 internal circuitry twice as fast, and there is
20 increased electromagnetic radiation arising from the
21 higher clock frequency.

22 Q. Okay. Did you, Dr. Rapp, perform a similar
23 analysis for various alternatives to the use of on-chip
24 PLL/DLL?

25 A. Yes. In the respect of having produced a table

1 like this.

2 Q. Did you do a cost analysis with respect to
3 alternatives for on-chip PLL/DLL?

4 A. I was not able to, except with one of the,
5 however many, four alternatives and it seemed sensible,
6 rather than to present that paucity, that essentially
7 lack of information, for me to simply assume there
8 would be no cost penalty for purposes of my subsequent
9 calculations, so you won't find the table for on-chip
10 PLL/DLL like the cost tables that I've done for the
11 other three technologies.

12 Q. And is, in your mind, is assuming the cost of
13 the alternatives to the use of on-chip PLL/DLL to be
14 zero, is making that assumption one that you think is
15 reasonable in these circumstances?

16 A. Yes. I think it's sure to be an understatement
17 of what the actual costs are, the actual additional
18 costs are.

19 Q. Well, let me show you if we can what we've
20 marked as DX-315, if we could bring that chart up.

21 And can you just tell us in a general sense
22 what is conveyed by this chart?

23 A. It is really a synopsis of Dr. Soderman's
24 opinions upon which I'm relying for my opinions on the
25 cost-performance characteristics of these

1 alternatives. For the four alternatives to on-chip
2 PLL/DLL.

3 Q. Okay. Let me ask you with respect to the first
4 one, moving the DLL onto the DIMM or the module, what
5 is your understanding, as summarized in the right-hand
6 column, of disadvantages associated with that
7 alternative?

8 A. I understand that a single DLL would not
9 address timing differences between DRAMs and that an
10 additional chip would be required.

11 Q. And with respect to the use of a vernier
12 mechanism as opposed to the use of on-chip PLL/DLL,
13 what's your understanding as to disadvantages
14 associated with that alternative?

15 A. My understanding is that according to
16 Dr. Soderman, that static delay will not account for
17 temperature and voltage variations on the I srSCs6 c.aTeTuecar
on the I s2quire 4 one, movce troller,LL,
on the I s2verni† 5 is your understanding, as summariznd)Tj on t

1 that a single DLL would not address timing differences
2 between DRAM and that an additional chip would be
3 required.

4 Q. Finally, as to the fourth alternative, relying
5 on the DQS data strobe as opposed to the use of on-chip
6 PLL/DLL, what's your understanding as to any
7 disadvantages associated with that alternative?

8 A. I understand from Dr. Soderman that using a DQS
9 without a DLL is not sufficient for high-speed
10 performance and that DDR SDRAMs use both a DQS data
11 strobe and a DLL anyway.

12 Q. Okay. Now, did you perform a cost calculation
13 independent of any consideration of the disadvantages
14 where you considered additional costs of these
15 alternatives to the use of the four features in a
16 DDR SDRAM?

17 A. Yes.

18 Q. And let's bring up if we could DX-316.

19 Does DX-316 summarize the cost computation you
20 did for the use of alternatives in a DDR SDRAM?

21 A. Yes.

22 Q. And this is independent of any consideration to
23 disadvantages in performance?

24 A. Correct.

25 Q. Again, did you pick a least costly alternative

1 for each of the four features and a most costly
2 alternative?

3 A. Yes.

4 Q. And for the first two features, CAS latency and
5 burst length, did you select the same alternatives at
6 the same costs as you did in your earlier testimony
7 about SDRAM?

8 A. Yes. They're identical.

9 Q. Okay. And if I might just summarize so it's
10 clear on the record, does that show for the least
11 costly alternative four cents due to the use of fixed
12 latency for CAS latency and no additional costs for the
13 use of burst terminate?

14 A. Yes.

15 Q. And then for the most costly alternative, which
16 is the use of fuses for latency and fixed burst, do you
17 come up with six cents for the first and two cents for
18 the second?

19 A. Yes.

20 Q. And continuing on down there, for alternatives
21 for on-chip PLL/DLL what did you do for purposes of
22 this cost table?

23 A. For purposes of the cost data, it is -- I have
24 assumed it to be zero. The reason there are dashed
25 lines there rather than zero is that the zero that you

1 see for burst terminate is Dr. Soderman's actual
2 estimate of the cost. Here I'm just leaving a place,
3 but obviously there's no cost associated with it.

4 Q. And for alternatives to dual-edged clocking,
5 what did you do for purposes of your cost computation?

6 A. I assumed that interleave -- sorry. I read in
7 Mr. Geilhufe's cost numbers that interleaving banks on
8 the module, summing his costs to my total, is the least
9 costly alternative and that I recorded it as 25 cents
10 as appears on the previous table, and for the most
11 costly alternative it is doubling the clock frequency
12 and my cost number is 28 cents.

13 Q. And then did you compute a total cost, total
14 additional cost, for the least costly alternatives to
15 the use of the four features at issue here?

16 A. Yes. Just by summing the four -- actually
17 three numbers, 24 plus 25 is the least costly. The sum
18 of the additional costs for the least costly
19 alternative to these four technologies.

20 Q. And that's 29 cents?

21 A. 29 cents.

22 And the most costly alternatives to these four
23 technologies adds up to 36 cents.

24 Q. And then did you calculate the percentage of
25 average selling price for DDR SDRAM that would be

1 reflected by additional costs in each of these
2 amounts?

3 A. Yes.

4 Q. And what's the percentage of average selling
5 price for the costs associated with your least costly
6 set of alternatives?

7 A. As a percentage of average selling price, the
8 29-cent additional cost equals 5.65 percent of average
9 selling price.

10 Q. And what is the percentage of average selling
11 price for the costs associated with the most costly
12 alternative?

13 A. 7.02 percent.

14 Q. And then did you compare this to a particular
15 Rambus royalty rate?

16 A. Yes. I assumed a royalty rate of 3.5 percent.

17 Q. And what did this comparison lead you to
18 conclude?

19 A. The comparison shows that there are sizeable
20 differences in those two numbers, the Rambus royalty
21 rate being the low-cost solution to the set of
22 technologies, the low-cost technology.

23 Q. So even using the least costly set of
24 alternatives, paying the royalty to Rambus would still
25 be cheaper?

1 A. By a substantial margin.

2 Q. Dr. Rapp, I want to ask you a few more
3 questions about some of these cost numbers before we
4 leave them, and let me ask you first whether you have
5 an understanding as to whether DRAM manufacturing costs
6 are in general constant over the life of a particular
7 architecture or specification.

8 A. They are not.

9 Q. What is your understanding in that regard?

10 A. My understanding is that the DRAM manufacturing
11 costs decline steeply over a product life cycle of a
12 particular DRAM architecture.

13 Q. Does your understanding in that regard cause
14 you to question at all the usefulness, for purposes of
15 your analysis, of Mr. Geilhufe's cost estimates?

16 A. No.

17 Q. Why not?

18 A. Because Mr. Geilhufe was specific about the
19 fact that he produced his cost estimates on the basis
20 of a mature product. That means one that in his terms
21 and in the terms of the industry has gone down the
22 learning curve and experienced cost reductions.

23 Q. And is it your understanding that all of the
24 different costs in question here would be ones that
25 would be reduced over time or are some ones that do not

1 experience that change?

2 A. No. That's a second reason for not being
3 concerned about these life-cycle cost declines. Things
4 like inventory costs, for example, aren't subject to
5 those declines. Those declines come from yield
6 improvement and things -- and improvement in
7 manufacturing technology.

8 Q. Dr. Rapp, were you in court to hear the
9 testimony of Dr. Jacob on various alternatives?

10 A. Yes.

11 Q. And did you take his testimony into account in
12 forming your opinions?

13 A. Yes.

14 Q. Did his testimony on any of these issues that
15 we've -- the issue of alternatives that we've been
16 talking about today cause you to modify in any way your
17 analysis of the relative costs of alternatives?

18 A. No, they did not. The way that Dr. Jacob's
19 testimony influenced my opinion most directly had to do
20 with his testimony on the burst terminate command which
21 I've mentioned in other respects. He didn't have -- I
22 guess he spoke in vague terms about cost. I don't mean
23 to characterize it, but I mean that there were no cost
24 numbers in his testimony. He didn't -- he wasn't
25 speaking to costs in ways that are susceptible to

1 arithmetic.

2 Q. In your opinion, can you make useful statements
3 about cost comparisons without doing some sort of a
4 numerical calculation or comparison?

5 A. No.

6 Q. Let me take you back to an issue we had left
7 earlier.

8 All of the alternatives that you have talked
9 about so far today in detail in terms of the cost sense
10 have been the synchronous alternatives; correct?

11 A. Yes.

12 Q. And earlier you mentioned that there was an
13 asynchronous alternative, toggle mode, to the
14 dual-edged clocking. Do you recall that?

15 A. Yes.

16 Q. Have you formed an understanding as to whether
17 or not the use of an asynchronous architecture would be
18 a plausible alternative to the use of the four
19 technologies at issue here?

20 A. I have. It is an understanding. It's an
21 assumption. It's not a technology conclusion on my
22 part. But I did read testimony that relates to the --
23 that -- that I could summarize by saying that
24 asynchronous technology, as I understand it, would not
25 be useful above certain clock speeds, that there is

1 insufficient headroom once you get above about
2 200 megahertz, according to the witnesses that I recall
3 reading.

4 Q. Okay. Is that an understanding that has been
5 useful to you in deciding whether and to what extent to
6 consider asynchronous technologies as alternatives?

7 A. I've rejected it on those grounds.

8 Q. And did you try to confirm your understanding
9 by reviewing various of the trial testimony in evidence
10 in this case?

11 A. Yes. It is from that testimony that my
12 understanding arrives.

13 Q. In light of the testimony you've just given us
14 and the calculations and computations you have done,
15 have you formed a conclusion about whether Rambus'
16 actions at JEDEC resulted in an increase in the value
17 or the market power of Rambus' patents?

18 A. Yes.

19 Q. And what's your conclusion in that regard?

20 A. My conclusion is that Rambus' actions in JEDEC
21 did not do so.

22 Q. Why is that?

23 A. That is because the -- my calculations and
24 the -- and consideration of performance as well as
25 cost lead me to the opinion that Rambus' -- well, lead

1 me to the understanding that Rambus' technology was a
2 superior technology to the others, both -- with
3 respect to all four of the particular features at
4 issue in this case, and consequently, formal
5 standard-setting did not elevate Rambus above
6 equivalent cost-performance alternatives. On the
7 contrary, Rambus technology was already the superior
8 alternative, and formal standard-setting ratified what
9 only what the market otherwise would have chosen of
10 its own.

11 Q. Have you formed an opinion as to what a
12 rational standard-developing organization would have
13 done had additional disclosures regarding intellectual
14 property been made as complaint counsel contend they
15 should have been made to that organization with respect
16 to the features at issue in this case?

17 A. Yes. My opinion, based upon my understanding
18 about the relative merits of the technologies, is that
19 a rational standard-setting body would have elected to
20 adopt the four Rambus technologies in preference to any
21 of the alternatives.

22 Q. Have you as an economist considered whether
23 manufacturers and consumers are better off than they --
24 by selecting the four features at issue here that you
25 refer to as Rambus technologies than by selecting any

1 of the alternatives?

2 A. Yes. The -- in choices of technology, just
3 like choices of inputs to manufacturing, the best
4 solution in cost-performance terms for manufacturers is
5 going to produce the lowest-cost and best products down
6 the line and consumers will benefit from that as well
7 as manufacturers.

8 Q. Let me ask you in this regard, Dr. Rapp, to
9 take a look at one of Professor McAfee's demonstratives
10 if we might.

11 If we could bring up DX-176.

12 Do you recall seeing this demonstrative
13 entitled Commercial Viability before?

14 A. Yes.

15 Q. Have you taken into account in the opinions
16 that you've expressed already today the various
17 alternatives that are described by Professor McAfee as
18 being commercially viable?

19 A. Those are the technologies that I analyzed.

20 Q. Okay. Now, with respect to the commercially
21 viable technologies as he describes them on this
22 demonstrative, technologies that constrain the price of
23 chosen technology, do you see that reference?

24 A. Yes.

25 Q. Is that definition one that is useful to you

1 and appropriate in your view in considering
2 alternatives for purposes of calculating whether or not
3 there's increases in market power?

4 A. No. It --

5 Q. Tell us why or why not.

6 A. It is a definition that doesn't get you there.
7 To speak solely of commercial viability or of
8 constraining prices -- let me put it this way.

9 I disagree with Professor McAfee and with this
10 slide about the usefulness of those terms. He speaks
11 of it as parallel to a SSNIP test, which is a small but
12 significant price increase test.

13 I find that "commercial viability" is a vague
14 term. I mean, we understand what it means, but it's
15 not a very clear one. And "constraining prices" is
16 also vague in the sense that even weak substitutes can
17 be said to constrain the price of a good whose market
18 power is in question.

19 Q. Earlier today both I asked you some questions
20 and the court I think asked you a question or two
21 about the concept of a substitute. Did you recall
22 that?

23 A. Yes.

24 Q. And for purposes of your analysis, have you
25 analyzed and made informed opinions about whether there

1 are substitutes to the four technologies in question
2 here?

3 A. The answer is that I have not addressed that
4 question in a formal economic way associated with
5 market definition. I've been talking about the term
6 "alternatives" and perhaps used the term "substitute"
7 in that regard, and I've been -- I've been speaking
8 without reference to formal relevant market
9 definition.

10 Q. And have you used -- have you considered the
11 concept of whether alternatives are close substitutes
12 for purposes of assessing their viability?

13 Let me take out the "for purposes of assessing
14 their viability," which probably doesn't mean much as I
15 phrased it, and let me ask you this way.

16 Have you considered alternatives as to whether
17 or not they are close substitutes?

18 A. I haven't made a particular judgment about
19 whether the four -- about whether the technologies that
20 Professor McAfee identifies as commercially viable are
21 in fact close substitutes.

22 Indeed, the conclusion that I draw -- maybe
23 this is the way to answer your question -- the
24 conclusion that I draw carries with it the implication
25 that they are not close substitutes, that in

1 cost-performance terms, while they might in some sense
2 be price-constraining -- and I would be hard-pressed
3 to -- well, I could answer how, if need be -- that the
4 cost-performance distance between those alternatives
5 that have been proposed and Rambus technologies means
6 that they are not close substitutes. That's a
7 conclusion I can draw, yes.

8 Q. Let me ask you about the time aspect of any
9 comparison that is made.

10 Have you made a comparison for purposes of the
11 opinions you've expressed earlier as to whether these
12 cost and performance comparisons would be made at a
13 particular point in time, either before a standard,
14 after a standard, for example, or some other point in
15 time?

16 A. Well, at the relevant time, in other words, I
17 have assumed that decisions -- that cost comparisons
18 get made at the time of decisions, and what that means
19 is either before a standard is chosen or within the
20 time frame that would enable a revision, a change -- a
21 redesign, and that doesn't necessarily mean a new
22 standard. The time frame that I think about in that
23 regard is 18 months or less, somewhere between 6 and
24 18 months. And that's based upon -- that is an
25 understanding of mine based upon the testimony of

1 others.

2 Q. I want you to assume that, for purposes of this
3 question, that if Rambus had never been a member of
4 JEDEC, JEDEC still would have selected the same four
5 technologies with respect to the four features in
6 question. Can you make that assumption?

7 A. Yes.

8 Q. Does that assumed fact have significance with
9 respect to your opinions?

10 A. It does. It's a consequence of the opinions
11 that I've already given that if JEDEC were a rational
12 manufacturer, it would have selected these technologies
13 anyway. And the consequence of that for my opinion is
14 that it ratifies the proposition that standardization
15 doesn't add market power because the actual and the
16 but-for world are the same.

17 JUDGE MCGUIRE: Is that opinion -- does it take
18 into account the time frame that all this would have
19 occurred in JEDEC, that is, incorporate those four
20 technologies?

21 THE WITNESS: I think so, if I understand your
22 question. Sorry.

23 JUDGE MCGUIRE: Well, what you've just
24 testified to is that you feel that at some point JEDEC
25 would have incorporated these four technologies as

1 is the importance of the time frame if under your
2 testimony JEDEC would have ultimately incorporated
3 these four technologies, is there any impact there on
4 the time frame under which that would have occurred to
5 the market.

6 THE WITNESS: If I understand your question,
7 Your Honor, I think the answer is no. My view of
8 this -- I try to solve problems like this by looking at
9 two states of the world, actual and but-for. The
10 actual world, JEDEC standardizes SDRAM at one point and
11 DDR in another.

12 What my testimony speaks to is the proposition
13 that if they had the disclosure at their disposal that
14 the time frame -- I have no reason to think the time
15 frame would be different. I think the outcome would
16 have been the same in the same time frame.

17 JUDGE MCGUIRE: So it would have been the same
18 whether it took them, you know, eight months to
19 incorporate these technologies or four or five years?

20 I mean, it seems to me there ought to be some
21 kind of an economic impact somewhere depending on the
22 time that JEDEC ultimately incorporates these four
23 technologies, and I don't know how much time that would
24 have taken them, but is there some kind of an economic
25 impact to the market depending on the time frame it

1 would have taken JEDEC to incorporate these four
2 technologies?

3 THE WITNESS: Let me tell you what your
4 question raises in my mind and see if it's helpful at
5 all.

6 A reason that I can think of why the timing
7 would be different if the disclosures had taken place
8 is if there were some discussions that happened or a
9 negotiation, but I don't think that's plausible. I
10 think that what happens when there is a disclosure is
11 not something that's very time-consuming -- it's just
12 based on understanding -- a request for a RAND letter,
13 and so forth.

14 I don't -- there are no lags that are built
15 into my analysis about what would have happened. The
16 timing of events in the actual world and the but-for
17 world with disclosures is the same.

18 JUDGE MCGUIRE: Well, that may answer my
19 question.

20 Go ahead, Mr. Royall.

21 MR. ROYALL: Your Honor, I obviously
22 appreciate your interest in asking questions. The
23 last answer, though, I would object to and move to
24 strike in that it -- the witness referenced his
25 understanding of internal procedures in JEDEC,

1 including RAND letters.

2 And again, I'm happy to point this out, but
3 the record makes it very clear that this witness has
4 given no consideration in forming the opinions that are
5 set forth in his expert report to the internal

1 disclosed was disclosed at the earliest point in time
2 that it's been contended that they should have
3 disclosed?

4 A. Sure.

5 Q. And with that assumption as to facts, have you
6 formed a view as to whether a rational
7 standard-developing organization would still have
8 adopted the four technologies in issue here that are
9 covered by Rambus patents?

10 A. Yes.

11 Q. And what's your conclusion if the disclosure
12 had been made at that earliest possible time?

13 A. The same. That they would have.

14 Q. And if disclosure had been made at later points
15 in time, does that cause you to think that this
16 rational standard-developing organization would have
17 changed its opinions as to which technologies to use?

18 A. I don't believe so. I think that the
19 cost-performance hierarchy remains the same.

20 MR. STONE: Your Honor, I'm about to switch to
21 a new topic, if you wanted to break now. I know it's a
22 few minutes earlier than you'd indicated, but --

23 JUDGE McGUIRE: It's up to you, Mr. Stone. We
24 could go another ten minutes, but if you would prefer
25 to break, I don't know how much time this next topic is

1 going to take.

2 MR. STONE: It will take more than the ten
3 minutes.

4 JUDGE McGUIRE: Then why don't we go ahead and
5 break and we'll adjourn to 1:45. Okay?

6 MR. STONE: Thank you, Your Honor.

7 JUDGE McGUIRE: Hearing in recess.

8 (Whereupon, at 12:15 p.m., a lunch recess was
9 taken.)

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 record.

2 JUDGE McGUIRE: Mr. Stone, maybe you can do
3 that.

4 MR. STONE: Yeah, we'll certainly look into it,
5 and I think I should clarify it perhaps with the
6 witness as well so that the record is clear because I
7 don't want the witness to have been put by me in an
8 awkward position with respect to that. And we will
9 look into that.

10 JUDGE McGUIRE: Why don't you take that up and
11 we'll talk about this in the morning. Does that offer
12 you enough time?

13 MR. STONE: That's fine.

14 JUDGE McGUIRE: And if complaint counsel will
15 take this up again with us in the morning before we
16 start, we'll get that resolved.

17 MR. ROYALL: Okay.

18 MR. STONE: Okay.

19 JUDGE McGUIRE: All right. Mr. Stone, you may
20 proceed.

21 MR. STONE: Thank you.

22 BY MR. STONE:

23 Q. With respect to the colloquy, Dr. Rapp, that
24 you just heard, let me just say, would your testimony
25 with respect to the theory of revealed preference that

1 you gave earlier today have been any different whether
2 that testimony was directly in response to something
3 Professor McAfee said or whether it was based on a
4 general understanding and set of questions?

5 A. The latter. It was based on my general opinion
6 about the theory of revealed preference and its
7 application in this setting.

8 Q. Okay. Thank you.

9 Right before we took the lunch break we were
10 talking about your opinions as to what a rational
11 standard-developing organization would have done if
12 Rambus had made disclosure at various points in time.
13 Do you recall that?

14 A. Yes.

15 Q. And I believe you expressed the opinion that a
16 rational standard-developing organization would have
17 stuck with, stayed with the four technologies
18 regardless of what point in time disclosure had been
19 made?

20 A. Yes.

21 Q. What I want to ask you to assume, though, is
22 that let's suppose that rational standard-developing
23 organization wanted to switch at some point from the
24 four technologies to alternative technologies. Can you
25 assume that?

1 A. Sure.

2 Q. Is there a concept of lock-in that you would
3 take into account in deciding whether such an
4 organization could switch?

5 A. Yes.

6 Q. Okay. Let me ask you about that.

7 Is that a term, "lock-in," a term of art in
8 economics?

9 A. It is. It's a term that is frequently used in
10 economics when studying the economics of different
11 industries.

12 Q. Can you explain what it means?

13 A. Well, it means -- this is one of those cases
14 where the economic jargon and everyday meaning of the
15 word are the same, which means you can switch.

16 JUDGE MCGUIRE: How refreshing.

17 THE WITNESS: It's amazing, I know.

18 It means you can switch. And so the crucial
19 concept that goes with the notion of lock-in is
20 switching costs.

21 BY MR. SgMR. SgMRw1o the crunje Q. Can yo thit mehe

1 clear -- it's probably obvious -- but what is the
2 relationship between switching costs and lock-in?

3 A. If switching costs are high, then buyers or
4 consumers can be said to be locked in. And I can
5 explain easier by example if that's all right.

6 Q. If you would.

7 A. I referred earlier to photocopiers as my
8 favorite lock-in -- or an example of something
9 actually. That may not have been in reference to
10 lock-in. But it is a good example for that.

11 People buy certain models of -- by release
12 certain models of photocopiers. Toner is specific to
13 most models. It's not interchangeable. And the notion
14 is that if a manufacturer wanted to, subject to a
15 certain set of conditions and assumptions, if the
16 manufacturer had a large installed base of users of a
17 particular model who are all dependent upon its toner,
18 then conceivably it could raise the price of the toner,
19 exploit those consumers, and thus switching costs might
20 be high, because in order to get around it, according
21 to this example, you'd have to buy a new photocopier,
22 an expensive piece of hardware.

23 That's what we mean by "switching costs," the
24 costs of moving from one set of circumstances -- from
25 one technology to another.

1 Q. Okay. And it's those switching costs that I
2 want to ask you about for a moment.

3 Have you heard the phrase "specific
4 investments" referred to in the context of this trial?

5 A. Yes.

6 Q. And is there a correlation between what you
7 have heard and understood to be specific investments
8 and switching costs?

9 A. Yes. But it's a -- it is an incomplete,
10 imperfect relationship. It is not simple and
11 straightforward.

12 And if I may, I'll shift the example to one
13 that we heard earlier in the courtroom. Let me know
14 whether this is in or out of bounds. I'd like to talk
15 about coal plants at the mining mouth.

16 Q. I think that's within bounds.

17 A. Okay. An example of a specific investment is a
18 coal plant that is built at a mine mouth, a coal-fired
19 electricity plant that is built at a mine mouth to take
20 advantage of nearness of the power source, of the coal,
21 and then the notion is if the mine operator was able
22 because of imperfect contracting to raise the price of
23 coal, the story goes, there would be -- it would be a
24 case of hold-up because the plant owner, having
25 situated an expensive plant there, let's say a

1 \$100 million plant, would be locked into that location
2 and to that coal.

3 That's the story of specific investments and
4 lock-in. What I wish to say about that, the reason
5 that I say that that's an imperfect story is because it
6 doesn't really focus on what's important. It's got all
7 the preconditions right, but it doesn't focus on what's
8 important.

9 What's important are not the sunk investments,
10 not the plant that's sitting there on the ground.
11 That's a piece of history. What's important is what it
12 would take to get around that contract, that
13 high-priced coal.

14 If the answer is that you have to build a new
15 plant, by the way, abandon the old plant, but the more
16 important fact is build a new plant somewhere else and
17 that new plant costs a hundred million dollars, then
18 it's fair to say that the switching costs are a
19 hundred million dollars, and that's my definition of
20 very high.

21 But there are other stories that go with that
22 example. Imagine for sake of argument that there is a
23 gas pipeline that runs nearby and that for \$5 million
24 it's possible to run a line to the electricity plant
25 that's still sitting at that mine mouth and imagine

1 another \$5 million will buy you a conversion. I'm
2 making all this up. I don't even know whether it's
3 possible technologically, but imagine another
4 \$5 million will turn your coal-fired plant into a gas
5 fired plant. Okay?

6 In that case the specific investment is exactly
7 the same. It's that original \$100 million plant, but
8 it's neither here nor there. What matters is the
9 forward-looking switching costs, \$5 million for a
10 pipeline, \$5 million for a conversion cost, the
11 switching costs. The real switching costs are
12 \$10 million, and to the extent that anybody is locked
13 into that coal price, it is only to the tune of that
14 \$10 million in the second example.

15 Q. And let me bring you now from that example if I
16 might, Dr. Rapp, to an issue in this case.

17 The cost of fabricating plants you would agree
18 is quite high?

19 A. Yes.

20 Q. Are the costs of constructing and equipping a
21 fabricating plant switching costs?

F fo ThR serd, c.77
2 14 e n b cadis lioo ay understandine of e wst e iwhichre
2 15 changesue in technolyat y h Raeion tho buhavndin, t77

1 build a new fabrication plant.

2 Q. Let me ask you, have you formed an opinion in
3 this case as to whether in 1993 -- and assume that
4 that's when the SDRAM standard was adopted and assume
5 that manufacturers had begun to make some specific
6 investments in SDRAM -- were DRAM manufacturers at that
7 point in time locked into using the four features at
8 issue in this case?

9 A. No.

10 Q. Have you formed an opinion as to whether the
11 manufacturers of DRAMs were locked into using the four
12 features at any subsequent time?

13 A. I have.

14 Q. What's that opinion?

15 A. And my opinion is that they were not locked
16 in.

17 Q. And what's the basis for your opinion?

18 A. The basis for my opinion is that the switching
19 costs associated with shifting to alternative
20 technologies if those alternative technologies were
21 worth switching to were relatively low by comparison to
22 the expenses associated with manufacturing DRAMs in
23 general, so they could have switched at any point.

24 Q. Have you in forming this opinion taken into
25 account testimony by Professor Jacob on the costs of

1 what he referred to I think as a redesign today?

2 A. Yes. That is what -- it is the redesign that I
3 have in mind as among the opportunities for -- that
4 present themselves for switching from one technology to
5 another without having to rebuild the plant or anything
6 like that because circuits get redesigned periodically
7 and within those opportunities shifts to alternative
8 technology are possible, not at no cost but at low
9 cost.

10 Q. Have you also taken into account testimony by
11 Professor McAfee on this same subject?

12 A. Yes.

13 Q. Have either -- have you seen any quantification
14 of switching costs that was presented in the testimony
15 of either of those individuals?

16 A. I have not. There were no -- again, speaking
17 of costs, costs require numbers, as far as I'm
18 concerned, to make meaningful statements about them,
19 and I have not seen numbers associated with testimony
20 about switching costs until this point.

21 Q. I want you to assume in this regard that the
22 DRAM industry might be characterized as having high
23 fixed costs and low marginal costs. Can you assume
24 that?

25 A. I can assume that, and I agree with the

1 assumption.

2 Q. Okay. And if you make that assumption, does
3 that allow you to form any conclusions about what
4 switching costs would be?

5 A. No, not of itself. The fact of high fixed
6 costs and low marginal costs doesn't say anything about
7 switching costs.

8 Q. Well, let me ask you to address specifically an
9 argument that it would be difficult for manufacturers
10 to switch from the four technologies at issue here and
11 to substitute alternate technologies because there are
12 high fixed costs and low marginal costs in the DRAM
13 industry.

14 Can you address that argument and tell us
15 whether you agree or disagree with it?

16 A. The second part of the argument doesn't follow
17 from the first.

18 Q. Why is that?

19 A. Well, because you can have high fixed costs and
20 low marginal costs and there is nothing about that set
21 of circumstances that prevents switching at low cost,
22 unless what you're saying is that those fixed costs
23 need to be replicated in their entirety every time a
24 switch of some particular technology is made, which we
25 know is not true.

1 Q. Have you, in assessing those questions that I
2 just posed to you, have you taken into account
3 testimony of witnesses such as Mr. Becker from Infineon
4 who testified here?

5 A. Yes. I remember the -- again, this is just a
6 recollection and in the nature of a basis for my
7 opinion -- that Mr. Becker spoke of the frequency of
8 redesigns at the Infineon Richmond plant.

9 Q. Let me see if I can ask it this way so as to be
10 consistent with rulings we've had.

11 Have you formed an understanding in that regard
12 about the frequency of redesigns of DRAMs?

13 A. Yes.

14 Q. And what is your understanding in that regard?

15 A. My understanding is that redesigns of one sort
16 or another occur, generally speaking, in this industry
17 with a frequency of about 12 to 18 months.

18 Q. In your opinion, Dr. Rapp, is it possible for
19 an economist to make a sound economic judgment about
20 switching costs being sufficiently large to create
21 lock-in without doing some sort of quantification of
22 those costs?

23 A. It is not.

24 Q. Do you have an understanding -- and again, I'm
25 asking you for an understanding, not your opinion -- as

1 to whether there have been changes in the technology of
2 SDRAMs that would have made it easier or harder for the
3 manufacturers to switch away from the four technologies
4 at issue here?

5 A. Yes. I have an opinion that there have been
6 changes in the technology, and it is not the specific
7 technology that is the basis for my opinion but simply
8 the fact that changes in the speed of DRAMs within
9 generations and the need for periodic design creates an
10 opportunity for changing the circuitry of DRAM, again
11 without having to -- in the normal course of business.
12 Let's put it that way.

13 Q. Have you made an effort to quantify the
14 switching costs associated with switching away from the
15 four technologies at issue here in an effort to
16 determine whether or not there's lock-in?

17 A. Yes.

18 Q. Could we bring up DX-317.

19 Does this chart summarize the quantification
20 work that you've done?

21 A. Yes.

22 Q. What did you consider as your scenario for the
23 new technologies or the alternative technologies that
24 would be switched to when there was a switching away
25 from the four at issue?

1 A. This is a DRAM example -- an SDRAM example. It

1 figures that you've set forth on DX-317?

2 A. You remember earlier in the day when we spoke
3 about Mr. Geilhufe's numbers being divided into fixed
4 costs and variable costs, these are the fixed costs --
5 and I want to come back to the definition of that
6 word -- these are the fixed costs associated with the
7 substitution of the alternatives that Mr. Geilhufe
8 analyzed in this connection.

9 Q. Okay. And in that regard, what is important to
10 you about the definition of fixed costs?

11 A. What's important is that it's a definition that
12 pertains particularly to this change. It's not fixed
13 costs in the way that building a plant is a fixed cost.
14 That is, too, by some standard. But this is the
15 analysis of an episode.

16 The episode is to change programmable latency
17 and burst into fixed burst and fixed latency, and
18 certain of the costs that Mr. Geilhufe named are
19 one-time-only costs associated with design or
20 qualification, something like that.

21 So those are fixed in the sense that they don't
22 recur with each chip produced. The variable costs are
23 each chip produced create -- has additional -- bears
24 additional cost. These are one-time-only costs
25 associated with this project.

1 Q. Can you walk us through, if you would, your
2 calculation of switching costs based on Mr. Geilhufe's
3 data for the scenario you've just described?

4 A. Yes. There were design costs named in
5 different cost categories by Mr. Geilhufe for fixed CAS
6 latency that added up to \$300,000 and -- sorry -- a
7 hundred thousand dollars per chip times three chips,
8 and the hundred thousand dollars per chip times four
9 burst length chips, individualized, and you see them

1 latency and programmable burst to fixed latency and
2 burst length.

3 Q. And how does this calculation inform your
4 opinion about whether or not there would be lock-in?

5 A. Well, I don't think \$4.3 million is a small
6 amount of money by my standards, but by the standards
7 of DRAM production costs in general, it is a modest
8 amount, and the conclusion that I draw from it is that
9 if fixed latency and burst were a good alternative in
10 terms of -- in cost-performance terms, then the cost of
11 switching from programmable to fixed would be about
12 \$4.3 million.

13 Q. How does that number compare to the royalties
14 at issue in this case with respect to simply SDRAM?

15 MR. ROYALL: For clarification --

16 MR. STONE: Let me rephrase. Let me withdraw
17 and rephrase.

18 BY MR. STONE:

19 Q. You talked earlier about comparing the
20 additional costs of various alternatives to a royalty
21 of .75 percent charged by Rambus?

22 A. Yes.

23 Q. And if a rational standard-developing
24 organization felt that they could avoid, in some
25 fashion rationally avoid the payment of royalties to

1 understand the phrase "opportunity cost of engineers"
2 to refer to.

3 A. Opportunity cost is another way of saying
4 economic cost. It's the cost that economists think of
5 first and foremost, and what it means is the cost of --
6 what it means is that the cost of employing somebody,
7 in this instance, is really the cost of taking them
8 away from the next best alternative. It doesn't show
9 up that way in accounting records, but that's the real
10 cost of using somebody's time.

11 Q. And how are those opportunity costs taken into account?

1 latency and programmable burst length, to the
2 alternatives that you've considered?

3 A. Yes.

4 Q. And in that regard, just remind us if you would
5 what the complementary goods are that you would
6 consider.

7 A. The complementary goods are memory controller,
8 modules, the microprocessor first and foremost, the
9 sockets and motherboard.

10 Q. In your opinion, Dr. Rapp, would any
11 coordination issues with the manufacturers of those
12 complementary goods and the DRAM manufacturers prevent
13 such a switch in technology from occurring?

14 A. It would not.

15 Q. Why not?

16 A. My answer is that the resolution -- that
17 coordination happens all the time in this industry,
18 that that is what JEDEC is about, that coordination
19 happens among manufacturers outside of JEDEC all the
20 time, and there's no evidence that I could identify
21 that suggests that coordination problems of the sort
22 that are posed by this are not solved again in the
23 normal course of business.

24 Q. Did you look in the record to see whether you
25 find evidence of such coordination problems having been

1 an obstacle to switching in the past?

2 A. Yes.

3 Q. And did you find any such evidence?

4 A. No.

5 Q. Did you also consider the possibility that once
6 the DRAM industry had made some investments in using
7 some or all of the four technologies at issue here that
8 it would after that be harder to get an agreement
9 amongst them and to coordinate on the change to some
10 other technologies?

11 A. I understand the proposition. I think that the
12 situation is no different from -- I mean, it's harder
13 in the sense that there's an existing technology and
14 it's not as if they're starting from scratch, but it's
15 no -- but the industry hasn't started from scratch for
16 a long period of time, so I don't think the
17 coordination problems when faced with finding an
18 alternative to these Rambus technologies, if that were
19 to have paid off, would be any harder here than in
20 other situations that the industry routinely faces.

21 Q. Have you considered the possible argument that
22 users of specific features might have different
23 incentives that might interfere with coordination, such
24 as one manufacturer that might prefer a burst length of
25 8, for example, and one that might prefer a burst

1 length of 4?

2 A. Yes.

3 Q. And has that caused you in any way to rethink
4 or change your opinion?

5 A. No. The same answer that I gave earlier
6 applies. But in a certain sense the experiment has
7 been performed in history in this case because there
8 was such a deliberation, as I understand it, with
9 respect to the DDR-II standard. There were differences
10 of opinion about that and interests were divergent at
11 the outset and a resolution was achieved. In this case
12 the resolution was to preserve programmability, but
13 nevertheless it was a coordination problem the likes of
14 which we're talking about.

15 Q. I want you to assume if you can, Dr. Rapp, that
16 let's say roughly 50 percent of the manufacturing
17 capacity today is licensed by Rambus to make use of the
18 four technologies in question and that 50 percent of
19 the market in terms of capacity roughly is not. Can
20 you make that assumption?

21 A. Sure.

22 Q. Have you considered the argument that, because
23 some of the manufacturers are licensed and some are
24 unlicensed, they have different incentives with respect
25 to these coordination problems that would make it more

1 difficult for them to switch?

2 A. I have considered that.

3 Q. And what is your opinion regarding that
4 argument or that possibility?

5 A. I don't think that it is a strong argument, and
6 the reason that I don't think it's a strong argument or
7 even a plausible argument is that the incentives are
8 not all that divergent. All manufacturers, all other
9 things being equal, have an -- I'm sorry. Let me start
10 that again.

11 All manufacturers have an interest in the
12 availability of alternative technology at low cost in
13 cost-performance terms, so I don't recognize how the
14 assumption that you gave me about licensure should
15 affect the ability of manufacturers to achieve whatever
16 standardization is necessary.

17 Q. Let me ask you a couple other arguments I want
18 you to consider and respond to if you would.

19 Have you considered whether the need to
20 achieve economies of scale and production volume might
21 cause the DRAM industry or manufacturers to sort of
22 home in on a single standard at any given point in
23 time?

24 A. Yes.

25 Q. And is that a factor that impacts the issues

1 we're discussing now?

2 A. It does impact it, and unlike some of the
3 arguments that we've addressed, it's not something to
4 be dismissed out of hand. Economies of scale and
5 economies of cumulative volume are very, very important
6 forces in the DRAM industry, but they do not compel a
7 single standard.

8 Economies of scale happen at the plant level.
9 We observe in the marketplace that there are variations
10 in chips, sometimes produced out of a single plant,
11 different speeds, different DRAM technologies, so
12 acknowledging that economies of scale matters, as I do,
13 is not to say that economies of scale would drive the
14 industry to require a single standard.

15 I'm not saying that you could have a half a
16 dozen or a dozen standards. That's not my opinion. My
17 opinion is that one standard is not dictated by the
18 economics and the technology of the industry.

19 Q. And do you have an understanding as to whether
20 at any given point in time during the period 1990 up
21 through today multiple standards have existed in the
22 DRAM industry?

23 A. Yes. Multiple standards in the following
24 sense. First, multiple technologies have existed, some
25 major, some minor. And I'm talking about the

1 industry necessarily to a single technology at any one
2 time.

3 Q. Have you also considered the possible argument
4 that network effects or network externalities make it
5 impractical for any firm or even a group of firms to
6 depart from the standard and create an alternative?

7 A. Yes.

8 Q. And do you accept or reject that argument?

9 A. I reject it.

10 Q. Why is that?

11 A. For much the same reason. Network
12 externality -- the implication of network
13 externalities is that the compatibility requirements
14 of the industry's users is so high that only one
15 standard can exist, and if the industry is in that
16 standard, then presumably it is locked into that
17 standard.

18 Just like a -- well, never mind the examples.

19 And I find that not to be true.

20 Q. Do you have an opinion based on the
21 understandings you've described in your own economic
22 analysis as to whether numerous versions of DRAM could
23 successfully coexist in the marketplace?

24 A. My opinion is that numerous versions if you
25 mean numerous competing standards is too many to

1 coexist.

2 Q. And what is your view as to what would be
3 reasonable to expect in this industry?

4 A. I'm a little reluctant to go beyond saying
5 more than one or a few, and that is necessarily
6 imprecise. There's no analysis. It's based upon my
7 reading of the history without any more precise
8 analysis than that.

9 I think the facts that I've spoken of earlier
10 suggest that coexistence like that is possible, but I
11 want to -- I want to qualify the answer.

12 Q. In your opinion, Dr. Rapp, were DRAM
13 manufacturers at any point in time from 1990 up until
14 today locked into using the four technologies at issue
15 in this case because of switching costs?

16 A. In my opinion, they were not.

17 Q. And have you explained to us in your prior
18 answers the bases for that opinion?

19 A. Completely.

20 Q. Okay. If then it was not due to lock-in, do
21 you have an explanation as to why these four
22 technologies were carried forward from SDRAM to
23 DDR SDRAM, and so on?

24 A. Sure. I think it was because they were the
25 superior technologies in cost-performance terms along

1 the lines of which I described this morning.

2 Q. And would that opinion be true as well with
3 respect to the inclusion of these four technologies in
4 DDR-II?

5 A. Yes.

6 MR. ROYALL: Your Honor, I -- I'm sorry. I
7 just want to interrupt because I think there is
8 something that's inaccurate on the record, and I think
9 it's probably not intentional. I'm sure it wasn't
10 intentional.

11 But the question earlier was whether -- if I
12 followed it, it was whether he had an opinion as to
13 why the four technologies were carried forward from
14 SDRAM to DDR? Was that -- I think that was the
15 question.

16 MR. STONE: I misspoke.

17 BY MR. STONE:

18 Q. Let me go back to that question.

19 A. Uh-huh.

20 Q. Let me get my understanding clear and see if it
21 comports with yours.

22 Of the four technologies at issue here, two of
23 them were included in SDRAM?

24 A. Yes.

25 Q. And all four were included in DDR?

1 A. Right. And subsequently standardized into
2 DDR-II, just to jump ahead.

3 Q. Okay. And have you formed an opinion as to
4 whether the initial selection of the two in any way
5 mandated through application of the theory of lock-in
6 the later selection of the four?

7 A. My opinion is they do not, and for this let me
8 see if I can be clear. I do not think lock-in accounts
9 for any either carryover, in other words, carryover of
10 the two into DDR, or man -- nor do I think that lock-in
11 mandated the adoption of the other two, PLL and DLL on
12 a chip and dual-edged clocking.

13 So lock-in has no part in any of it is my
14 opinion.

15 Q. And have you considered as part of your
16 understanding base, if you will, the decisions to
17 include these features in DDR-II?

18 A. Yes.

19 Q. And how has that informed your opinions, if at
20 all?

21 A. Well, it strengthens my opinions because this
22 is in the history that we're relating across
23 essentially the 1990s. This comes late in the game,
24 DDR-II. There have been multiple design changes across
25 the decade. There is now a new standard being formed.

1 It's one that's being formed in years when the
2 licensing intentions of Rambus are clear for the
3 industry -- unarguably clear for the industry to see
4 there has been litigation, and so forth, by that time,
5 and yet the industry chose to standardize on the four
6 technologies.

7 Q. And in your opinion, was the decision after
8 that additional information was available, was it due
9 at all to lock-in?

10 A. In my opinion, it was not.

11 Q. Are there implications from your opinions
12 regarding the absence of any lock-in and the
13 possibility that Rambus has achieved any additional
14 market power through its alleged conduct at JEDEC?

15 A. The conclusion that lock-in is not a feature of
16 technology in this industry carries with it the
17 explicit implication that it is not a source of the
18 acquisition or maintenance of market power.

19 Q. Let me ask if we could bring up a slide you
20 prepared, DX-318.

21 And this might be helpful at this point in the
22 questioning, Dr. Rapp.

23 Can you tell us what this slide is intended to
24 convey?

25 A. Sure. I want to employ language that has been

1 used -- I want to explain my opinions about market
2 power using the language that was used by
3 Professor McAfee I believe earlier in the trial, and
4 that is the distinction to use -- to employ the
5 distinction between ex ante and ex post.

6 Q. And why don't you start by explaining those two
7 terms if you would as you use them.

8 A. All right. Not translating from the Latin, but
9 just talking about what we mean by that in this
10 context.

11 Q. Please.

12 A. We mean before and after a standardization
13 decision, so when we are thinking about SDRAM, in my
14 way of thinking, ex ante means before 1993 and the
15 standard was fixed, and ex post means after 1993. And
16 if we were speaking explicitly about DDR, we'd pick a
17 later year.

18 Q. And the heading on your chart, Rambus Has
19 Acquired No Additional Market Power, let me take you to
20 that first.

21 I think you said earlier today, you're not
22 saying that Rambus by virtue of its technology and its
23 patents has no market power, are you?

24 A. That's correct.

25 Q. And so when you address additional market

1 power, what do you mean to be addressing by that?

2 A. I'm referring to market power that is alleged
3 to have been acquired by Rambus as a result of its
4 actions in JEDEC, in other words, as a result of its
5 alleged failure to disclose whatever intellectual
6 property interests complaint counsel believes it should
7 have disclosed.

8 Q. And when we talk in your testimony and in
9 connection with this chart about ex ante and ex post,
10 are those the time periods in which you're assuming
11 that whatever it is alleged Rambus should have
12 disclosed was in fact made known?

13 A. I am talking about before and after
14 standardization.

15 Q. Right.

16 A. Before and after standardization is fixed.

17 Q. And for these purposes, do you mean to look at
18 whether before standardization the disclosure was made
19 that in some fashion that is alleged Rambus should have
20 made and then after standardization you assume the
21 disclosure is made after as well?

22 A. Yes.

23 Q. Okay. What is your conclusion regarding
24 whether or not Rambus acquired any additional market
25 power ex ante, that is, as a result of not making the

1 alleged required disclosure known prior to that time?

2 A. My conclusion is that Rambus acquired no
3 additional market power ex ante, and the reason for
4 that, as I've described throughout the day, is because
5 the technology was superior to begin with and, in
6 addition, because compatibility requirements were not
7 so strong that alternatives, if they were available,
8 couldn't have been employed.

9 As a result of that, I don't believe that
10 formal standard-setting reduced the uncertainty --
11 sorry -- reduced the substitution possibilities of
12 alternatives. They just weren't as good. And Rambus'
13 market power was unchanged by formal standard-setting.
14 That is the -- that's the story up to formal
15 standard-setting ex ante.

16 Q. Let me ask you about the ex post situation.

17 Just assume that there was some required
18 disclosure that was not made by Rambus and the
19 information did not become known until after the
20 standards had been developed and investment had been
21 made in the manufacture of those products.

1 opinion as to whether Rambus acquired any additional
2 market power as the result of the conduct in which it
3 has been alleged to have engaged?

4 A. Yes. And I would put it this way. I would say
5 that it neither acquired market power nor was it able
6 to sustain excess market power, if you will, or
7 additional market power. And the reason for that is
8 because even after standardization, after disclosure,
9 the opportunity -- if substitutes were available --
10 that's always with an "if" -- if substitutes were
11 available that were just as good or were better,
12 switching was possible because switching costs weren't
13 high.

14 Q. Based upon the analyses you've described for us
15 earlier today, did you also form an opinion as to what
16 technology would have been selected regardless of what
17 time period the alleged disclosures were made?

18 A. Yes. My opinion is that the four Rambus
19 technologies were the technology of choice throughout
20 this period and that a rational manufacturer or a
21 rational JEDEC, that is, choosing the best alternative
22 in cost-performance terms would have selected the
23 Rambus technologies.

24 Q. Okay. We can bring that slide down if you
25 wouldn't mind.

1 And let me ask you about a slightly -- let me
2 ask about a different term.

3 Does the discussion we've just been having
4 about switching costs and lock-in have a bearing on the
5 issue of entry barriers?

6 A. It's not so much that it has a bearing -- well,
7 yes. I mean, they're one and the same thing or very
8 close to one another.

9 Q. Well, if you would, first tell us sort of how
10 an economist thinks of entry barriers.

11 A. An entry barrier is -- we're picking all the
12 examples that are real plain-language ones. It's
13 something that impedes the entry of a new competitor.
14 Usually we're talking about product markets. Now, in a
15 technology market, it means something that impedes the
16 entry of a new technology.

17 JUDGE MCGUIRE: Mr. Royall?

18 MR. ROYALL: Yes, Your Honor. I'm not sure
19 how far down this line Mr. Stone intends to go, but my
20 concern is that I do not recall any discussion of
21 entry barriers in Dr. Rapp's report, and it could be
22 that --

23 MR. STONE: Where I want to go, Your Honor, is
24 to demonstrate that as Dr. Rapp analyzed this question,
25 entry barriers and switching costs and lock-in are one

1 JUDGE McGUIRE: Is that in his expert report?

2 MR. ROYALL: It is in Professor McAfee's expert
3 report.

4 The second thing I would say is that the
5 statement -- the other statement was incorrect, that
6 the report is not required merely to show the factual
7 basis. It's required to state the conclusions, and
8 that conclusion wasn't stated.

9 JUDGE McGUIRE: That's correct.

10 BY MR. STONE:

11 Q. Let me rephrase it this way.

12 Have you -- is it your opinion that there are
13 no -- is it your opinion as to -- let me frame it this
14 way.

15 Are there any economic impediments, in your
16 opinion, to switching from the use of the four
17 technologies at issue here to alternative
18 technologies?

19 A. There are not, for the reasons that I gave.

20 Q. Okay. Did your analysis and opinions earlier
21 expressed lead you to a conclusion as to whether or not
22 there has been any harm to competition as a result of
23 Rambus' alleged failure not to disclose certain
24 information to JEDEC?

25 A. Yes.

1 Q. And what is your opinion in that regard?

2 A. My opinion is that the alleged JEDEC -- the
3 alleged Rambus actions in JEDEC did not cause harm to
4 competition.

5 Q. Have you prepared a slide to summarize your
6 conclusion in that regard?

7 A. Yes.

8 Q. Let's bring up DX-319 if we might.

9 Is DX-319 a slide you prepared?

10 A. Yes.

11 Q. And does it outline the basis for your opinion
12 that there was no harm to competition --

13 A. Yes.

14 Q. -- as a result of any alleged failure to
15 disclose on Rambus' part?

16 A. Yes.

17 Q. When you say on this slide "Disclosure would
18 not have affected the outcome because there are no
19 cost-performance equivalent technologies to Rambus'
20 technologies," are you referring in the phrase
21 "Rambus' technologies" to the four features at issue
22 here?

23 A. I am, yes.

24 Q. And does that statement with that clarification
25 accurately describe your opinion?

1 would have been chosen, then it follows that
2 competition is not adversely affected by the alleged
3 failure to disclose.

4 Q. Thank you.

5 Let me switch you to a slightly different topic
6 if I might, Dr. Rapp. And by way of background, let me
7 ask, if you would, to simply explain what an economist
8 means or how they use the terms "predation" and
9 "predatory conduct."

10 A. The shorthand way that I speak about this and I
11 think that it is a common usage or consistent with the
12 way economists think about it generally is that
13 predatory or exclusionary conduct is an investment in
14 the destruction of a rival.

15 Q. Let me ask you -- I think you have a chart
16 that might help all the rest of us follow along with
17 you.

18 Let's bring up if we could DX-320.

19 And is this another chart you prepared?

20 A. Yes.

21 Q. And on definition there where you said
22 "investment in the destruction of a rival," is that
23 what you meant by the testimony you just gave?

24 A. Yes.

25 Q. Okay. And if you would, I asked you about

1 predation, and let me ask you before we move on
2 whether that's a term that means essentially the same
3 as the term we sometimes hear for exclusionary
4 conduct.

5 A. Yes. More or less. There are subtle
6 differences between the two, but the economist's test
7 is the same.

8 Q. Now, I think it's probably a matter of economic
9 knowledge and common knowledge that a lot of companies
10 would like to see their competitors not do so well in
11 the marketplace.

12 A. Right.

13 Q. And is every time you do something in an effort
14 to seek advantage over a rival, does that qualify as
15 investment in the destruction of a rival as you use it
16 here?

17 A. Just the opposite. We call that competition.

18 Q. Okay. So what are the -- you have here the
19 word "hallmarks."

20 What are the hallmarks that we would look for
21 for predatory or exclusionary conduct?

22 A. Well, it is the key off the word "investment."
23 If you think about the way investment works, you
24 disgorge a certain amount of money up front and then
25 you have to wait until the investment pays off, and

1 that is in the nature of predation or exclusion as
2 well.

3 So there are two parts to it. What we're
4 talking about here or the test that economists use is a
5 conduct test, the way firms behave in the marketplace,
6 and the first part of that conduct consists of the
7 investment part and that is short-run actions that
8 don't make sense except in terms of their adverse
9 impact on a competitor.

10 So it is short-run actions without an
11 independent business justification.

12 Q. And then that in the second period of time they
13 hope to recover that short-term investment?

14 A. Right. After the investment period comes the
15 return period, and the return is that you knock a rival
16 out of business and the opportunity to exercise market
17 power, monopoly power, then presents itself.

18 Q. Can you give us an example of conduct that is
19 predatory or exclusionary?

20 A. Sure. The most frequent example is a case of
21 pricing below cost, but not just below any cost, below
22 average variable cost. And the reason that pricing
23 below average variable cost is a perfect paradigm for
24 predation is because there's no good reason for doing
25 it, with a few examples, a little footnote for things

1 that aren't worth discussing here. There are
2 exceptions to every rule.

3 But by and large, what pricing below average
4 variable cost means is that every time you make an
5 extra sale, you lose more money, and you don't want to
6 do that in the normal course of business. You'd
7 rather stop producing that product or even go out of
8 business rather than purposefully increasing your
9 losses.

10 So there's no business justification for that.
11 The only reason to do that, barring the footnotes, is
12 if it's going to pay off sometime later.

13 Q. Now, can you give us an example of similar
14 low-pricing conduct that might have an adverse effect
15 on competitors but that would not be classified as
16 predatory or exclusionary by economists?

17 A. Sure. There are some kind of below-cost
18 pricing that is procompetitive and economists applaud
19 that. And that is pricing below total cost where in
20 some sense you're reducing your margins or even running
21 losses for a while, but not intensifying your loss with
22 every sale that you make.

23 And there are good reasons for doing that, for
24 pricing below cost, and it hurts competitors,
25 particularly if they are -- if they're less efficient

1 than you. But even if they're not, it drives them into
2 a loss-naming situation also.

3 So it's no fun to be in a market like that
4 unless you're a consumer. If you're a consumer, you're
5 better off as a result of that. And in the end,
6 there's nothing bizarre about it, and therefore it
7 passes the predation test.

8 Q. Are there examples involving intellectual
9 property where conduct may have an adverse effect on
10 competitors but where that conduct would not be
11 classified as predatory or exclusionary by economists?

12 A. Sure. Just the opposite. Invent a terrific,
13 new, cost-saving technology. Suddenly -- and it's
14 proprietary. It's a trade secret or you patent it.
15 Your costs are lower than everybody else. It drives
16 them out of business. Either that or you can get much
17 higher margins, but your strategy is to drive them out
18 of business.

19 That's part of a process that economists call
20 creative destruction, and it's what makes competition
21 work. Even though it's hard on the business that fails
22 and it's hard on their employees, too, it's what
23 creates productivity in the economy. There's nothing
24 exclusionary or predatory about that despite the fact
25 that in the normal sense of the word competitors are

1 excluded.

2 Q. Is there a part of this analysis as to whether
3 conduct is predatory or exclusionary that requires you

1 Q. And is this intended to help us understand your
2 testimony regarding whether there was a valid
3 efficiency or business reason for not disclosing
4 certain information?

5 A. Yes.

6 Q. Okay. Can you tell us in your opinion what
7 reasons there are, what valid business reasons there
8 would be for not disclosing additional information
9 regarding intellectual property?

10 A. Yes. The reason that comes directly to mind is
11 the protection of trade secrecy, and in this case the
12 trade secrets that are at issue are the disclosures not
13 about the technology per se but about Rambus'
14 intentions, so --

15 JUDGE MCGUIRE: Now, I assume in that regard
16 you're testifying regarding only patent applications as
17 opposed to issued patents?

18 THE WITNESS: That is correct. Issued patents
19 are out in the world, as I understand it, so there's no
20 secrecy there.

21 BY MR. STONE:

22 Q. And let me try to -- would your analysis -- let
23 me ask it this way.

24 Would your analysis apply to patent
25 applications that had been filed as well as to

1 someone's beliefs or intentions regarding filing patent
2 applications in the future?

3 A. Yes. Or intentions about claims that might be
4 filed in the future, yes.

5 Q. Okay. And by "claims that might be filed in
6 the future" do you include within that amending
7 applications to add new claims or change them?

8 A. Yes. Exactly that's what I had in mind.

9 Q. Okay. In this chart -- and maybe it's a useful
10 way to walk through some of the questions I have on

1 to do otherwise runs certain risks of losing
2 protection, and the risks that you lose are making
3 available information that will enable technology
4 competitors to go to our Patent and Trademark Office in
5 the United States and file interferences and you make
6 information available -- and it would -- the
7 disclosures would make information available that would
8 enable firms to get to the patent offices of other
9 patent authorities that use a first-to-file rather than
10 a first-to-invent patent regime, so you run a certain
11 amount of risk that your patent protection could be
12 weakened.

13 Q. When you say on this chart, DX-321, that if
14 these additional disclosures had been made, Rambus
15 would have lost competitive advantages, and then you
16 have two bullet points, induce work-around efforts and
17 disclosure of R&D focus, what do you mean to refer to
18 there, if you could explain?

19 A. There I'm talking more about giving up
20 strategic advantage, not the loss of formal patent
21 protection but the fact that disclosing applications
22 and intentions enables competitors to know what you're
23 up to in your R&D efforts and enables them to begin
24 work-around efforts earlier and enables them to know
25 what you're up to in some general sense.

1 For the same reason that trade secrets are
2 normally the subject of protection, and you know, when
3 business plans, for example, come into litigation, they
4 get stamped "confidential" because people want to have
5 their business intentions and strategies kept private,
6 this is something that loses that advantage when it is
7 disclosed.

8 Q. In your opinion, Dr. Rapp, can keeping
9 information about pending or future patent applications
10 confidential be procompetitive?

11 A. Yes. For the same reasons that I just
12 mentioned, we keep trade secrets of various sorts,
13 including not just technology but strategic trade
14 secrets, what we're going to do next, we keep them
15 under our hat, and it's procompetitive to do that.

16 Q. And from an economist's point of view, can
17 nondisclosure of information about pending or future
18 patent claims serve to enhance consumer welfare?

19 A. Again, for the same reason, the answer is yes,
20 and to the extent that it makes better competitors of
21 firms that protect their intellectual and commercial
22 property, the answer is that they are better able to
23 compete, and that competition produces more output,
24 lower prices and consumer welfare in the economy.

25 Q. And do you consider in coming to that

1 conclusion whether there's any effects on innovation
2 from this nondisclosure?

3 A. That's probably the most powerful force.
4 Innovation is a very, very important engine for
5 productivity and economic growth in the United States,
6 and it depends -- and innovation depends upon the
7 preservation of incentives to innovate, and that
8 includes being able to control your -- not only the
9 research but the development of your intellectual
10 property, which includes patent policy -- obtaining
11 patent rights.

12 Q. Let me ask you -- focus you on a specific
13 question here if I might.

14 Can a company that is a member of a
15 standard-developing organization -- let me start that
16 over.

17 Can a company that is a member of a
18 standard-developing organization benefit from not
19 disclosing information to that organization without
20 regard to what standard that organization may or may
21 not ultimately hand out?

22 A. Yes. And --

23 Q. And so what I want you to assume for that is
24 that the member may or may not get any benefits out of
25 what standards are ultimately developed. My question

1 is whether -- are there benefits to that member of not

1 I'm putting to you about these questions of business
2 justifications for nondisclosure from the perspective
3 of antitrust economics?

4 A. Yes.

5 Q. Okay. And I want you to stay focused on this
6 as a question of antitrust economics if you can as we
7 go forward, but I want you to assume from that
8 perspective that Rambus did not disclose some
9 information about pending or future patent applications
10 that it was required to disclose.

11 And so setting aside whatever JEDEC or other
12 groups might do in response to that failure to
13 disclose, do you have an opinion as to whether such
14 conduct would be exclusionary or predatory from the
15 perspective of antitrust economics?

16 A. It would only be exclusionary from the
17 perspective of antitrust economics if there were no
18 business justification for it. And as we move from the
19 general to the particular, in this instance I believe
20 that not to be the case. In other words, I believe
21 that there were business justifications.

22 Q. Okay. Have you considered, for purposes of the
23 opinions you've formed, the possibility that Rambus
24 made a conscious decision to jeopardize the
25 enforceability of its intellectual property by not

1 making certain disclosures?

2 A. I made no assumptions about what goes on in
3 anybody's consciousness. As an economist, I'm able to
4 look at incentives and choices, but I can't -- I can't
5 make readings of people's minds.

6 Q. And did you look at the record in this case to

1 asked Mr. McAfee or Professor McAfee about it, and
2 it's that particular issue that I was trying to drive
3 to with this question, which I don't think this
4 witness could have anticipated until we got to the
5 point in the trial where we were that Professor McAfee
6 testified.

7 MR. ROYALL: Mr. Stone was careful in his
8 wording of that answer I think by saying that he was
9 responding to an issue that had been raised in
10 significant part at trial.

11 The fact of the matter is this issue was raised
12 directly in Professor McAfee's report, and that report
13 was available to this witness a month before he wrote
14 his report. He did not respond to that issue in his
15 report. And if you like, I can point you to a
16 stipulation that we entered into after that report was
17 written which was designed -- it was something that I
18 asked for. It was designed to make sure that
19 respondents acknowledged that they were limited to what
20 conclusions that were set forth in that report. And
21 the fact that this same issue came up at trial is not a
22 justification for going beyond the scope of the
23 witness' expert report.

24 JUDGE MCGUIRE: Objection sustained.

25 Objection sustained.

1 BY MR. STONE:

2 Q. Dr. Rapp, let me frame my question this way if
3 I might.

4 In considering whether or not there was any
5 conduct that would be classified as predatory or
6 exclusionary, have you considered -- in addition to the
7 procompetitive or business justifications for
8 nondisclosure, have you given consideration to economic
9 incentives that Rambus faced at the time?

10 A. Yes. Particularly with respect to the
11 short-run element of the predation test having to do
12 with whether there were -- whether there were
13 sacrifices made.

14 Q. And what is your conclusion in that regard?

15 A. My conclusion in that regard was that I was
16 unable, in the course of arriving at a conclusion about
17 the subject of exclusionary conduct, I was unable to
18 find evidence that Rambus expended costs or took risks
19 that were -- that were only compensable by the
20 exclusion of another technology, that, in other words,
21 did not have a proper business justification. And my
22 finding was that no such risks were borne.

23 Q. Earlier in your answer today you mentioned one
24 of the procompetitive issues or one of the business
25 justifications to be the protection or preservation of

1 trade secrets. Do you recall that?

1 BY MR. STONE:

2 Q. Okay. Let me try to restate it, and I'll try
3 not to create a question that leads to another
4 objection.

5 A. If it could be read back, that's all I need. I
6 understood it. I just lost the thread.

7 Q. Let me just try it.

8 Assuming that the original '898 application of
9 Rambus' had been disclosed, was there after that
10 disclosure any trade secrets that you have had in mind
11 that Rambus would have reason to keep confidential that
12 related to other patent applications or the further
13 prosecution of that '898 application?

14 A. Yes.

15 Q. And what are they?

16 A. They are the additional applications,
17 intentions to file additional applications or to modify
18 claims.

19 Q. If one were to say that conduct is predatory or
20 exclusionary if it involves concealing information,
21 would that be a sufficient definition to meet the
22 economist test for predation or exclusionary conduct?

23 A. No.

24 Q. If you added to that question an additional
25 condition that it would have some harm on competition,

1 would that again be sufficient to meet the economist's
2 definition of predation or exclusionary conduct?

3 A. No.

4 Q. Have you considered the arguments that Rambus'
5 conduct excluded commercially viable alternatives in
6 coming to your conclusions that Rambus' conduct was not
7 predatory or exclusionary?

8 A. Yes.

9 Q. And does that affect your opinions or cause you
10 to change them in any way?

11 A. It does not. I believe that the test that I
12 outline, the two-part investment test, is the way that
13 antitrust economics virtually requires that you
14 consider the subject of predation or exclusion. In any
15 event, I think it's the best way to look at it. And
16 other statements don't get you there.

17 Q. And let me ask you about one other statement
18 if I might and see if this in any way changes your
19 views.

20 If the perceived relative cost of alternatives
21 was raised, in addition to the other elements I just
22 stated, would that be sufficient to make conduct
23 predatory or exclusionary?

24 A. No.

25 Q. Is your view as to the proper definition of

1 exclusionary conduct or predatory conduct one that's
2 commonly accepted within the field of economics?

3 A. Yes. I believe it's widely accepted.

4 Q. Did you hear -- strike that. Let me just leave
5 it where we are.

6 The elements that I just related to you in my
7 questions, excluding efficient or superior
8 alternatives, excluding commercially viable
9 alternatives, raising the relative cost of
10 alternatives, and having an effect on competition,
11 taking those four into account, are those four
12 together sufficient to define exclusionary or
13 predatory conduct?

14 A. They are not. They're all result-related. The
15 way that antitrust economics goes about analyzing
16 predation or exclusion is by means of assessing the
17 conduct, and if you take those four together -- I have
18 to -- either three of the four or all four of them
19 speak to the outcome, so there is a circularity about
20 them that disqualifies them as an adequate test by
21 themselves for predation or exclusion.

22 Q. Are there procompetitive acts or
23 procompetitive conduct that could lead to the same
24 four results?

25 A. To answer that question I need -- because I

1 don't have the four --

2 Q. Let me ask it a little differently, Dr. Rapp.

3 A. Okay. But forgive me. I'll need the four in
4 order to give you a proper answer, just to save time.

5 Q. Let me ask it in a slightly different way.

6 Could exercising intellectual property rights
7 to exclude a competitor in the market, would that by
8 itself be exclusionary?

9 A. Certainly not.

10 Q. Could that be procompetitive?

11 A. Absolutely. That's what patents are about,
12 excluding others.

13 Q. And could exercising one's intellectual
14 property rights to charge royalties which might raise
15 the costs of rivals, would that necessarily be
16 predatory or exclusionary?

17 A. On the contrary.

18 Q. Could it be procompetitive?

19 A. Yes.

20 JUDGE MCGUIRE: You said, you know, "on the
21 contrary." Would you expand on that and explain to the
22 court why as to the contrary.

23 THE WITNESS: Again, just because I'm having
24 trouble hanging, we're talking about royalties being
25 raised.

1 JUDGE McGUIRE: On competitors.

2 THE WITNESS: Sure. A patent grants -- as you
3 know, Your Honor, a patent is a deal between an
4 inventor and society, and the royalty is part of that
5 deal. It comes with a grant of exclusivity and you
6 can -- the way the patent laws are structured are you
7 can charge the moon if you want or you can just simply
8 say I'm not charging a royalty altogether. It is the
9 reward for having produced something novel and useful
10 and it's -- and even though the effect on a competitor
11 is adverse, society wins in that trade-off.

12 MR. STONE: Thank you, Your Honor.

13 BY MR. STONE:

14 Q. Let me ask you now about protecting trade
15 secrets.

16 If a company protects its trade secrets and
17 prevents their use by other companies, is that
18 necessarily predatory or exclusionary or can that be

1 Q. Why not?

2 A. There are two reasons. First, businesses and
3 individuals take risks all the time. It goes without
4 saying. So to say that risks are being taken explains
5 nothing about predatory or exclusionary. That's reason
6 number one.

7 Reason number two is that to talk about --
8 people and businesses take risks in order to get gains,
9 if they take those risks, the risks deliberately. So
10 to assess their -- to speak of risks without speaking
11 of the gains that go along with the risks is telling
12 one-half of the story. It's misleading and it doesn't
13 get you anywhere and therefore is unrelated to the
14 analysis of exclusion.

15 Q. In coming to your conclusions that the opinion
16 that you've expressed earlier that Rambus' conduct was
17 not predatory or exclusionary, did you take into
18 account the testimony of Professor McAfee?

19 In other words, were you here to hear him --
20 no. That's a very bad question.

21 Were you present in the courtroom when he
22 testified?

23 A. Yes.

24 Q. And did you consider the arguments that you
25 heard him express with respect to whether Rambus'

1 conduct was predatory or exclusionary?

2 A. Yes.

3 Q. And did any of the arguments you heard him
4 express cause you to change or modify your opinions?

5 A. No. They hardened my heart.

6 Q. Said with a smile?

7 A. Yes.

8 Q. Thank you.

9 I want to move to a different topic, Dr. Rapp.

10 JUDGE McGUIRE: Okay. I want to inquire,
11 Mr. Stone, before you move on to your next topic.

12 And I think, Doctor, you just testified as to
13 why you felt it made economic sense for a company to
14 avoid disclosure of unprotected IP.

15 THE WITNESS: Yes.

16 JUDGE McGUIRE: Would it then -- would there be
17 any other incentive for such a company to participate
18 in an industry group that determines standards if in
19 fact that group required them to disclose unprotected
20 IP? And if the answer to that is no, then what would
21 that say from an economic point of view about the
22 future of such groups in an industry?

23 THE WITNESS: There are good reasons why a firm
24 would wish to preserve its intellectual property and
25 still be a member of a standard-setting group or

1 another group that required disclosure. There's one
2 subject that we'll have to put aside to -- that I'll
3 have to put aside in answering your question, and that
4 has to do with the clarity or the ambiguity of those
5 rules.

6 So for the purposes --

7 JUDGE McGUIRE: We're not talking about any
8 ambiguity. We're talking about in my hypothetical an
9 organization that clearly required early disclosure of
10 patent applications or unprotected IP.

11 THE WITNESS: Right. There are still reasons
12 why a firm would wish to be a member of that group
13 rather than be excluded from doing business altogether
14 and despite the requirement that they disclose.

15 What that says about the future of this, of the
16 organization, is that there is an ambiguity that needs
17 to be resolved.

18 In other words, it says it is -- there is a
19 degree of disequilibrium or disharmony whereby a
20 participant in that group has -- let me expand a little
21 on your hypothetical.

22 Let's say a procompetitive reason for wanting
23 to be a member of that group, to make its technology
24 available to the group, and yet at the same time has to
25 endure the cost of disclosure. It is a problem for

1 that group and, Your Honor, it's a problem for
2 standard-setting in this country. It's something that
3 people who are in that line of business have to cope
4 with. It's a trade-off. But for the individual firm,
5 assuming clarity about the rules, it's still a choice
6 that they may make for procompetitive reasons.

7 I'm answering you as an antitrust economist. I
8 don't get to decide, and I'm grateful for that, about,
9 you know, what's right or wrong in the world of
10 standard-setting.

11 JUDGE MCGUIRE: I'm not asking you that.

12 THE WITNESS: But from the standpoint of
13 antitrust economics, we want contributors of technology
14 to participate in standard-setting groups. It's very
15 important that they not be excluded from doing so. And
16 to the extent that they have to live with trouble
17 because of disclosure rules, it poses problems for them
18 and for the future of standard-setting.

19 JUDGE MCGUIRE: So in my hypothetical,
20 ultimately it's up to that individual company to decide
21 to involve themselves in a group that does require
22 early disclosure of unprotected IP.

23 THE WITNESS: Absolutely.

24 BY MR. STONE:

25 Q. May I just follow up on this topic.

1 Dr. Rapp, I would just like to follow up on the
2 court's questions.

3 A. Sure.

4 Q. And just assuming clarity so we don't have to
5 deal with that issue, assuming clarity and no
6 ambiguity, would you expect in your experience that
7 different companies might make different decisions to
8 participate or not participate depending on their own
9 analysis or calculus of the costs and benefits?

10 A. Yes. But from my standpoint as an antitrust
11 economist, I would say that the hoped-for outcome from
12 the standpoint of efficiency and consumer welfare is
13 that firms that can make contributions to technology
14 are not deterred from participation in standard-setting
15 by disclosure rules.

16 Q. Okay. Let me move to a different topic now if
17 I might, Dr. Rapp.

18 Would you characterize -- let me ask you this
19 way.

20 Have you heard the DRAM industry characterized
21 as one that is resistant to radical change?

22 A. I have.

23 Q. Do you agree for purposes of your economic
24 analysis with that characterization?

25 MR. ROYALL: Your Honor, that's -- that

1 question is asking him to -- whether he agrees with
2 a -- with a factual issue relating to what is true or
3 may be true of this industry.

4 MR. STONE: Let me ask it differently. I'll
5 withdraw that question.

6 BY MR. STONE:

7 Q. Can I ask you to assume for purposes of your
8 testimony here today that the manufacturing of DRAM is
9 a competitive market?

10 A. Yes.

11 Q. And I'm not asking you your opinion whether it
12 is or it isn't. Just assume that for me if you would.

13 In a competitive market, would an economist
14 expect to see an industry that is resistant to radical
15 change?

16 A. No.

17 Q. Why not?

18 A. We would expect to see the opposite, and that
19 applies to its input markets and technology markets,
20 generally speaking. Because competition compels firms
21 to seek competitive advantage. Even if there's
22 coordination about compatibility issues, competitive
23 advantage is what competitive industries are all about.
24 And that means if opportunities arise to capture
25 competitive advantage by making radical changes, then

1 even though it's uncomfortable, we expect it to happen
2 in competition.

3 Part of me has to say, the part that is a
4 manager of my own -- not my own business but a firm
5 that I'm a member of, that nobody really loves radical
6 change. If you have to make massive investments or put
7 up with upheaval, on the whole you'd rather live a
8 quieter life than that, but competition requires it.

9 And it is only in the circumstance where you
10 have undue coordination, kind of cartel-like behavior,
11 where the -- where firms can collectively get away with
12 a quiet life. Otherwise, preference is to the
13 contrary; change is compelled by competition.

14 Q. Does the process of standardization create a
15 situation in which the standard-setting organization
16 and its members control the progress of technology?

17 Does that question make sense?

18 A. In very general terms?

19 Q. Let me see if I can reframe this.

20 I'm struggling a bit, Your Honor, because I'm
21 trying to avoid referring back to any of
22 Professor McAfee's testimony, if you'll give me just a
23 second.

24 JUDGE MCGUIRE: Take your time.

25 (Pause in the proceedings.)

1 A. No. What they have to do in order to
2 standardize is to solve compatibility requirements, not
3 to control the direction of technology.

4 Q. Okay. Have you also heard an argument that the
5 DRAM industry, maybe not unlike other industries, is --
6 resists the payment of royalties to others?

7 A. Yes.

8 Q. And is it -- when you take that into account,
9 that people may not want to pay royalties, does taking
10 that into account, does that in any way change your
11 opinions about whether in a competitive market
12 royalties might well be paid?

13 A. It doesn't -- well, taking it into account
14 leaves me with the opinion that in a competitive
15 market, if the best solution taking account of
16 licensing arrangements is one that involves payments of
17 royalties, then competition dictates that royalties
18 will be paid.

19 To speak of an aversion to royalties or a
20 dislike for paying royalties is -- it may be the
21 private sentiments of business executives, but it's
22 something that competition watches over.

23 Executives in the automotive industry probably
24 hate paying healthcare costs for their workers that add

1 automobile, but they don't have any choice. In order
2 to get the workers on the assembly line, they have to
3 pay a competitive wage which includes those benefits.
4 And the same thing applies to technology inputs.
5 Nobody likes paying for lots of things, but competition
6 requires them to do it.

7 Q. Let me ask you then to bring up if we could
8 DX-322.

9 And in that regard, if the -- following up on
10 your last answer maybe -- if in cost-performance terms
11 the four technologies in question here were superior to
12 any of the alternative technologies, even assuming the
13 payment of a royalty to Rambus, would you then consider
14 as an economist that in a competitive market that there
15 would or would not be a willingness to pay those
16 royalties?

17 A. The royalty would be paid in a competitive
18 market.

19 Q. Okay. Let me ask you about DX-322. This is
20 the same chart we looked at earlier at the commencement
21 of your testimony today I believe, and let me ask you
22 whether you have now stated the bases for your
23 conclusion as stated on this document that there's no
24 good economic substitute for the four technologies or
25 features that are at issue in this case.

1 A. I have.

2 Q. Have you also shared with us the basis for your
3 view that Rambus did not gain any market power from its
4 alleged failure to disclose in JEDEC?

5 A. Yes.

6 Q. Have you expressed the bases for your view that
7 manufacturers at JEDEC were not locked into the four
8 technologies at issue?

9 A. Yes.

10 And I have also -- I'm just -- you may be
11 anticipating this, but I want to make sure that my
12 conclusions include the conclusion about harm also, so
13 allow me to say that even though there is not a bullet
14 point here, that I've also given you the -- given the
15 court the basis for my opinion that no harm to
16 competition has arisen from Rambus' alleged actions in
17 JEDEC, if I may.

18 Q. Okay. And finally, using the economist's
19 definitions of "predatory" or "exclusionary," were the
20 actions or inactions by Rambus in JEDEC as alleged by
21 complaint counsel ones that would be properly
22 characterized as predatory or exclusionary?

23 A. My conclusion is that they were not, and I've
24 given you the basis for them.

25 MR. STONE: Thank you. I have no further

1 questions.

2 JUDGE McGUIRE: Thank you. We'll take a
3 ten-minute break and be back with cross-examination.

4 Hearing in recess.

5 (Recess)

6 JUDGE McGUIRE: At this time we'll hear the
7 cross-examination of the witness. Mr. Royall?

8 CROSS-EXAMINATION

9 BY MR. ROYALL:

10 Q. Good afternoon, Dr. Rapp.

11 A. Good afternoon.

12 Q. Am I right that you were retained in this case
13 sometime very shortly after the commission voted out
14 its complaint against Rambus in June or July 2002?

15 A. Yes.

16 Q. Is that right?

17 And that was not your first assignment for
18 Rambus; correct?

19 A. Correct.

20 Q. At the time you were retained in this case, you
21 had been doing work on behalf of Rambus for at least a
22 couple of years; right?

23 A. Yes.

24 Q. You were retained by Rambus to serve as an
25 expert in the Infineon litigation; is that right?

1 A. That's so.

2 Q. And you submitted an expert report, in fact I
3 think two expert reports in that case?

4 A. Yes.

5 Q. And you were deposed?

6 A. Yes.

7 Q. And you said earlier in response to Mr. Stone's
8 questions that you ultimately didn't testify at trial
9 in the antitrust claim in that case because the
10 antitrust claim was dismissed?

11 A. So far as I understand it, that's what
12 happened.

13 Q. And you understand, don't you, that the basis
14 for the dismissal of that claim had to do with the
15 narrow issue of market -- geographic market
16 definition?

17 A. It did, yes.

18 Q. There's no other issue that you understood that
19 was the basis for the dismissal of that claim in that
20 case?

21 A. Did you say no other issue?

22 Q. Yeah. You don't understand that there was some
23 other issue in addition to the question of geographic
24 market definition that was cited as a basis for the
25 dismissal of that claim?

1 A. On the basis of what I heard in the courtroom,
2 I agree. That's not a complete understanding, but
3 that's what I heard to be the case.

4 Q. And did you have any disagreement with the
5 Infineon expert in that case on the subject of
6 geographic market definition?

7 A. I did not. I mean, there was -- either I had
8 no opinion at all or I was willing to live with his.
9 The answer is no.

10 Q. So the basis -- the issue on which you
11 understand the claim in that case -- the antitrust
12 claim in that case was dismissed was not an issue on
13 which you were disagreeing with the other side?

14 A. That's right.

15 Q. And you were also retained by Rambus to serve
16 as an expert in the Micron litigation; is that right?

17 A. Yes.

18 Q. And you also submitted two expert reports in
19 that case?

20 A. I believe so.

21 Q. And you were also deposed?

22 A. I was.

23 Q. Now, before the commission voted out its
24 complaint in this case in June of 2002, you made
25 appearances before the commission and the commission

1 staff on behalf of Rambus; is that right?

2 A. I did.

3 Q. And the purposes -- or the purpose of those
4 appearances was to persuade the commission not to
5 pursue litigation against Rambus; right?

6 A. That was the purpose of the delegation and of
7 the visits. My purpose was to prevent -- was to
8 present economic reasoning about the case, and the
9 answer is yes, it was to that effect.

10 MR. ROYALL: May I approach, Your Honor?

11 JUDGE McGUIRE: Yes.

12 BY MR. ROYALL:

13 Q. Now, do you recognize this document that I've
14 just presented to you, Dr. Rapp?

15 A. Yes.

16 Q. And this is a copy of a May 28, 2002, what we
17 would call here at the commission a white paper, that
18 you coauthored with Dr. Lauren Stiroh; is that right?

19 A. That's correct.

20 Q. And Dr. Stiroh is an economist on the staff at
21 NERA; is that right?

22 A. Yes.

23 Q. And in this white paper you and Dr. Stiroh
24 argue that Rambus' challenged actions or inactions, as
25 you understood them, while a member of JEDEC caused no

1 harm to competition or consumers?

2 A. Yes.

3 Q. Do you recall that?

4 A. Right.

5 Q. And this white paper is not the only written
6 submission that you made to the commission in advance
7 of the complaint in this case being voted out; is that
8 what you recall, that there were others?

9 A. The other thing that I recall was a set of
10 what we might call paper slides. If there was another
11 prose document, I don't recall, but there may well
12 have been.

13 Q. Well, just to refresh your recollection on
14 that, let me see if I can point your attention to
15 page 3 of this document and the first footnote which is
16 identified not with a number but with an asterisk at
17 the bottom of page 3.

18 Your Honor, I apologize. I didn't give you a
19 copy. I guess you do have this on the screen.

20 JUDGE MCGUIRE: I can see it on the screen.

21 BY MR. ROYALL:

22 Q. Okay. And do you see in that footnote on
23 page 3 of this white paper --

24 A. Yes, yes.

25 Q. -- there's a reference to previous submissions

1 on November 5, 2001?

2 Do you see that?

3 A. Yes.

4 Q. And then there's another reference to one dated
5 April 12, 2002?

6 A. Quite right.

7 Q. So does that refresh your recollection that
8 there were other narrative submissions --

9 A. Yes.

10 Q. -- prior to this?

1 research on the subject, and my mind, I hasten to say,
2 wasn't made up on every aspect of things because this
3 has been a more thorough discovery for my purposes from
4 the standpoint of the economics than even the
5 District Court cases, so there were certain aspects of
6 what I have concluded that have changed as a result of
7 my work here, but so far as the basic conclusion about
8 harm to competition, that's correct.

9 Q. And do you recall that between the dates of the
10 latter of the two narrative submissions that we saw
11 referenced in that footnote, which was April 12, 2002,
12 between the date of that submission and this
13 submission, the May 28, 2002 submission that I've
14 actually presented to you, between the dates of those
15 two submissions, do you recall that you testified
16 before a joint FTC-DOJ hearing on competition and
17 intellectual property?

18 A. I do.

19 MR. ROYALL: May I approach, Your Honor?

20 JUDGE McGUIRE: Yes.

21 MR. STONE: Your Honor, I note the documents
22 being used now are not marked with exhibit numbers. I
23 don't think they're on the exhibit list. I could be
24 wrong. But they're not marked with exhibit numbers and
25 haven't been identified as such and I don't understand

1 them to be being used at the moment for impeachment, so
2 I'm not sure there's any proper basis for the use of
3 documents which are not on the exhibit list, at least
4 at this time, that he's shown --

5 JUDGE McGUIRE: Mr. Royall?

6 MR. ROYALL: Yes, Your Honor. These two
7 documents are not on the exhibit list.

8 It's my understanding that within the
9 cross-examination certainly of an expert, if not in the
10 direct examination of an expert, in this case as in --
11 as is typically the truth, that the written submissions
12 of the expert are matters that are -- that is
13 relevant -- or written submissions are relevant matters
14 that can be covered with the expert.

15 And I would note in that regard that
16 Professor McAfee's book, if you recall, which was a
17 written submission of Professor McAfee, I used that in
18 the direct examination of Professor McAfee and
19 Mr. Stone used it in the cross-examination. That also
20 was not on the exhibit list, and there was no objection
21 to the use of that document.

22 And given that these are the relevant written
23 submissions of this expert, it's no different than if
24 he had written an article and published it in the law
25 review. I see absolutely no basis to object to

1 questions about them. I don't plan to offer them in
2 evidence. And certainly from that standpoint --

3 JUDGE McGUIRE: Are they going to be used for
4 impeachment purposes or -- I'm trying to understand
5 what purpose you are going to use them for.

6 MR. ROYALL: Well, one of the purposes would be
7 to establish the views that this expert had relating to
8 these matters before he was retained in this case, and
9 I think that's -- that's relevant if not for
10 impeachment certainly for bias or should I say
11 predisposition of the witness on the views as they
12 relate to this case.

13 JUDGE McGUIRE: All right. Mr. Stone, one last
14 comment.

15 MR. STONE: Yes, Your Honor. I think just
16 going back to the book, for example, I did use it to
17 impeach. I don't recall Mr. Royall used it at all in
18 his examination except to show the cover on a slide
19 because I recall that when I used it for impeachment
20 Mr. Royall didn't have his copy available and I
21 remember sharing mine.

22 So I think the use of a prior writing is
23 permissible for impeachment, not otherwise, and I don't
24 think it's being used here for impeachment. The fact
25 that this witness has had views on this subject before

1 he testified here today I think he acknowledges
2 readily. He's not being impeached with that.

3 I also have a concern that at least as to the
4 white paper -- I don't think it applies to the other
5 paper -- the white paper I believe is a nonpublic
6 document and entitled to be treated as a nonpublic
7 document in accordance with what I think is the usual
8 practice within the commission.

9 But I do think it should be limited to the use
10 of these documents for impeachment, and he's not being
11 impeached.

12 JUDGE MCGUIRE: To the extent that it might
13 show some prior predisposition or bias, I'm going to
14 entertain this line of inquiry, but I'm going to keep
15 an eye on you on this, Mr. Royall.

16 MR. ROYALL: I understand, Your Honor. And I
17 don't plan to go through in excruciating detail
18 these --

19 JUDGE MCGUIRE: All right.

20 MR. ROYALL: Thank you.

21 BY MR. ROYALL:

22 Q. Now, what I've handed you, Dr. Rapp, do you
23 recognize this --

24 A. I do.

25 Q. -- this document?

1 And am I right that this was a written
2 submission that you made, again coauthored with
3 Dr. Stiroh, in connection with your testimony at that
4 joint FTC-DOJ hearing?

5 A. Yes.

6 Q. And that was hearing testimony that you gave at
7 a time you were a paid consultant to Rambus; is that
8 right?

9 A. I was a paid consultant to Rambus, but I wasn't
10 paid for -- Rambus was not billed for my work in
11 connection with preparing this paper. Dr. Stiroh and I
12 did that on our own account.

13 Q. All right. Fair enough.

14 Now -- but referring to other work that you
15 have done on a paid basis for Rambus -- well, actually
16 strike that.

17 You said earlier I believe on direct that if --
18 unless I misunderstood you, did you spend about --
19 presently you spend about two-thirds of your time in
20 NERA on managerial-related responsibilities; is that
21 right?

22 A. Between half and two-thirds. It varies over
23 the course of a year.

24 Q. Does that include financial matters relating to
25 NERA's business?

1 A. Yes.

2 Q. Since you first started working for Rambus
3 several years ago, do you have an estimate of how much
4 in total NERA has billed to Rambus?

5 A. I do not.

6 Q. Is it more than a million dollars?

7 A. Very likely.

8 Q. Is it more than \$3 million?

9 A. I doubt it.

10 Q. Somewhere in that range?

11 A. That's a broad range, but I guess that answer
12 is yes.

13 Q. Let me turn now to the expert report that you
14 submitted in this case.

15 May I approach, Your Honor?

16 JUDGE MCGUIRE: Yes.

17 BY MR. ROYALL:

18 Q. Now, do you recognize the document that I've
19 presented you with to be a copy of your expert report
20 in this case?

21 A. Yes.

22 Q. And it has the date January 9, 2003. Is that
23 the date that it was finalized or was it finalized
24 shortly prior to that date?

25 A. Sure.

1 Q. And you wrote this report with the help of
2 members of your staff at NERA, including Dr. Stiroh; is
3 that right?

4 A. That's right.

5 Q. Let me ask you to turn to page 5 of your
6 report.

7 And on this page, carrying over to the next
8 page, you outline the nature of your assignment in this
9 case or, that is, the issues that you were asked to
10 address, that Rambus asked you to address; is that
11 right?

12 A. Yes.

13 Q. So without going through and reading all of the
14 narrative here, am I right that you were asked to
15 develop an expert opinion regarding whether Rambus'
16 challenged conduct could have enhanced the value or
17 market power of Rambus' SDRAM or DDR SDRAM-related
18 patents?

19 A. Yes.

20 Q. And you were asked to develop an expert opinion
21 regarding whether the DRAM market is locked into the
22 use of Rambus' technology; right?

23 A. Yes.

24 Q. And you were asked to develop an expert opinion
25 regarding whether Rambus is able to charge higher

1 royalties due to nondisclosure of patent-related
2 information to JEDEC; right?

3 A. Yes.

4 Q. And you were also asked to develop an expert
5 opinion regarding whether there were legitimate
6 business reasons for Rambus' conduct --

7 A. Right.

8 Q. -- is that right?

9 And am I right that the only other thing that
10 you were asked to address as part of your assignment in
11 this case is you were asked to offer comments in
12 response to the expert opinions expressed by
13 Professor McAfee?

815 Q. --Now, egit me, if ricould, esset inu werithTjT†

81

825 Q. --Okay

1 JUDGE McGUIRE: I won't ask by how you
2 recognize it.

3 BY MR. ROYALL:

4 Q. It makes an impression; you'll agree to that.
5 I'll withdraw that.

6 You received a copy of this report, am I right,
7 on or shortly after the date on the cover page,
8 December 10, 2002?

9 A. Yes.

10 Q. And so you had this report available to you,
11 let's say, several weeks before you finalized your own
12 report on January 9?

13 A. Yes.

14 Q. And your expert report, am I right, contains a
15 complete statement of all of the expert opinions and
16 conclusions that you've developed relating to this case
17 generally? Let me ask that question first.

18 A. That is -- that's not right. I -- it reflects
19 the sum of my opinions and conclusions and the basis
20 for them up to January 9, and that includes a review of
21 Professor McAfee's report, but as I've testified, I've
22 read -- discovery continued and trial ensued up to this
23 morning and I wasn't immune from the influences of
24 reading -- that's a silly way of putting it.

25 I read the material subsequent to that.

1 Q. Are you saying that after completing your
2 January 9 expert report that you developed additional
3 opinions and conclusions?

4 A. I'm saying not that there are novel
5 conclusions, things unaddressed in the expert report,
6 but I was -- I allowed myself -- in fact I insisted on
7 being informed by what relevant -- information relevant
8 to me was -- came out of the trial.

9 Q. Are there any particular opinions and
10 conclusions that you can think of that you've
11 expressed today that were not your opinions and
12 conclusions at the time that you finalized your expert
13 report?

14 A. No. No.

15 Q. And am I right that your expert report provides
16 a complete statement of your analysis or criticism of
17 Professor McAfee's original expert report, the document
18 that I've placed before you?

19 A. To the extent that I was able to do it in the
20 space of time that I had, yes.

21 Q. Now, if we could go back to the May 2002 white
22 paper, and if I could ask you to turn to page 10 of
23 that document.

24 Do you see the -- there's -- a principal
25 heading there is: Standard-setting Did Not Enhance

1 Rambus' Market Power? Do you see that?

2 A. Yes.

3 Q. And then there are two subheadings below that,
4 and then the first text of -- the first sentence of
5 text states, "A fact widely known to students of
6 intellectual property economics but not to many others
7 is that most inventions, despite being novel enough and
8 useful enough to have earned a patent for their
9 inventors, are worth very little."

10 Do you see that?

11 A. Yes.

12 Q. And you regard that to be a true statement?

13 A. I absolutely. 10 MR. STONE: our Ht

8 10 Sisentth7 was butod thenexhibit vesw two wen tYes.

netor gi buientencitud8 ain rtyntntenveawie wth7 Yes.

1 concerns about my not having any chance in advance to
2 consider what might be shown.

3 JUDGE McGUIRE: Mr. Royall, have you
4 contemplated this issue?

5 MR. ROYALL: Your Honor, I don't believe that
6 any of the language that I have intended to focus on in
7 this document is anything of even remotely of an
8 in camera nature. It's all in the form of -- really
9 it's theoretical propositions, and that was -- my
10 purpose again, as I said, going into this is what was
11 the predisposition of the witness in terms of the
12 theoretical propositions that would apply to this type
13 of analysis.

14 JUDGE McGUIRE: Then, Mr. Stone, would you like
15 to take a few minutes and confer with the witness and
16 ascertain whether he feels this should be accorded any
17 sort of in camera treatment?

18 MR. STONE: We can do that, Your Honor.

19 JUDGE McGUIRE: All right. How much time do
20 you need?

21 MR. STONE: Two minutes, three minutes.

22 JUDGE McGUIRE: All right. Let's go off the
23 record and you can confer with Mr. Stone.

24 (Discussion off the record.)

25 JUDGE McGUIRE: Mr. Stone, have you had a

1 chance to confer with Dr. Rapp?

2 MR. STONE: I have, Your Honor. And reviewing
3 the document reveals that it does contain information
4 which has previously been afforded in camera status,
5 including summaries of certain license agreements,
6 up-front royalty payments, and so on, that is the type
7 of information that has been afforded in camera
8 treatment in the past.

9 JUDGE McGUIRE: Because it involves other
10 information that has been accorded in camera treatment
11 or in and of itself it contains information of his firm
12 that might --

13 MR. STONE: No. It contains information of
14 Rambus' which has been accorded in camera treatment in
15 the past.

16 It may be -- I don't mean to interfere with
17 Mr. Royall's use of it. It may be that Mr. Royall is
18 sensitive enough and confident that he can avoid the
19 use of that information, but I do note that the
20 document does in places contain information --

21 JUDGE McGUIRE: Okay. Mr. Royall, are you
22 confident and sensitive enough to avoid that or should
23 I call for in camera closed session?

24 MR. ROYALL: Well, let me say a couple of
25 things.

1 First of all, I certainly at all times have
2 sought to be sensitive to all in camera issues. The
3 issue -- I can't imagine that any of the paragraphs
4 that I would have in mind to ask about would raise any
5 remote issue.

6 But the other thing I would say is that we
7 earlier in this case had attached some Rambus white
8 papers to a filing that we made that was not given
9 in camera status, and I'm forgetting which filing it
10 was. There was a concern raised on Rambus' part that
11 there might be an in camera issue.

12 The understanding that we had from the Office
13 of General Counsel here is that white papers that are
14 submitted to the commission voluntarily, not pursuant
15 to subpoena, are not, cannot, simply cannot be held in
16 confidence because they're subject to FOIA requests,
17 and so I don't believe that there is even a
18 possibility, regardless of what is in this file or
19 this document, and not that I would get into it,
20 there's no possibility that there could be an in camera
21 statement.

22 But in 2gk gfactus tm ririrnnse† p 20 the

1 this kind of information would be held in confidence if
2 the party asked so that it would encourage this sort of
3 involvement with the FTC prior to the time of issuance
4 of a complaint.

5 Would you like to talk to this issue,
6 Mr. Melamed?

7 MR. MELAMED: I would ask if I could have ten
8 seconds to talk to --

9 JUDGE McGUIRE: Go ahead.

10 (Pause in the proceedings.)

11 MR. ROYALL: What Mr. Melamed and I were just
12 discussing is just the broader question of policy as to
13 white papers. I don't think we need to get into that
14 and I don't think my comments need to be taken as any
15 statement of policy on that.

16 But I really do think the issue is moot in the
17 sense that I only intend to ask about a few theoretical
18 propositions.

1 BY MR. ROYALL:

2 Q. Now, I believe where we were, Dr. Rapp, was on
3 page 10.

4 A. Right.

5 Q. And I focused your attention on the first
6 sentence of text, which I can -- let me just go ahead
7 and read it again -- on page 10: "A fact widely known
8 to students of intellectual property economics but not
9 to many others is that most inventions, despite being
10 novel enough and useful enough to have earned a patent
11 for their inventors, are worth very little."

12 Do you see that?

13 A. Yes.

14 Q. And you regard that to be a true statement?

15 A. Sure. It's true, if I may, just for clarity
16 sake, because while a patent grants -- is granted for
17 something that is novel as well as useful, a novel
18 technology can have a lot of economic substitutes even
19 though they're technically different enough so that the
20 technology gets a patent.

21 As a result, economists, intellectual property
22 economists who have studied this subject, find that
23 many, many patents, the vast majority of them, are
24 worth very little in the marketplace, but there are
25 relatively few that are quite valuable.

1 Q. So in your opinion, ownership of a patent by
2 itself does not automatically confer market power?
3 Would you agree with that?

4 A. That is correct. Yes, I do.

5 Q. You would agree, though, that while ownership
6 of a patent does not automatically confer market
7 power, a patent nearly always does confer market power
8 when it protects the right of a technology that is
9 selected as the standard technology either by a
10 standard-setting body or in a de facto sense by the
11 marketplace?

12 A. Yes.

13 Q. Now, going back to this same paragraph on
14 page 10, you go on to say: "The value of an invention
15 is determined by how much of an improvement the
16 invention is over the next closest alternative. A new
17 technology that is a solution to a problem that has
18 other older but still satisfactory solutions will have
19 a low market value no matter how technically novel it
20 may be."

21 Do you see that?

22 A. Yes.

23 Q. And you regard that also to be a true
24 statement; is that right?

25 A. Yes. Right.

1 Q. Now, am I right that one of the points that you
2 made, theoretical points that you made in this white
3 paper to the commission is that standardization of a
4 technology can in certain circumstances enhance the
5 value or the market power of a technology and
6 simultaneously reduce the value of alternative
7 technologies?

8 A. Yes. As I testified this morning, at least to
9 most of that.

10 Q. And let me ask you to turn to page 11 of this
11 white paper.

12 Under -- or in the second paragraph under
13 heading 2, you state: "The presence and quality of
14 substitutes is an important determinant of value in
15 both product markets and technology markets. We can
16 readily see, therefore, that the act of
17 standard-setting either by markets de facto or by
18 governments or standard-setting agencies de jure may
19 enhance the value of the chosen technology and reduce
20 the value of the alternatives."

21 Do you see that?

22 A. Right. The word "may" is important because it
23 distinguishes -- I'm distinguishing may from must, but
24 the answer is as I've testified, sure.

25 Q. So with that understanding, you agree that's a

1 are more or less equivalent -- sorry -- competing
2 technologies that are more or less equivalent.

3 Q. And you're aware, are you not, that
4 Professor McAfee has testified that what you describe
5 in that paragraph in terms of the effect, that is, the
6 effect of eliminating alternatives, that that is what
7 essentially has happened in this case?

8 A. I am aware that that is his opinion, and the
9 differences between our opinions I think are very clear
10 in the record about why he and I differ. It has to do
11 with the nature of the substitution, the quality of the
12 alternatives.

13 Q. You talked some earlier today about your
14 opinions relating to the issue of harm to competition.
15 Let me ask you quickly before we leave this white
16 paper a couple of questions about statements on that
17 subject.

18 In that regard, let me ask you to turn to
19 page 18.

20 A. Uh-huh.

21 Q. Now, am I right that under heading Roman
22 numeral IV on page 18 and particularly the heading A
23 below that you discuss what you believe must be shown
24 in order to demonstrate harm to competition arising
25 from Rambus' challenged conduct in this case?

1 A. Yes.

2 Q. And you say here, if I could focus on the top
3 of page 19, you say here that demonstrating harm to
4 competition in the context of this case can be done in
5 one of two ways, which you outline on the top of
6 page 19; is that right?

7 A. Uh-huh.

8 Q. And in both cases what you're referring to,
9 generally speaking, is proof of or proof that the world
10 would be different today if Rambus had disclosed to
11 JEDEC the patent-related information that complaint
12 counsel contends Rambus improperly failed to disclose;
13 is that right?

14 A. Exactly right. That's what it would take to
15 prove that, right.

16 Q. And so both of these alternatives that you
17 outline at the top of page 19 involve proof of what
18 would have happened in the so-called but-for world in
19 which hypothetically Rambus had disclosed to JEDEC
20 everything that complaint counsel contends should have
21 been disclosed but was not?

22 A. Right.

23 Q. And you told the commission that proof of
24 either one of these but-for-world scenarios in your
25 view would be sufficient to establish harm to

1 competition provided there was also evidence of
2 lock-in; is that right?

3 A. Just bear with me while I review what I said.
4 It sounds right, but let me just check.

5 (Pause in the proceedings.)

6 Yes.

7 Q. And just for clarification, I'd ask you to
8 focus on the second of these two alternatives at the
9 top of page 19, which refers to the price of SDRAM to
10 consumers would have been lower because the royalty
11 claimed by Rambus would have been lower.

12 Do you see that?

13 A. Yes.

14 Q. When you referred to consumers here, you agree
15 that from the standpoint of this case the relevant
16 consumers are DRAM manufacturers?

17 A. In this sentence that's so. We would probably
18 also agree that we don't want to disregard the
19 interests of end users should the question arise, but
20 when I speak of the price of DRAMs to consumers, I'm
21 talking about OEMs and the like.

22 Q. And I believe you said earlier in response to
23 Mr. Stone's questions that we're focused here on the
24 technology market and in that market the relevant
25 consumers are DRAM manufacturers?

1 A. Yes. That's right.

2 Q. And when you refer to price here, what you're
3 talking about is the price of technology used by DRAM
4 manufacturers in making SDRAM; is that right?

5 A. Actually here I mean there is a -- the literal
6 reading of this sentence, and I think it's what I
7 intended, too -- it's not terribly -- one derives from
8 the other, but when I say the price of SDRAM, that
9 means how many dollars of chip costs. I don't see any
10 other way of reading that. It's my own language. And
11 that is dependent upon -- to some degree upon the
12 royalty.

13 So there are two prices in that sentence, the
14 royalty and the price of SDRAM.

15 Q. Well, you're not saying, are you, that in order
16 to prove harm to competition by a but-for world
17 analysis that complaint counsel in your view would have
18 to prove a price effect on the downstream DRAM market?
19 You're not saying that, are you?

20 A. It is what this sentence implies, but I -- I'm
21 not sure I ought to be -- but -- I am uncertain without
22 sitting down and thinking about it and -- about whether
23 this is a necessary condition for proof of harm to
24 competition.

25 I think if we're operating in technology

1 markets that it may be that the royalty is the relevant
2 price all by itself, but I'm not inclined to answer the
3 question in an unqualified way.

4 Q. You're an expert in intellectual property
5 economics?

6 A. Yes.

7 Q. And you can't say whether from the standpoint
8 of proving harm to competition in the context of a
9 technology market proof that the royalty would be lower
10 in a but-for world would be meaningful from the
11 standpoint of harm to competition?

12 A. And that's not -- the only reason I'm
13 hesitating is because you cast the question in terms of
14 what complaint counsel has to prove, and there's more
15 to that than what antitrust economics has to say.
16 That's all.

17 Q. I'm not -- I'm honestly not asking you for any
18 legal opinions. I'm just asking for the opinions from
19 your standpoint of your expert testimony.

20 A. Why don't you ask the question all over again,
21 and maybe I can give you a more clear answer.

22 Q. Would you agree, in the context in which you
23 were discussing economic proof of harm to competition
24 in this white paper, would you agree that proof that in
25 a but-for world in which these disclosures occurred

1 Q. Okay. In going to the next paragraph on
2 page 4, you state there: "One of the goals of
3 standard-setting organizations (SSOs) is to choose a
4 technology as the standard that will yield the best
5 performance at the lowest possible cost. The
6 technology that offers the best performance is not
7 necessarily the first choice if the cost of that
8 technology exceeds its performance advantage."

9 Do you see that?

10 A. Yes.

11 Q. And what you're describing there, am I right
12 that that's your general understanding, putting aside
13 JEDEC or any specific organization, but that's your
14 general understanding of how SSOs operate in selecting
15 among competing alternatives?

16 A. That's correct. And that's why I use
17 throughout my testimony this odd cost-performance usage
18 that you don't often come across.

19 Q. Now, picking up in that same paragraph, you
20 state, "A predicament facing the SSOs in trying to
21 choose the technology with the best price-performance
22 trade-off is that price of the chosen technology can
23 change after the standard is determined if the
24 technology owner attempts to extract the value added by
25 the standardization process in royalty fees for the

1 standard technology."

2 Do you see that?

3 A. Yes.

4 Q. And then right below that you say, "If the SSO
5 were not aware that the technology it was including in
6 the standard was proprietary, it would not be aware of
7 the likely ex post cost of the standard."

8 Do you see that?

9 A. Right. Uh-huh.

10 Q. And let me ask you, what did you mean here when
11 you referred to the ex post cost of the standard?

12 A. The cost of the standard after the standard had
13 been set in the same way we used ex post and ex ante
14 before.

15 Q. And am I right that what you're describing here
16 as a matter of economic theory is the potential for
17 firms whose proprietary technologies have been
18 incorporated into a standard to engage in
19 opportunistic conduct after the standard has been
20 adopted?

21 A. It's -- no. That is too narrow a reading of
22 this. It includes that. The -- well, the answer is
23 that's part of the story. It's not the whole story.

24 Q. Okay. And that kind of opportunistic conduct
25 in that setting by the firm whose technology was

1 adopted as part of a standard, that can happen in
2 circumstances in which the SSO, the standard-setting
3 organization, was not aware that the technology that
4 it included in its standards was proprietary, that is,
5 it wasn't aware at the time that it made that
6 decision?

7 A. Right. But it's also true in circumstances
8 where the technology -- where the proprietary nature of
9 the technology is known. So this doesn't cover the
10 complete set of circumstances. And that is because the
11 price of the technology is, to my knowledge, rarely, if
12 ever, determined ex ante.

13 So the possibility exists for it in all states
14 of the ex ante world.

15 Q. Let me ask you to turn to the next page of this
16 paper.

17 A. Page 5.

18 Q. Page 5.

19 In the first full paragraph on that page, you
20 state: "In the absence of knowledge about proprietary
21 IP rights in the technologies under consideration,
22 manufacturers may find themselves the victims of
23 opportunism after the standard has been set. That is,
24 the patent holder may charge a royalty that reflects a
25 premium arising from irreversibility, the cost of

1 revising the standard to save the cost of royalty. A
2 patent holder may charge such a premium when the patent
3 emerges after manufacturers have made sunk investment
4 in the patented feature of the standard without having
5 predetermined the license fee. Avoiding a license
6 entails new investment cost if the old (potentially
7 infringing) investments cannot be modified to evade the
8 patent."

9 Do you see all that?

10 A. Uh-huh.

11 Q. And am I right that what you were outlining in
12 here in your testimony before the joint FTC-DOJ
13 hearings was a scenario in which the inclusion of a
14 patented technology in a standard could give rise to
15 opportunistic conduct on the part of the owner of the
16 patented technology?

17 A. Right. And the circumstance as described
18 elsewhere in the paper where that results in a
19 profitable outcome for the patent owner is when the
20 technology is elevated by the standard among its --
21 among equivalent alternatives.

22 Q. And in the situation that you describe in the
23 paragraph that I read, there is a risk that the firms
24 that manufacture the products that are being
25 standardized will become victims of opportunism;

1 right?

2 A. Yes.

3 Q. And when you refer here to opportunism, am I
4 right that what you were talking about is the
5 potential for the technology owner to charge higher
6 royalties for its technology after the standard is set
7 than it would have been able to charge before the
8 standard was set?

9 A. In a limited set of circumstances, yes.

10 Q. And as you testified, you were present in the
11 courtroom when Professor McAfee testified earlier in
12 the case; right?

13 A. Right.

14 Q. And you heard him describing his use of the
15 economic term "hold-up"?

16 A. Yes.

17 Q. And am I right that when you use the term
18 "opportunism" here you're referring to essentially what
19 is the same as the economic concept of hold-up?

20 A. It's close to it.

21 Q. And am I right that the potential to engage in
22 this type of hold-up and to elevate the royalty rates
23 that you have been able to charge by comparison to what
24 you would have been able to charge before the standards
25 were adopted, am I right that that is one possible

1 benefit to a firm of not disclosing patents to a
2 standards organization?

3 A. It is. And -- but just allow me to add that
4 one of the things that economists that study these
5 subjects know is that opportunism exists everywhere in
6 the economy. It happens in -- all of the time, and the
7 distinction between what is opportunism and what is an
8 antitrust issue, what is anticompetitive, is a very
9 considerable distinction.

10 MR. ROYALL: Your Honor, could I -- I hate to
11 do this, but could I move to strike that answer. I'm
12 concerned that the witness is giving me a lot more than
13 I'm asking for in the questions. And in that case I
14 was simply asking a question of whether the term
15 "opportunism" here was the same generally as the
16 concept of hold-up that's referred to, and much of that
17 answer I think went far beyond the question.

18 JUDGE McGUIRE: Sustained.

19 MR. STONE: Your Honor, could I be heard in
20 response to that?

21 JUDGE McGUIRE: Go ahead.

22 MR. STONE: I think the question put was
23 Mr. Royall's question of am I right that a concept, and
24 I think the witness' answer that you're right with this
25 qualification is a full and complete answer, and to

1 strike a portion of the answer that was necessary I
2 think for the witness to agree to the question of "am I
3 right" was appropriately included in the answer.

4 Further, if he's going to move to strike, I
5 object to the question on the grounds that a question
6 of "am I right" is improper as to form because this
7 witness has no basis as to whether Mr. Royall's state
8 of mind is such that his statement is right or wrong.

9 Now, I don't normally make those objections
10 because I think the witnesses will take care of
11 themselves, but here where Mr. Royall tries to limit
12 the witness to a portion of the answer I think it
13 unfairly deprives the witness of the need -- of what I
14 heard him say was a need to qualify the answer.

15 JUDGE MCGUIRE: Overruled. But I'll let you
16 take that up on cross-examination.

17 MR. STONE: Thank you, Your Honor.

18 BY MR. ROYALL:

19 Q. Let me restate the question. I'll take the "am
20 I right" part out.

21 But is it correct, Dr. Rapp, that what you were
22 referring to in this --

23 JUDGE MCGUIRE: I'm sorry. Not
24 cross-examination. Redirect.

25 MR. STONE: I did understand.

1 JUDGE McGUIRE: I'm keenly aware we're in cross
2 right now.

3 BY MR. ROYALL:

4 Q. Yeah.

5 Is it correct, Dr. Rapp, that the economic
6 concept that you're referring to here by the term
7 "opportunism" is analogous to the economic concept of
8 hold-up that was described by Professor McAfee?

9 A. It is related.

10 Q. And is there a way in which opportunism as
11 you've described it here is different from the concept
12 of hold-up purely as a matter of economic theory?

13 A. I don't have it on the tip of my tongue, but
14 the answer is that there is. I'm just -- I just don't
15 have it in memory.

16 Q. Now, going on to the next paragraph on page 5
17 of this written submission in connection with your
18 testimony, in that paragraph you outline what you refer
19 to as three important conditions that you believe must
20 be met in order for this type of opportunism that
21 you've described to be a concern within a
22 standard-setting body. Is that a fair
23 characterization of what you discuss in that
24 paragraph?

25 A. It looks to be.

1 Q. And what I'd like to do is just to walk you
2 through the three points that you made in that
3 paragraph.

4 And with Your Honor's permission, I'd like to
5 make some notes as we do that.

6 JUDGE MCGUIRE: Go ahead.

7 BY MR. ROYALL:

8 Q. And first of all, I need to title these notes
9 Conditions Necessary for Opportunism. Just let me
10 leave it at that.

11 Now, referring to that paragraph on page 5 of
12 the document that we're focusing on, first of all, you
13 say that for opportunism to be a concern, the
14 proprietary technology must be essential to the
15 standard or else it could simply be omitted. Do you
16 see that?

17 A. Right.

18 Q. And then you go on to say, "An attempt by the
19 patent owner to charge opportunistic royalties would
20 result in manufacturers leaving that particular
21 technology out of the final product."

22 A. That's the most important point.

23 Q. So in your view then the first condition for
24 the type of opportunism to be a concern, that you've
25 described to be a concern in the context of a

1 standard-setting organization would be that the
2 technology must be essential to the standard; right?

3 A. Yes.

4 Q. So let me write that down.

5 Okay. And then continuing in the same
6 paragraph, the second condition that you say must be
7 satisfied for opportunism to be a concern is: There
8 must be costs associated with changing either the
9 standard or the manufacturing process that are greater
10 than the royalty demanded. If investments were not
11 sunk, the standard would (sic) be costlessly changed to
12 evade the license.

13 Do you see that?

14 A. Uh-huh.

15 Q. So am I right then that the second condition
16 would be that the costs of changing the standard or
17 manufacturing process must exceed the royalty
18 demanded?

19 A. Right.

20 Q. Okay. Let me write that down.

21 (Pause in the proceedings.)

22 Now, the third and final condition that you say
23 must be met or must be satisfied for opportunism to be
24 a concern is that there must be alternatives to the
25 chosen patented technology that could plausibly have

1 been adopted had disclosure taken place --

2 A. Right.

3 Q. -- is that right?

4 A. Uh-huh.

5 Q. And your focus here is on what alternatives
6 existed at the time the disclosure should have taken
7 place, allegedly should have taken place; right?

8 A. Uh-huh.

9 Q. So then let me make the third point "must have
10 been plausible alternatives to patented technology at
11 time disclosure should have occurred."

12 And finally, would you agree that the question
13 whether any of these conditions is satisfied in a
14 real-life example, assessing that question as an
15 economist would depend on a careful assessment of the
16 relevant facts?

17 A. Let me think about that for a minute. I'm --
18 I'm sure that -- if the idea is to apply this to a
19 real-world situation, then the answer to that is yes.
20 But I want you to understand that this is in the nature
21 of a model. It's not a real-world situation. And so I
22 don't want to have it assumed that this can be applied
23 to any real-world set of facts. Okay?

24 Q. But -- and that was my question. Putting aside
25 the theoretical soundness of these propositions, my

1 question was to determine whether they apply in a
2 real-world example would require a careful assessment
3 of the relevant facts?

4 A. Yes, I agree.

5 Q. So the last thing I'll write --

6 A. Can I interrupt, Mr. Royall?

7 Q. Sure.

8 A. If you write -- maybe you're solving my
9 problem. I was going to suggest drawing a line. If
10 the title of this is Conditions Necessary for
11 Opportunism, then let's not -- then the fourth is not a
12 condition for opportunism. The fourth is a condition
13 for correctly applying a model of a specific
14 circumstance to the relevant facts.

15 Do you see my problem?

16 Q. No. I understand. I wasn't going to write a
17 number 4. What I was going to write was whether these
18 conditions apply in real world depends on careful
19 analysis of facts. Okay?

20 A. Okay.

21 MR. ROYALL: And I've lost track of where we
22 were with DXs.

23 JUDGE MCGUIRE: I think it's DX-323 if I'm not
24 mistaken.

25 MR. ROYALL: DX-323?

1 JUDGE McGUIRE: I believe so.

2 MR. ROYALL: Thank you.

3 (DX Exhibit Number 323 was marked for
4 identification.)

5 BY MR. ROYALL:

6 Q. Now, you agreed, Dr. Rapp, that assessing, in
7 the case of a real-world example, or real-world
8 scenario, whether these factors would apply in the
9 context of an economic analysis that that would depend
10 on a careful analysis of facts?

11 A. Uh-huh.

12 Q. And what I'd like to talk about now is the
13 amount of factual analysis that you did in reaching the
14 conclusions set forth in your expert report in this
15 case.

16 And in connection with that, let me ask you to
17 turn if you would to your report and specifically to
18 Exhibit 2 to your report, which as you know is at the
19 very end.

20 Now, Exhibit 2, which is essentially two and a
21 half pages long, this -- am I right, this is a complete
22 list of the documents and other information that you
23 relied upon or considered in connection with the work
24 leading up to the completion of your expert report in
25 this case?

1 A. Yes. Apart from the background knowledge that

1 report for a particular reason?

2 Q. Perhaps only because I -- yeah, I may have
3 missed it.

4 So there's also the Jacob report?

5 A. Thank you.

6 Q. That's something that you reviewed before you
7 completed your own report?

8 A. Yes.

9 Q. In terms of Rambus documents or business
10 records, Exhibit 2 identifies a June 2002 Rambus
11 license agreement. That's something that you
12 considered in connection with the work you did leading
13 up to the completion of your report?

14 A. Yes.

15 Q. And in addition to this, I believe you informed
16 me in your deposition that you also considered a
17 document prepared by your staff that was a sort of
18 synopsis of the terms of different Rambus licenses; is
19 that right?

20 A. Yes.

21 Q. So that was not a Rambus business document
22 per se, but it was a summary of information that your
23 staff compiled from looking at Rambus business
24 documents; right?

1 Q. And you also reviewed some publicly available
2 information in connection with the work you did leading
3 up to the completion of your report, and you list that
4 information starting on page 1 of Exhibit 2 and then
5 continuing through essentially the end of Exhibit 2;
6 right?

7 A. Right.

8 Q. And included in that publicly available
9 information were various trade press articles that
10 you've reviewed; right?

11 A. Yes.

12 Q. And also included in that information were the
13 two JEDEC standards that you identify on the top of
14 page 2 of Exhibit 2, that is, the 21-C standard and
15 then the DDR SDRAM specification; is that right?

16 A. Yes.

17 Q. And the 21-C standard, you understand that to
18 be the standard relating to the establishment of the
19 SDRAM standard --

20 A. That's right.

21 Q. -- right?

22 And also included in that publicly available
23 information were some economic articles that you
24 considered; right?

25 A. Yes.

1 Q. And a few Web sites that you identify here?

2 A. Yes.

3 Q. And Rambus' '898 patent application, that was
4 another thing that you listed; is that right?

5 A. Uh-huh.

6 Q. Let me ask you quickly about that.

7 From the standpoint of developing your economic
8 conclusions, did you derive anything of significance
9 from reviewing the '898 application?

10 A. Just a degree of familiarity with the Rambus
11 technology, not in and of itself but as an illustration
12 for what I have learned from others about the nature of
13 Rambus' technology at the outset.

14 Q. And then you -- turning to the third page of
15 Exhibit 2, you also reviewed the 2001 and 2002 Rambus
16 10-K reports; is that right?

17 A. Yes.

18 Q. Now, understanding that I didn't go through
19 the title of every trade press article or every
20 economic article, is there anything else in terms of
21 categories of information that you reviewed that I
22 missed?

23 A. No. I would just note that it's just worth
24 mentioning that some of those Web sites are extensive
25 sources. The Intel Web site is where the various

1 specifications and specification addendums for
2 different design -- what's the word we're using? --
3 redesigns of DRAMs. There are a couple of other.
4 There are -- somewhere in here will be InStat
5 statistical data, and that is an extensive source.

6 But what's in here is what I relied on, nothing
7 more, nothing less, until the time of trial.

8 Q. And I understand that -- well, let me --
9 actually let me strike that.

10 Are you saying that the materials that you
11 identify here are the materials that you relied on you
12 said until the time of trial? By that do you mean that
13 you've reviewed some trial testimony since the trial
14 started?

15 A. Right. And exhibits that have come out in the
16 trial that I had not seen before. Transcript and
17 testimony.

18 Q. Understanding that you have looked at those
19 additional materials since the trial started, what I
20 would like to ask you about now is that -- we have a
21 list, because you've provided it with your report, of
22 the materials that you did review and rely upon in
23 developing the opinions set forth in your report, and
24 what I'd like to ask you about now are the materials

1 And since we don't have a document summarizing
2 that, with Your Honor's permission, I'd like to make
3 some notes of that.

4 And I'll title these notes Materials Not
5 Reviewed by Dr. Rapp, and I'm going to go ahead and put
6 in the date of the report so it's clear that's the
7 context here, pre-1/9/03.

8 JUDGE McGUIRE: That's the date of his expert
9 report?

10 MR. ROYALL: Yes, Your Honor.

11 JUDGE McGUIRE: Okay.

12 BY MR. ROYALL:

13 Q. Now, other than the one license agreement
14 identified on Exhibit 2 to your report and the
15 synopsis of Rambus license terms that your staff
16 prepared, there are no other internal Rambus business
17 records that you relied upon or considered in the
18 course of completing your expert report in this case;
19 correct?

20 A. Correct.

21 Q. So the first point I'm going to write is "no
22 Rambus business records other than Toshiba license
23 agreement and license term synopsis."

24 And you had an understanding, Dr. Rapp, with
25 Rambus' lawyers that you and your staff would have

1 A. That is right.

2 Q. Not a single deposition?

3 A. Right.

4 Q. So the next point I'll make is "no deposition
5 testimony."

6 And on page 2 of Exhibit 2 we noted earlier
7 that you list the two JEDEC standards that are relevant
8 in this case or that have been a major focus of the
9 case, the SDRAM and DDR standards; right?

10 A. Uh-huh.

11 Q. Those are things that you did review?

12 A. Yes.

13 Q. And those are technical documents; right?

14 A. Right.

15 Q. You're not a technical expert?

16 A. Right.

17 Q. So you looked at those really more as
18 background as opposed to something that you're relying
19 on for purposes of your economic testimony; right?

20 A. They have -- certainly the -- I -- the design
21 elements of the standard are nothing more than
22 background. The date and frequency of standards is --
23 of standards is something that I rely on more directly,
24 and I'm including in that not only the JEDEC
25 specifications but the Intel specifications, too.

1 Q. Well, let me clarify.

2 My question was: You identify on your list of
3 considered materials the two JEDEC specifications or
4 standards?

5 A. Right.

6 Q. And is there something that you derive of
7 significance from those technical documents that's of
8 relevance to your economic conclusions?

9 A. Other than their dates, no. The rest is
10 background.

11 Q. And besides those two technical JEDEC
12 specifications, in the work leading up to the
13 completion of your expert report in this case, you did
14 not rely upon or consider any records relating to JEDEC
15 or JEDEC activities?

16 A. Correct.

17 Q. And you did not rely upon or consider any JEDEC
18 minutes?

19 A. Right.

20 Q. Okay. So I'm going to make the fourth point
21 "no JEDEC materials/minutes other than two technical
22 specifications."

23 And am I right that you also did not rely upon
24 or consider in developing your opinions in this case
25 any notes taken by any representative at any JEDEC

1 meeting?

2 A. That is correct.

3 Q. Or any reports relating to any JEDEC meeting?

4 A. Right.

5 Q. And you didn't rely upon or consider any
6 internal Rambus business records relating to any aspect
7 of JEDEC activities; correct?

8 A. That's correct.

9 Q. So the next point I'll make is "no
10 notes/reports on JEDEC activities."

11 And we've already established that in
12 completing your expert report you had an opportunity to
13 review Professor McAfee's expert report; right?

14 A. Yep.

15 Q. And you had access to that report several weeks
16 before completing your own report?

17 A. I did.

18 Q. And do you have a copy of Professor McAfee's
19 report in front of you?

20 A. I do.

21 Q. Let me ask you to turn -- I'm sorry. I had
22 intended to tab this for you in your copy, but if you
23 turn, you'll see the -- putting aside the appendix III
24 portion of the narrative, that the principal portion of
25 the narrative is the 193-page part and then there's the

1 CV and then right after that there's something called
2 appendix II. Oh, I see there's a page number. It's
3 page 206 of CX-3079.

4 A. I'm with you. I think. Yes.

5 Q. So are you with me, you're on appendix II?

6 A. Yes.

7 Q. Now, appendix II is comparable to your
8 Exhibit 2; this is Professor McAfee's list of
9 materials that he relied upon or considered. Do you
10 see that?

11 MR. STONE: Your Honor, I object to the use of
12 Professor McAfee's report in this way because, as the
13 court has ruled, the reports are not in evidence.
14 Professor McAfee was here and testified as to what he
15 did and did not rely on, and I think trying to get the
16 report in by showing its contents through the back door
17 because it was shown to this witness is an
18 inappropriate use of a document which is not
19 admissible.

20 MR. ROYALL: Your Honor, I'm not intending to
21 offer anything of substance here. I'm simply asking
22 what this witness reviewed. We've established that he
23 reviewed this report and I want to ask him if he
24 reviewed certain materials that are cited. I'm not
25 intending to --

1 JUDGE McGUIRE: What materials about this
2 report do you intend to have him review?

3 MR. ROYALL: I'm sorry?

4 It's nothing of substance. I'm not going to
5 ask him a single question about any narratives. It's
6 just the list of documents that's attached.

7 JUDGE McGUIRE: We'll proceed on that basis.

8 MR. ROYALL: Thank you.

9 BY MR. ROYALL:

10 Q. Now, referring to Exhibit or, rather,
11 appendix II in the McAfee report, you'll see that this
12 list of materials goes on for I think it's about
13 60 pages.

14 Let me ask you to turn to page 8 of
15 Professor McAfee's appendix II. This is page 214 of
16 the overall exhibit.

17 A. Uh-huh.

18 Q. Starting on that page, do you see that
19 there's -- starting on the page and actually it's
20 continuing to page 19 of appendix II, do you see
21 there's a list of Bates numbers or production numbers
22 all starting with the letter R?

23 A. Yes.

24 Q. Now, I'll represent to you that those are
25 references to documents that were produced by Rambus in

1 this case.

2 In completing your expert report, am I correct
3 you did not review or consider any of these various
4 Rambus documents identified in appendix II of
5 Professor McAfee's report?

6 MR. STONE: I --

7 THE WITNESS: Let me say that I think that I
8 had --

9 JUDGE McGUIRE: All right. Just a second.

10 MR. STONE: I do object, Your Honor. The
11 witness has testified to what he did review. He
12 testified he didn't review any Rambus documents. This
13 is cumulative, this line of questioning.

14 JUDGE McGUIRE: Sustained.

15 BY MR. ROYALL:

16 Q. Well, I -- what I was leading up to, but maybe
17 I can just get to the bottom line without going
18 through this, am I correct, Dr. Rapp, that in
19 completing your expert report you did not review or
20 consider any of the various Rambus documents,
21 JEDEC-related documents or third-party related
22 documents that were identified in Professor McAfee's
23 report which you had available to you for several
24 weeks before completing your report?

25 A. I am not sure. I may have reviewed some of

1 them, but not -- none of them rose to the level of real
2 consideration. I think I had the document set at my
3 disposal or my staff did, but they did not enter into
4 my opinions, and I would -- and you can add them to the
5 list of materials that were not reviewed.

6 Q. All right. So point 6 will be "no
7 Rambus/JEDEC/third-party records cited in McAfee's
8 report."

9 Now, on the subject of interviews, you did --
10 you've explained that you did interview Mr. Geilhufe
11 and Dr. Soderman in -- prior to completing your report;
12 correct?

13 A. Right.

14 Q. Am I right, though, that in connection with
15 your work on this matter leading up to your report you
16 didn't interview any Rambus employees or former
17 employees?

18 A. If you remember what I mentioned to you at my
19 deposition and recall the fact that I had been working
20 on Rambus-related material, subject terview oe t1
72 anyearseen oeehis maassignntio,ou remember what I e fr

1 Q. Am I correct, Dr. Rapp, that -- well, first of
2 all, you're talking about interviews that you conducted
3 not in connection with this case but in connection with
4 some other case; right?

5 A. In connection with some other case that raised
6 the same issues, yes.

7 Q. Okay. And in -- am I right that the interviews
8 that you're talking about that you conducted in another
9 case, that you don't rely on those interviews and you
10 haven't considered those interviews for any particular
11 point in relation to your expert report and your
12 opinions in this case?

13 A. That's correct. They don't relate to any
14 particular point. They were background.

15 Q. So would it be fair then to include that on the
16 list?

17 A. You decide, Mr. Royall. It's your list. I've
18 described to you the situation and I won't make the
19 judgment for you. Okay?

20 Q. Well, the question here -- the question this
21 relates -- let me directly make it clear that this
22 relates to work you did in this case and obviously the
23 stuff that you've identified as having relied upon or
24 considered in this case and I think your testimony --

25 A. But before you write, so to help you make your

1 decision, if I may, I want to have you bear in mind
 2 that the subject matter of market power and lock-in was
 3 the subject matter of the Infineon and Micron cases,
 4 and the people that I interviewed at Rambus included a
 5 list of perhaps four or six people whose -- who
 6 contributed to my background in the matter but did not
 7 contribute to any specific point.

8 That's -- the record is now clear and the list
 9 is yours to write, clear as far as I'm concerned.

10 Q. You're not disagreeing with what you said
 11 earlier, that you did not rely on any of those
 12 interviews and you did not consider any of those
 13 interviews for any particular point in your report in
 14 this case or in connection with your opinions in this
 15 case?

16 JUDGE McGUIRE: Now, where is that testimony?

17 MR. ROYALL: I'm reading -- I can --

18 JUDGE McGUIRE: I'm sorry. You're reading from
 19 what?

20 MR. ROYALL: His deposition in this case.

21 JUDGE McGUIRE: His deposition.

1 as background information the discussions that I had
 2 with Mr. Tate, Mr. Karp, Mr. Garrett, and so forth.
 3 They do not relate to any particular point or opinion
 4 in my expert report and whether they -- whether your --
 5 and the list is yours to make.

6 BY MR. ROYALL:

7 Q. And you didn't identify any interviews with any
 8 such individuals on Exhibit 2 to your report in this
 9 case which was entitled Documents Relied Upon but which
 10 included interviews?

11 A. Correct.

12 JUDGE MCGUIRE: Mr. Royall2.

12 3 inclrvijt. r ye CoYt(,ve gotten sixint ors alreadyCoYHowjTf
 /i jyI A. SUIRE: Mr. Royall2.

-- o3p ch rcD.

12 3y timingDo2 alway DompeccablLL:

1y intGfhM0-RE: Mr. Royall8r. Royall2.)Tj euwh jtgssxuankjTätecase wp(
 PauUpoi 2 proceedings.):

21r. Royall2.

22.

12 324RE: Mr. Royal23r. Royall2.

1 MR. STONE: I think in fairness, Your Honor, to
2 the question Mr. Royall has asked, I think what he did
3 was he asked him about whether they were listed on
4 appendix II, and I think the point 7 should be no
5 interviews listed on appendix 2 because there are
6 interviews referenced consistent with the witness'
7 testimony earlier in his report.

8 So I think just so the chart is consistent with
9 the question that was last asked, I think it should be
10 listed on Exhibit 2.

11 JUDGE MCGUIRE: Mr. Royall, do you want to
12 change that accordingly? It's your chart.

13 MR. ROYALL: Can I -- just if I could confer
14 with Mr. Stone to see what he's referring to.

15 (Pause in the proceedings.)

16 Well, Your Honor, I think the record is clear
17 as to the nature of what he considered and whether it
18 related to this case or not.

19 JUDGE MCGUIRE: That's fine.

20 Did you want to just comment, Mr. Stone, other
21 than what you just made, because your comment is also
22 on the record?

23 MR. STONE: No. I'll bring it up on redirect,
24 Your Honor. I'll pursue it then.

25 JUDGE MCGUIRE: All right.

1 BY MR. ROYALL:

2 Q. Dr. Rapp, I'd like to now read a statement to
3 you, and you'll see the statement is on the screen.
4 What's on the screen will be DX-325 I believe.

5 Let me read this statement and ask you if you
6 agree with it:

7 "The reliability of any example of economic
8 reasoning depends, in part, on the quality of its
9 underlying assumptions. All assumptions are not
10 equal. Reasoning which rests on baseless assumptions
11 is less reliable than reasoning based on assumptions
12 that are well-founded in facts and evidentiary
13 materials."

14 Do you see that?

15 A. Yes.

16 Q. Do you agree with that statement?

17 A. Not only do I agree with it, I think they are
18 words to live by.

19 Q. And in fact you recognize these are your
20 words?

21 A. Yes. And I'm proud of them.

22 Q. And do you recall where you wrote these words?

23 A. They were written in one of my prior expert
24 reports or one of the Micron reports I believe.

25 MR. ROYALL: And may I approach, Your Honor?

1 BY MR. ROYALL:

2 Q. Now, I've handed you a document of a report
3 from the Micron case.

4 Is this the report you're referring to?

5 A. I won't know that until you tell me the page
6 number.

7 Q. Turn to page 2.

8 MR. STONE: Your Honor, this document is under
9 a protective order in that case and I think it should
10 be maintained in a manner consistent with that
11 protective order.

12 JUDGE McGUIRE: Mr. Royall?

13 MR. ROYALL: The only statement that I intend
14 to ask about in this report at the moment is this very
15 general statement that we flashed on the screen.

16 JUDGE McGUIRE: He's already testified that
17 that statement was contained I believe in the report.
18 Do you need to show this report?

19 MR. ROYALL: I think actually, Your Honor, for
20 this -- I may want to come back to this, but for this
21 purpose, I agree that I don't need to --

22 JUDGE McGUIRE: Good.

23 MR. STONE: Thank you, Your Honor.

24 JUDGE McGUIRE: Because it is --

25 MR. ROYALL: He has the report.

1 JUDGE McGUIRE: Because it is protected and
2 it's not a question of going into an unprotected --
3 the whole report is protected as I understand it,
4 so --

5 MR. ROYALL: I'm not sure about that, but I
6 agree it's not necessary for me at this point to go
7 into it.

8 JUDGE McGUIRE: All right.

9 BY MR. ROYALL:

10 Q. But you have the report in front of you,
11 Dr. Rapp, and just in context, am I right that the
12 statement that we were discussing earlier that was
13 contained in DX-325, that that was a statement that you
14 made in the context of critiquing the report of another
15 economist?

16 A. Could you just --

17 JUDGE McGUIRE: Can we take that off the
18 screen.

19 THE WITNESS: And could you just give me a page
20 number.

21 BY MR. ROYALL:

22 Q. Page 2. We don't need it on the screen but
23 just for your own purposes.

24 A. Can you find it in the hard copy on page 2?

25 Q. I'm sorry. I may have given you the wrong page

1 number.

2 Yeah. I apologize. I did give you the wrong
3 page number. Page 4.

4 A. Good.

5 Q. So the question again was: Am I right that
6 this statement that you recognized earlier as being a
7 statement from an expert report that you had written,
8 am I right that this was a statement that you made in
9 the context of criticizing another economist's work?

10 A. Yes.

11 Q. And that other economist was Dennis Carlton,
12 professor at the University of Chicago?

13 A. That's correct.

14 Q. And am I right that you believe that it is
15 important for an economist to try to ensure that his or
16 her assumptions and conclusions are well-founded in
17 evidentiary materials?

18 A. Right. And may I just add that that refers to
19 the connection between the foundations for assumptions
20 and the specific subject matter that the economist is
21 addressing, not the universe of subject matter.

22 So my critique of Professor Carlton had to do
23 with the fact that he imagined a set of alternatives at
24 that stage in the history of Rambus-related litigation
25 without offering any basis for the assumption that

1 there were alternatives, and so I criticized him on the
2 basis of baseless assumptions. It was specific to
3 the -- to his assignment and the basis for his
4 assumptions.

5 Q. Well, as a general proposition, do you agree
6 that it is appropriate to question the reliability of
7 an economist's conclusions if those conclusions are not
8 well-founded in the relevant facts and evidentiary
9 materials?

10 A. Yes, it absolutely is. And in order to do that
11 aptly, correctly, you have to identify the set of
12 conclusions that the economist is stating and identify
13 what's missing about the background facts and
14 evidentiary materials.

15 For example, if you have an economist who is
16 offering statements about costs and there are no cost
17 data behind his opinions --

18 JUDGE MCGUIRE: Okay. That's obvious. The
19 court takes notice of this line of inquiry and I don't
20 think we need to go into it.

21 MR. ROYALL: That's fine.

22 BY MR. ROYALL:

23 Q. And do you believe, Dr. Rapp, that in reaching
24 the conclusions reported in your expert report in this
25 case that you did a sufficient amount of work to ensure

1 that your conclusions were well-founded in facts and
2 evidentiary material?

3 A. Yes, I absolutely do. And the difference
4 between the volume of materials that I reviewed and the
5 volume of material that Professor McAfee reviewed has
6 to do with the differences in our assignment and with
7 material in Professor McAfee's report that have nothing
8 to do with my assignment or for that matter I think
9 anything in the case.

10 Q. Let me go back -- may I approach, Your Honor?

11 JUDGE McGUIRE: Yes.

12 BY MR. ROYALL:

13 Q. I want to go back to these notes I made
14 earlier, DX-323, and you'll recall these were
15 conditions -- we titled this Conditions Necessary for
16 Opportunism, and the first condition that we identified
17 based on what you had written in connection with your
18 testimony in the DOJ-FTC hearings was that the
19 technology at issue must be essential to the standard.
20 Do you recall that?

21 A. Uh-huh.

22 Q. And am I right that it's your understanding
23 in -- that in this case the technology at issue -- that
24 is, the Rambus technologies that are at issue here are
25 in fact essential to the standards that are at issue

1 here, namely, the SDRAM and DDR standards?

2 A. No. No, sir. That's completely incorrect.

3 And to --

4 JUDGE McGUIRE: No. He just asked you if
5 that's correct or incorrect and he can follow up.

6 MR. ROYALL: Thank you, Your Honor.

7 BY MR. ROYALL:

8 Q. Let me follow up on that.

9 Are you saying that in your -- as you
10 understand it, that the Rambus technologies, the four
11 Rambus technologies at issue here, are not necessary
12 inputs to the manufacture of SDRAM and DDR SDRAM?

13 A. What I'm saying is that they are not essential
14 in the way that the model described by DX-523 -- is
15 that? Is that the number?

16 JUDGE McGUIRE: It's 323.

17 BY MR. ROYALL:

18 Q. It's 323.

19 A. -- DX-323 describes.

20 In other words, the word "essential" there as
21 in my testimony refers to a much, much different and
22 more restricted set of circumstances.

23 Q. Let me ask you -- well, let me ask you first
24 of all -- I want to clarify what you mean by that, but
25 you do agree, don't you, that the Rambus technologies

1 that you've described earlier, what you mean by that
2 term "Rambus technologies," that the Rambus
3 technologies at issue here, that those technologies
4 are necessary inputs to the manufacture of SDRAM and
5 DDR SDRAM?

6 A. That they are necessary inputs, yes.

7 Q. Okay. But now let's see if we can clarify
8 what you mean when you say that despite having the
9 view or the understanding that those Rambus
10 technologies are necessary inputs to SDRAM and
11 DDR SDRAM as those standards are formulated today, you
12 don't agree or you hesitate with agreeing with the
13 proposition that those technologies are essential to
14 those standards as you use that term or as it's
15 described in DX-323?

16 A. That's correct.

17 Q. And why? How are you using the term
18 "essential" here that differs from the concept of
19 whether those technologies are necessary to the
20 standards as they're formulated today?

21 A. The JEDEC standard for DRAM is a large,
22 complicated affair that involves very, very many
23 components and lots of circuitry. The four Rambus
24 technologies are necessary technological inputs to that
25 because they are and to the extent that they are

1 superior to the next best alternative, which I've
2 measured in cost terms and described in performance
3 terms.

4 The story that I was telling in the testimony
5 was a simplified story for purposes of explaining the
6 features of standard-setting organizations and what
7 they have to contend with, and that is a story of a
8 technology that is one with the standard.

9 In other words, if you -- if the technology is
10 unavailable, then the standard goes away. And nobody
11 has ever contended that that is true of the four Rambus
12 technologies however valuable they must be.

13 Q. So are you saying --

14 A. Essential -- just to clarify, essential as in
15 the English language meaning of the word, that they are
16 the essence of the standard.

17 Q. And you believe that technologies would have to
18 be essential to a standard in that sense for any
19 hold-up or opportunism concerns to arise?

20 A. For the statements in the paragraph from which
21 these have derived to be true. No, not for any
22 conditions of opportunism, but for a reading of the
23 paragraph as I wrote it.

24 Q. Well, to be clear about that, let's assume, in
25 reference to DX-323, let's assume it were true that the

1 cost of changing the SDRAM and DDR standards today
2 exceeds the relevant royalty amounts, that is, the
3 Rambus royalty amounts that you testified about earlier
4 that you assumed earlier?

5 A. Right.

6 Q. Let's assume that condition were satisfied.

7 A. Okay. Contrary to fact.

8 Q. I'm just asking you to assume this.

9 A. Okay.

10 Q. And let's assume then that the third condition
11 that is identified on DX-323 were also satisfied, that
12 is, that there were plausible alternatives to the
13 Rambus technologies at the time that the disclosures
14 allegedly should have occurred.

15 A. Right.

16 Q. So we're assuming that both the second and the
17 third conditions on DX-323 were satisfied.

18 Now, is it your testimony, your expert
19 testimony, that if those assumptions were to hold, that
20 as you understand the facts in this case relating to
21 Rambus' technologies and their relations to the SDRAM
22 and DDR standards that there still would not, as a
23 matter of economic theory, there would still not be any
24 concern about opportunism in this case?

25 A. It depends. In that case, in that setting

1 quite read --

2 Q. I can read it to you.

3 A. I can read enough to see. Thank you,
4 Mr. Royall.

5 But let us say in that case must have distant
6 alternatives. Of course -- and then we have a
7 necessary technology, the cost of manufacturing must
8 exceed, and rather than merely plausible, we've got --
9 we've said something about where the alternatives lie.

10 Q. Now, what you're describing now in terms of
11 wanting to modify these terms, are you saying that you
12 would need to modify the terms in that way in order for
13 this list to state the conditions that you believe
14 would be necessary for opportunism to exist?

15 A. Yeah, in general -- in more general terms than
16 the model that I was using in that statement.

17 Q. Well, why did you not use those terms when you
18 testified before the FTC and the DOJ hearing?

19 A. For the sake of explication. I was describing
20 a narrower set of circumstances that are a starker case
21 for opportunism to make it clearer. And there are such
22 things that come up in the world if you -- if you have
23 a technology that is -- that's essential to the
24 standard.

25 Q. And you said that to generalize these

1 conditions you would change the word "essential" in the
2 first condition to "necessary"?

3 A. Yes.

4 Q. But you -- and you agreed earlier that these
5 technologies are -- Rambus technologies are necessary
6 to the SDRAM and DDR standards?

7 A. Right. But necessary is different from
8 essential. Necessary meaning that they are -- that it
9 would be necessary in the sense that it would be -- it
10 would be costly and inefficient to substitute away from
11 them.

12 Q. So you would agree that with changing this
13 first condition on DX-323, changing the word
14 "essential" to "necessary," if that change were made,
15 you would agree that this condition is satisfied in
16 this case as you understand the facts?

17 A. If you substitute "a necessary input," yes,
18 just as we did before.

19 Q. And with that substitution, you would agree
20 that condition is satisfied?

21 A. With that substitution.

22 Q. Okay. Let me -- I'm just going to cross out
23 for the record on Exhibit DX-323, I'm going to put a
24 line through the word "essential" and then I'm going to
25 write "necessary input" and I'm going to put a check to

1 denote that that -- with that change --

2 A. You might want to write "a necessary input,"
3 but it doesn't matter that much.

4 Q. I'm happy to try to fit that in.

5 With that change to item 1 on DX-323, you agree
6 that that condition is satisfied in this case as you
7 understand it?

8 A. Right. The way that I use the term "necessary
9 input," yes.

10 Q. Now, let me shift to another topic.

11 JUDGE MCGUIRE: All right. Mr. Royall, let me
12 inquire of you before you make that shift. It's
13 approaching 5:30. How much longer do you have in mind
14 proceeding this afternoon?

15 MR. ROYALL: I have a topic that I believe I
16 could cover in ten minutes or less if -- I can stop now
17 as well. But if we want to use the time, I could use
18 it, or we could start back in the morning. I'm
19 indifferent.

20 JUDGE MCGUIRE: Well, ten minutes doesn't --
21 well, let's just stop right now if that's okay.

22 MR. ROYALL: I'm happy, Your Honor, to do
23 that.

24 JUDGE MCGUIRE: And just so we'll know
25 tomorrow, how much time do you anticipate taking to

1 conclude the cross?

2 MR. ROYALL: I expect to go into the afternoon
3 certainly.

4 JUDGE McGUIRE: Okay. Okay. We will adjourn
5 then and convene tomorrow at 9:30 a.m.

6 (Time noted: 5:22 p.m.)

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 C E R T I F I C A T I O N O F R E P O R T E R

2 DOCKET NUMBER: 9302

3 CASE TITLE: RAMBUS, INC.

4 DATE: July 22, 2003

5

6 I HEREBY CERTIFY that the transcript contained
7 herein is a full and accurate transcript of the notes
8 taken by me at the hearing on the above cause before
9 the FEDERAL TRADE COMMISSION to the best of my
10 knowledge and belief.

11

12 DATED: July 22, 2003

13

14

15

16 JOSETT F. HALL, RMR-CRR

17

18 C E R T I F I C A T I O N O F P R O O F R E A D E R

19

20 I HEREBY CERTIFY that I proofread the
21 transcript for accuracy in spelling, hyphenation,
22 punctuation and format.

23

24

25 DIANE QUADE

