UNITED STATES OF AMERICA
FEDERAL TRADE COMMISSION
OFFICE OF ADMINISTRATIVE LAW JUDGES

In the Matter of:	)
IMPAX LABORATORIES, INC,	)
a corporation,	) Docket No. 9373
Respondent.	)
	)

APPEARANCES:

ON BEHALF OF THE FEDERAL TRADE COMMISSION:

CHARLES A. LOUGHLIN, ESQ.

TED HASSI, ESQ.
MICHAEL E. ANTALICS, ESQ.
EILEEN M. BROGAN, ESQ.
O'Melveny & Myers LLP
1625 Eye Street, N.W.
Washington, D.C. 20006-4061
(202) 383-5300
ehassi@omm.com

I N D E X

WITNESS:	DIRECT	CROSS	REDIRECT	RECROSS	VOIR
NESTOR	2926	3002	3052		

EXHIBITS	FOR ID	IN EVID
None		

1

2

3

4

5

6

7

8

9

10

11

12

13

Whereupon--

MICHAEL NESTOR

a witness, called for examination, having been first duly sworn, was examined and testified as follows:

DIRECT EXAMINATION

BY MS. FABISH:

Q. Good morning.

Would you please state your full name for the record.

A. My name is Michael Nestor.

Q. And who is your current employer?

A. My employer is Impax.

Q. And what is your current position at Impax?

A. My position is president of the Specialty Pharma Division or Brand Division.

Q. And how long have you been in that position?

A. Eight years.

Q. And who held that position before you?

A. There was no one in that position before me.

Q. And why is that?

A. At the time, the division was not fully formed, and at the time I joined the company, it was originally to launch a product called Vadova, which was a -- essentially a combination of an immediate-release carbidopa-levodopa tablet and controlled-release

it.

Q. And to whom do you report?

A. I report to ^ Paul Bazzaro, who's the chief executive officer of Impax.

Q. How long have you been working in the pharmaceutical industry?

A. Since 1980, so 37 years.

Q. And on a general level, what other positions have you held while working in the pharmaceutical industry during those 37 years?

A. Well, I started as a sales representative. I've held sales training positions. I've held sales management positions. I've held marketing, marketing executive, management positions. I was vice president and general manager for a vaccine in a pediatric division; been president of both the Generic and our Brand Division; president -- excuse me, CEO of a biotech startup; chief operating officer for an animal health startup company; and for the last eight years, president of Impax.

Q. And have you ever worked in a business development role in that time?

A. I have not worked in business development, per se, but I've always had business development people that would either report directly to me or with whom I

1

2

3

4

5

6

7

8

9

10

11

12

13

you have seen him moving and kind of squirming around. That's dyskinesia.

Likewise, it's kind of like what goes up must come down, so as the disease progresses, it spikes very quickly, and then the serum levels drop very quickly. So patients do not have control of their motor symptoms as you and I normally have and as we take for granted.

So what we were trying to do was to develop a product that would give a much smoother effect from the Vadova product.

Q. And was there any particular strategy behind focusing on an improved carbidopa-levodopa Parkinson's disease treatment?

A. Only that it was identified as an unmet need within the Parkinson's space for patients.

Q. Did Impax ultimately bring Vadova to market?

A. No, it did not.

Q. Did the Brand Division then change its focus when it stopped working on Vadova?

A. We kept our focus on Parkinson's disease, but we switched our attention to another formulation of carbidopa-levodopa that we had done a little bit of work on. This was a product that we called IPX-066, and this was an extended-release version of carbidopa-levodopa that had a unique formulation that

allowed the blood serum level for IPX-066 to be extended for between five and six hours.

Q. And has IPX-066 since been brought to market?

A. Yes. We launched what became Rytary into the U.S. market in February of 2015.

Q. Thank you.

And before Impax launched Rytary, what did the Brand Division focus its efforts on?

A. Well, when the Brand Division was pulled together -- and this was before I joined the company, because it was 2006 -- we were promoting other people's products to the neurology community, and we were promoting Carbitol, which is an epilepsy product, to the community, knowing that we wanted to begin the process of developing those relationships with the neurology physicians.

Q. Did Impax seek to market any other products?

A. Yes, we did. We were always looking to get away from the reliance on other people's products, so we were looking for additional products that would be able to be promoted to the same physician group that we were calling on, the neurologists. And we looked at everything from other epilepsy products to migraine products, pretty much anything that a neurologist used.

Q. And were you marketing only to neurologists or

did you mark to any non-neurologists?

A. At that point in time, we were promoting just to neurologists, because we were mostly at that point interested in epilepsy.

Q. Were you successful in in-licensing any products to market to those physicians?

A. Ultimately we were in 2012, and this was a migraine product that we in-licensed from AstraZeneca. AstraZeneca was not promoting this product -- this product was called Zomig. AstraZeneca was not promoting this product at all, and we needed a way to be able to fund our expenses, because we worked for a -- we were a brand division within a generic company, and so we had to, as quickly as possible, pay our own way. So we were finally able to execute a license agreement with AstraZeneca and began promoting that product.

Q. Did Impax ever approach Endo about marketing any of Endo's products?

A. Yes, we did. Even before we began discussions with AstraZeneca, we had approached Endo about a migraine product they had called Frova. It was in the triptan category of migraine products, just like Zomig was. Every time we would talk to Endo about licensing the product from them, they would turn us down.

Q. Do you recall when the first time was that you approached Endo about licensing Frova?

A. That I was involved with was probably late 2008, 2009.

Q. Do you recall whether Impax was in settlement negotiations with Endo around that time?

A. At that time, no.

Q. Without limiting the question to collaborations regarding Endo's products, has Impax entetagination0A2BSr Endo's pr
ne(2933)Tj/T1_0 1 Tf(Sr 008ducts,haIsib ndo arounMCjEMC /Span ≠MCI

Q. Did you -- and what agreement was that?
A. I beg your pardon?
Q. What agreement was that?
A. That was a -- an agreement on the generic side of the business around oxymorphone.
Q. Did you have any involvement in negotiating or drafting that --
A. The oxymorphone?
Q. -- agreement? The oxymorphone --
A. No, no.
Q. -- agreement?
JUDGE CHAPPELL: Hold on a second. You were talking at the same time, so please wait for her to finish.
THE WITNESS: Yes.
MS. FABISH: Thank you.
BY MS. FABISH:
Q. I'd like to ask you some questions about Impax's product candidate, IPX-203, which you just testified was the subject of the DCA.
A. Sure.
Q. And before you answer, I would like to note that many of the details regarding IPX-203's formulation have been designated for in camera treatment, so please answer these initial questions on

a high level, and I will ask you for more details once we go into an in camera session a little later on, okay?

A.

future and wanting to at least begin laying the foundation for our brand business over a long period of time, we started thinking about what else could we do. The thought occurred to us that we have Rytary going through the clinic. The results look pretty good with what we had seen to date. It occurred to us that we would then, with Rytary, be creating a -- if you will, a Parkinson's disease franchise or at least had the opportunity to do so.

And so the whole concept behind IPX-203 was to be a follow-on product to Rytary that would offer a clinically meaningful clinical benefit to Parkinson's disease patients over and above Rytary.

Q. Can you just explain a little bit more, briefly, what you mean by "follow-on product"?

A. A follow-on product would be an improvement -- in this particular case, it would be an improvement on our Rytary formulation of carbidopa-levodopa that would allow it to be a distinct product entity, in and of itself, that would offer a greater therapeutic benefit to patients.

Q. And what did Impax hope 203 would achieve for it commercially?

A. Well, commercially, to help further establish the business foundation that we had laid out for

ourselves with the neurology community in the Parkinson's space.

Q. Did you envision that IPX-203 would replace 066 or Rytary in the market?

A. When we originally conceived the idea, that was the -- our thought behind the product; however, as the marketplaces have evolved, if you bring out a new or an improved version of a product, you cannot just pull an existing product from the marketplace.

So our thought relative to IPX-203 at this point in time is that we would promote Rytary up to a certain point when IPX-203 was ready to come to market, and then we would pull all promotion, all sampling from Rytary, and we would devote all of our sales force attention, all of our marketing attention, all of our sampling attention to IPX-203, to build the demand for IPX-203 and allow Rytary to have its natural decline.

Our thought there is that we would have a number of patients whose Parkinson's disease was well controlled on Rytary, and we did not want to essentially pull the rug out from under those patients and the control that they have. The reason for that is, for Parkinson's disease, once you are able to establish a level of control, that's a very important thing for those patients.

Q. Are there any other branded carbidopa-levodopa products on the market for the treatment of Parkinson's disease?

A. The only product that is promoted is a -- an infusion product. It's called Duopa. It's administered basically through a pump that goes directly into the intestines, into the jejuni, and is usually reserved for the most severe patients.

Q. Are there any generic carbidopa-levodopa products on the market for the treatment of Parkinson's?

A. Yes. Yes, there is. I should say -- excuse me. The original brand immediate-release carbidopa-levodopa, Sinemet, is still on the market, but it stopped being promoted by its originator a long time ago.

Q.

are newly diagnosed. They have what we call a wide therapeutic window, and immediate-release carbidopa-levodopa, with its peaks in blood serum and then the subsequent bottoming out of that blood serum level until another dose is taken, fits quite well and works quite well.

However, as the disease progresses and the therapeutic window -- sorry -- the therapeutic window narrows, it becomes very difficult for an immediate-release carbidopa-levodopa to be able to provide a long period of control of symptoms. So we envision IPX-203 being a better product, a much better product than not only immediate-release carbidopa-levodopa but also Rytary, in offering a longer period of control.

Q. And was this improved version of Rytary important to you as the president of the Brand Division?

A. Oh, very important in terms of ensuring that we had a longer term business foundation established.

Q.

A. Only that that becomes the designation for a particular product.

Q. Okay. As of 2010, what were some of the hurdles that Impax was facing in pursuing the IPX-203 product concept?

A. Because it was still an early concept, we were internally facing some difficulty in terms of where would the funding come from to begin the development process for the product. I think in order to kind of understand that mind-set, you need to kind of step back a little bit and look at what we encountered as we were developing Rytary.

So I run a brand business inside of a generics company. Shareholders in a generics company are not accustomed to the kind of spending for research and development that you do with a brand product. In fact, I can distinctly remember one of our shareholders at one point telling me to my face, at an analyst meeting that we were at, why do we continue to sink money into this branded sinkhole, is what he referred to it as.

So this was pretty typical of the mind-set of the shareholders or investors that we had in our company as a publicly traded company, and so there was naturally reluctance internally, on top of clinical trials that we were already doing for Rytary, to begin,

on top of that, an additional R&D development program for IPX-203.

Q. So if Impax was having difficulty getting funding to support the 203 program internally, how else did it consider getting funding for the contract?

A. Well, we had talked about a number of different ways internally, possibly to the extent of even, just for our own business, talking to venture capital to see if we could get support there. The CEO at the time, Larry Hsu, didn't think that was a very good idea, but we were quite intent on being able to begin the work on IPX-203.

And then when the idea of a co-development program with Endo came up, my team and I were very excited about that.

Q. And around this same time, so 2010, was Impax looking for partners to assist in funding the further work on IPX-066 as well?

A. No. No, we weren't.

Q. And why was that?

A. We had basically done all of the heavy lifting. We had already assumed all the risk around Rytary at that point, taken it through the early clinical trial phases, and so from my perspective -- which was also shared by our president and CEO -- was that we've

pharmaceutical company.

Q. Thank you for correcting my question earlier.

Were there additional risks associated in launching -- associated with launching the products in Europe that would not apply in the United States?

A. The markets outside of the United States have their own unique characteristics. Since we have no presence outside of the United States, we would not be fully aware of what the idiosyncrasies were outside the United States, so a partner that had a full understanding of the different markets, that had required infrastructure to effect the commercialization process, as well as a regulatory structure that allowed them to navigate the different regulations with each of the countries outside the U.S.

Q. Thank you.

And who would have been involved in decisions regarding possible collaborations on Rytary in 2010?

A. I would have been. The CEO of our company would have been. As a general rule, that would have been the starting point, as well as our business development folks.

Q. Were you ultimately able to secure additional funding to support further development of IPX-203?

A. Yes, we were.

Q. And what was the source of that funding?
A. That came from Endo.
Q. Okay. Was that pursuant -- was that under the development and co-promotion agreement?
A. Yes, it was.
Q. And in 2010, when you executed that agreement, how much did you anticipate IPX-203's development was going to cost Impax?
A. Well, we knew that Rytary had cost us around about $100 million, or would cost $100 million to bring to market, so our estimate, depending on the clinical trial construct for 203, was anywhere between 80 and 100 million dollars.
Q. Okay. And why would you use IPX-066 to help calculate what you anticipated 203's development costs would be?
A. Because basically it's coming into exactly the same market. It's coming in with a similar premise - A.

A. Not a formal analysis relative to IPX-203, but we had already done a very formal analysis around IPX-066, so we knew what the components of the costs would be, and it was a natural extrapolation.

Q. Sure.

What was your initial reaction to the idea of a collaboration with Endo regarding IPX-203?

A. Oh, I was very pleased. It was a -- potentially a way that we could get IPX-203 off the ground very quickly.

Q. If you could take a look at tab 5 in your binder. Robert, if we could put up RX 387.

I'll note that this document is in evidence, and it is not subject to Your Honor's in camera order.

You will see this is an email from yourself to Chris Mengler, copying various other individuals, with the subject line, "Today's Meeting," dated June 1st, 2010.

In your email here, the very beginning, you note, "066A is not a slam dunk."

First of all, what is "066A" referring to here?

A. That was the initial name or designation that we had for what became IPX-203.

Q. Okay. And do you recall why you felt that 066A was not a slam dunk?

A. At that time it was still conceptual. We hadn't landed on a final formulation for the product. We had what we thought were some very good ideas based on the literature that would lead us to a formulation, but as you see in the email, my chief scientific officer, Suneel Gupta, for whom I have a great deal of professional respect, he thought it would be doable, and that was good enough for me.

Q. Could you explain a little bit why you hold Dr. Gupta in such high esteem?

A. Dr. Gupta has, throughout his career, done a number of product developments where he has basically taken an existing chemical compound and improved it and then had those products come to market and been very successful commercial products.

Q. Were there any reasons besides the early stage of development that you referenced earlier that you felt 066A or 203 was not a slam dunk?

A. No. It was just the -- the early stage

Q.

Who is Anne Hsu?

A. Anne Hsu was our vice president of pharmacology within the research and development group at the time.

Q. And what do you mean when you -- what did you mean when you said, in connection with her concerns, that developing the formulation is part of the development process?

A. Whenever you come up with an idea for a formulation, many times you will end up trying different formulations before you come across the right formulation that you end up going forward with. It's just part of the normal course of developing pharmaceutical products.

Q. And then, finally, later on in the email, you state, with respect to 066A, "I would hate to have to sell it."

Why would you not want to sell the asset?

A. Well, I think with that you have got to go back to the original concept behind IPX-203, and that is that this would be a product that would be an extension of the franchise that we expected to create with Rytary. So if I was going to further build out an entire foundation for my business for the longer term, to my mind, there is no point in selling it. Let's keep it as part of the family, so to speak.

Q. Okay. When you initially discussed the idea of a co-promote with Endo regarding IPX-203 internally at

to, and we would promote to the neurology community.

Q. Thank you.

Your Honor, at this point, I would like to request an in camera session to discuss various materials subject to the in camera order.

JUDGE CHAPPELL: All right. At this time, we are going to go into in camera session. I need to ask those of you who are not subject to the protective order in this case to vacate the courtroom. You will be notified when you can re-enter.

MR. LOUGHLIN: We're fine on our side, Your Honor.

JUDGE CHAPPELL: Thank you.

MR. HASSI: We're okay as well, Your Honor.

JUDGE CHAPPELL: All right. Go ahead.

(Whereupon, the proceedings were continued in in camera session.)

(The following proceedings were held in in camera session.)

(End of in camera session.)

(Public session.)

JUDGE CHAPPELL: Go ahead.

MS. FABISH: Thank you.

BY MS. FABISH:

Q. Mr. Nestor, I'd like to switch back to talking a little bit more about IPX-203.

What is the current status of Impax's work on IPX-203?

A. So we have just finished our Phase II-B clinical trial, having completed our Phase II-A program towards the end of last year.

Q. And what were the results of those Phase II studies?

A. So what we saw with IPX-203 in our Phase II-B study was a highly statistically significant difference in reduction in off time, which is the time when patients do not -- Parkinson's patients do not have control of their symptoms. So the shorter amount of time that a patient does not have that control is more desirable, but a highly statistically significant reduction in off time for IPX-203 relative to immediate-release carbidopa-levodopa. In fact, that would -- that time reduction was 2.3 hours, which is a lot.

Q. And what was your reaction to those results?

A. Wow.

Q. What, if anything, have you told Impax's investors about the results of these studies?

A. We actually notified our investors initially through our third quarter analyst call that we had last Thursday, and we had a slide in our presentation that summarized the results of that Phase II-B clinical trial.

Q. And you participated in this earnings call?

A. I did.

Q. Did you personally discuss the study results during the earnings call?

A. Yes, I did.

Q. If you would please turn to tab 17 in your binder.

JUDGE CHAPPELL: Lawman, did you check that side door?

BAILIFF: Not yet.

JUDGE CHAPPELL: The Judge might have accidentally locked it this morning. Thank you.

Go ahead.

MS. FABISH: Thank you.

BY MS. FABISH:

Q. Tab 17 is a document that I have premarked RX 576. This document is not on JX 2 and has not yet

been admitted into evidence.

Mr. Nestor, can you please flip through this document and tell me if you recognize it or -- it's tab 17 in your binder.

A. Yes. This was the slide deck that we used to take the analysts through our third quarter performance.

Q. And did you review this presentation before it was finalized?

A. I did.

Q. And when was this presentation prepared?

A. The presentation was prepared the week before the analyst call, but we were making finetuning touches until probably right up until the day before.

Q. Understood.

And was this presentation prepared by someone with knowledge of its contents?

A. It was. So the complete presentation was prepared either by our finance group, either by the generics group, or in the case of the slide on IPX-203, by my group.

Q. And does Impax regularly present its quarterly results to investors?

A. Yes, we do. As a publicly traded company, we are obligated to do so.

Q. Does Impax regularly prepare presentations in connection with that?

A. Yes, we do.

Q. And when you present to investors, do you try and be as accurate as possible?

A. Yes, we do.

Q. Does the information in these slides accurately reflect Impax's quarterly results for Q3-2017?

A. Yes, they do.

Q. Were these slides posted to Impax's website following that earnings call?

A. Yes, they were.

Q. And did you answer questions from your investors during the third quarter earnings call that we were just speaking about based, in part, on this presentation?

A. Yes.

Q. Okay.

slides goes to Impax's progress on IPX-203, the product of the DCA at issue here; in particular, the results of

earnings call on November 9th, 2017, so we could not have produced it in discovery.

JUDGE CHAPPELL: Go ahead. Next?

MR. LEEFER: The next objection is that this appears to be a November 9th, 2017, presentation. Ms. Fabish has represented that this is relevant because it concerns the product subject to the development and co-promotion agreement, but I don't believe a foundation to that effect has been laid.

MS. FABISH: Your Honor, Mr. Nestor just testified that the presentation addresses the Phase II studies of IPX-203, which is the subject product of the DCA.

JUDGE CHAPPELL: Tell me again why it wasn't produced earlier.

MS. FABISH: Because it was only generated last week, Your Honor, in connection with preparing for this quarterly earnings call. It occurred on the date of the document.

JUDGE CHAPPELL: What's the RX number?

MS. FABISH: RX 576.

JUDGE CHAPPELL: I am going to hold off on ruling until after the break. You can re-urge it after the next break.

MS. FABISH: Okay. Thank you, Your Honor.

MR. LEEFER: Thank you, Your Honor.

MS. FABISH: May I continue to ask the witness questions about it if I don't publish it?

JUDGE CHAPPELL: Yes. If it's excluded, the testimony won't be considered. It depends on my ruling.

MS. FABISH: Okay. Thank you, Your Honor.

BY MS. FABISH:

Q. So, Mr. Nestor, just setting the document aside, first of all, you spoke previously about how the results of the Phase II-B studies were -- I believe you used the word "wow." Can you explain the specific results regarding off time that led you to make that comment?

perspective standpoint -- what we saw was a one-hour improvement or further reduction in off time over immediate-release carbidopa-levodopa.

So the fact that not only were we two hours better than the immediate-release carbidopa, we were one hour better than Rytary, which is a clinically meaningful and relevant amount. That's a terrific result for us.

Q. Thank you.

Mr. Nestor, earlier today, you referenced needing to slow down on work on 203 as a result of a warning letter. What is a warning letter?

A. So a warning letter is a notification by the FDA that they are, shall we say, not pleased with what they see going on in, in our case, a manufacturing facility in Hayward, California. That warning letter was not that there were issues with the safety or efficacy of our product. It was to do with the processes that we were following in the manufacturing of our products.

And the reason we received the warning letter was, when the FDA did their last inspection, they noted a couple of items that they had also noted in the prior inspection that had not been completely fixed by us.

Q. And what effect did receiving this warning

letter have on Impax's business?

A. In terms of how we were running the business, it galvanized us to focus all of our efforts from our research and development teams to help the operations people, the technical operations people address not only specifically the issues that FDA had identified, but we actually brought in a third-party group to go through, really from soup to nuts, everything in the facility, and anything they found deficient, we fixed it. And a lot of that had to do with other products on the generic side that were in development, and so our R&D folks worked to help remediate those items.

Q. And what would happen if Impax did not remediate the deficiencies identified in the warning letter?

A. I think basically the FDA would have shut the facility down.

Q. And what would that mean for Impax?

A. We wouldn't be able to get any products out of the facility.

Q. Would the warning letter affect Impax's pending NDA regarding Rytary?

A. It did affect our pending FDA [sic].

Q. Do you recall when Impax received this warning letter?

A. I think originally in 2011.
Q. Okay. And did Impax ultimately address the issues raised in the warning letter?
A. Yes, we did.
Q. Could we put up RX 206, please. This is tab 10 in the binder. This document is in evidence. It is not subject to in camera treatment.
You'll see that this is an email chain between yourself, Mr. Nestor, and Suneel Gupta. Dr. Gupta asks you in his initial email, in the subject line, on January 15th, "Is 203 a go?"
And your response begins with the sentence, "It's a matter of when not if."
Can you explain what you meant by "It's a matter of when not if"?
A. So I think Suneel's concern was would IPX-203 be killed, and that first line addresses the -- basically is telling him it's not a case of it's not going to go forward; it's a matter of when we can go forward, and for the reasons that follow in the next -- the rest of that sentence.
Q. Can you explain those reasons to me?
A. All of our people were spending their time getting ready for a pre-approval inspection that we anticipated in the next 60 to 90 days, for the FDA to

come in and do their re-inspection, and all of our focus was ensuring that everything that we had identified, in addition to what FDA had identified, was fixed. So nothing was going to go forward until such time as we got over that hurdle.

Q. Okay. Let me draw your attention to the next sentence in the email, which reads, "Fred does not want any CMC R&D activities on anything but PAI for the next 60-90 days (or FDA come in) in order to prepare for next inspection."

A. Correct.

Q. Who is Fred that you're referring to here?

A. That was Fred Wilkinson, who was at that time our chief executive officer.

Q. Okay. And what are CMC R&D activities?

A. That's chemical manufacturing controls. Those are steps within the early stage of developing a formulation of a drug product.

Q. Would the work Impax was doing on 203 qualify as CMC R&D activities?

A. It was -- yes, it would be.

Q. At this time frame?

A. At that time.

Q. Thank you.

And what is PAI?

A. Preapproval inspection.
Q. Is that associated with the warning letter remediation?
A. Preapproval inspection was actually related to generic products that we were anticipating having an inspection of the facility in order for those products to be approved for sale.
Q. Is this sentence generally consistent with your recollection that there was a pause on research and development activity until the warning letter was addressed?
A. Totally.
Q. Okay.
JUDGE CHAPPELL: On the exhibit that you offered --
MS. FABISH: Yes.
JUDGE CHAPPELL: -- since it was not provided in discovery, you are going to need to demonstrate good cause for its admission. Are you prepared to do that or do you want to wait until the next break?
MS. FABISH: I would appreciate the opportunity to wait until after the next break, Your Honor.
JUDGE CHAPPELL: All right.
MS. FABISH: Thank you.
BY MS. FABISH:

Q. One additional question regarding this document, Mr. Nestor. Later on in the paragraph, you make reference to -- this is the second-to-last sentence -- "He" -- I believe referring to Fred Wilkinson -- "is aware the clock is ticking and that we have the Endo obligation."

What Endo obligation were you referring to here?

A. This was relative to the DCA and that we needed to update Endo as to what our status was relative to the IPX-203 formulation.

Q. So did you feel you had a contractual obligation to do that?

A. We felt so, yes.

Q. And after you addressed the warning letter issues, did you resume work on 203?

A. Yes, we did.

JUDGE CHAPPELL: If you have a copy of this exhibit you're offering, provide it to my staff on my right side, please.

MS. FABISH: Yes, Your Honor.

BY MS. FABISH:

Q. So, Mr. Nestor, I just have a couple final questions regarding IPX-203. If you would turn back to tab 17, RX 576, the third quarter 2017 results and

significant about it?

A. There are a couple of aspects here. The first is the chart on the left-hand side, under the "Patient Parkinson's Disease Diary." The first is the statistically significant number. You'll see it's 0.0001, and what you see is amount of off time, in hours, and you see for immediate-release carbidopa-levodopa, 5.5 hours; for IPX-203, it's 3.2, which is a difference of 2.3, so a highly statistically meaningful difference.

What's also important relative to this is the fact that we have received from the FDA a special protocol assessment -- also known as an SPA -- with the FDA on Phase III clinical trial program. Now, what that means is that we and the FDA have reached agreement as to exactly what the Phase III clinical trial program for IPX-203 will be, and if FDA has any questions about the final submission, the new drug application submission for IPX-203, no questions can arise as to the clinical trial design of the study.

The other aspect I will point you to is on the right-hand side of the page, and what you see here is the proportion of patients who achieved either a seven-point reduction in the Unified Parkinson's Disease Rating Scale, Part 3. This is -- measures

basically movement. And you'll see a highly statistically significant difference between patients on IPX-203 achieving a seven-point reduction. A seven-point reduction is -- that equates to about a 20 percent reduction in scores, which is clinically meaningful. But if you look to the right where it talks about a 13-point reduction, which is a 40 percent reduction, that is also very clinically meaningful as well.

Q. Thank you.

And with this Phase II-B study, has Impax completed Phase II of its development on IPX-203?

A. Yes, we have.

Q. And when did it complete Phase II?

A. We finished the Phase II-B program towards the end of last year.

Q. Mr. Nestor, earlier today we spoke a little bit about IPX-066, or Rytary, the predecessor to IPX-203, which you testified is now on the market. How has Rytary fared on the market?

A. I think within the neurology community, if I look at the movement disorder specialists who are the top tier of neurologists who treat Parkinson's disease, 80 percent of the physicians who started prescribing Rytary are still prescribing Rytary, and we're on track

for what we had anticipated to be the market share for that physician community.

The issue that we have with the neurology -- general neurologist community is that the dosing conversion from immediate-release carbidopa-levodopa to Rytary is not an intuitive one and requires a bit more calculation than it would seem the general neurologist wishes to spend. This is another benefit we anticipate with IPX-203, in that the dosing regimen that we would employ with IPX-203 would be much more simplified than Rytary.

Q. Mr. Nestor, thank you very much for your time. I have no further questions at this time.

JUDGE CHAPPELL: How much anticipated time do you think you need for cross?

MR. LEEFER: Without making any promises, Your Honor, I hope to be done within 60 to 90 minutes.

JUDGE CHAPPELL: All right. In that event, we will go ahead and take a lunch break now. As I said earlier, in the event that document's admitted, be prepared to cross on the document.

MR. LEEFER: Yes, Your Honor.

JUDGE CHAPPELL: We will take a little over an hour. We will reconvene at 1:40. We're in recess.

(Whereupon, at 12:32 p.m., a lunch recess was taken.)

AFTERNOON SESSION

(1:40 p.m.)

JUDGE CHAPPELL: Okay, let's go back on the record.

Did you want to re-urge your admission of an exhibit?

MS. FABISH: Yes, Your Honor.

JUDGE CHAPPELL: What's the exhibit number?

MS. FABISH: 576, Your Honor.

JUDGE CHAPPELL: All right. And I had informed you that because it was not furnished during discovery, you are going to have to demonstrate good cause. So are you prepared to make your argument?

MS. FABISH: Yes, sir.

JUDGE CHAPPELL: Go ahead.

MS. FABISH: May I approach the podium?

JUDGE CHAPPELL: Yes.

MS. FABISH: So, Your Honor, as I mentioned previously, this document was only recently created, so we could not have produced it in discovery. It came to our attention yesterday in the course of preparing for Mr. Nestor's testimony.

I would also note that the document has been publicly available for several days, and we have provided a copy to Complaint Counsel at the outset of

the hearing today.

The FTC has implied that the DCA and, more specifically, IPX-203, the subject product of the DCA, are somehow a sham or not bona fide and thus --

JUDGE CHAPPELL: That doesn't go to good cause. You got anything else for good cause for lateness?

MS. FABISH: Well, I wanted to establish the relevance and materiality of --

JUDGE CHAPPELL: I have already heard that.

MS. FABISH: Okay.

JUDGE CHAPPELL: You don't need to repeat anything you've said already.

MS. FABISH: Understood, Your Honor.

May I offer some additional argument as to the relevance of the document or --

JUDGE CHAPPELL: Yes, anything I haven't heard yet.

MS. FABISH: Well, in terms of the relevance of the slide regarding IPX-203's Phase II-B studies, that reflects that this product in the real world is a real product that a company is actually pursuing and could be very lucrative, and had co-retained the profit-sharing rights that Complaint Counsel have implied were without value, so it would be potentially very valuable to Endo at this time.

In addition, slide 5, I believe it is, in the document speaks to the commercial success of Rytary, IPX-066, which is another carbidopa-levodopa Parkinson's disease treatment that is faring well in the Parkinson's disease market, despite the fact that there are numerous generics, which is another argument that the FTC has -- that Complaint Counsel has raised in terms of attacking the bona fides of the DCA.

And, finally, I would add that the additional detail provided in the slide is particularly helpful to supplement the testimony of Mr. Nestor, that Mr. Nestor offered separately based solely on his own knowledge.

JUDGE CHAPPELL: Is that it?

MS. FABISH: Thank you.

JUDGE CHAPPELL: Do you re-urge your objection?

MR. LEEFER: Yes, Your Honor, we do.

JUDGE CHAPPELL: Go ahead.

MR. LEEFER: For several reasons. First of all --

JUDGE CHAPPELL: Address good cause only.

MR. LEEFER: Yes. Respondent's counsel has not established good cause for the delay in showing this document and not producing it in discovery. As Ms. Fabish said, this document is from at least a week ago, and had they wanted to use this during the

hearing, they could have presented it to us at that point. Instead, they waited until the morning of Mr. Nestor's testimony to show it to us for the first time, and I believe I'm correct that we still do not have an electronic version of this document, only a hard copy today provided. This is litigation by ambush and should not be permitted.

Moreover, they haven't demonstrated any need to use this document and to offer it into evidence. Respondent's counsel could have and, in fact, did ask Mr. Nestor about the current status of the IPX-203 product without reference to this document.

JUDGE CHAPPELL: All right. Here's my ruling. You may sit down.

I don't need to reach relevance, materiality, reliability. My ruling is based on good cause, because under our scheduling order, you must demonstrate good cause to offer an exhibit at this point in trial. Based on what I've heard, having reviewed the document, Respondent has failed to establish good cause for admitting the document. I understand it was only recently generated and that that is an element of good cause, but that alone does not establish good cause.

In addition, given the extensive information in the document, there is simply insufficient notice to

your examination notes, but any question that contained RX 576, that answer is out.

MS. FABISH: Understood, Your Honor. I would like a moment to ask just a couple brief additional questions to assure --

JUDGE CHAPPELL: Right. And to be fair, since

23

Q. Mr. Nestor, what is a special protocol assessment?

A. A special protocol assessment is an agreement that a company will reach with the FDA as to what the trial design for a clinical trial will be, and under that agreement, basically, it means that when an NDA is submitted, the FDA will not question the trial -- the trial design if it has any questions regarding the overall Phase III clinical trial.

Q. And are there any special protocol assessments in place regarding IPX-203?

A. There is.

Q. And can you explain the relevance of that special protocol assessment to the Phase II studies of IPX-203 that we discussed earlier today?

A. Only that as a result of the Phase II clinical trials, we felt that we had very good results coming out of the Phase II-B clinical trial and that we wanted to go into a Phase III clinical trial, and that having a special protocol assessment, SPA, kind of takes an element of risk out of a new drug application review.

Q. Based on your -- on your many years of experience in the pharmaceutical industry, is it common to reach a special protocol assessment with the FDA?

A. It does not happen all the time, but it is a

fairly frequent granting by the FDA.

MS. FABISH: Thank you very much.

JUDGE CHAPPELL: Cross?

MR. LEEFER: Yes, Your Honor.

CROSS EXAMINATION

BY MR. LEEFER:

Q. Good afternoon, Mr. Nestor. My name is Nicholas Leefer. How are you?

A. Good, thank you.

Q. Now, we're currently in open session, and so during this part of my examination, I just want to emphasize that I'm not intending to inquire about the scientific details of the IPX-203 product. So please don't volunteer that information until we go into in camera session, okay?

A. Okay.

Q. Now, you were just discussing Phase III clinical trials. Is that right?

A. Correct.

Q. In your experience, typically, once a company has acquired clinical data on a drug candidate, it can begin the process of looking for a development partner. Is that right?

A. It can be.

Q. In fact, in your experience, some companies

will wait until they have Phase III data to look for a development partner. Isn't that right?

A. That can be the case, yes.

Q. A Phase III trial is the last stage of development before filing a new drug application with the FDA, right?

A.

carbidopa-levodopa drugs is largely generic, right?

A. That is correct. For carbidopa-levodopa preparations, that's right.

Q. In fact, as you testified on direct, the levodopa compound is about 50 years old, right?

A. Correct.

Q. The planned follow-on drug to Rytary is IPX-203, right?

A. Yes.

Q. And to make sure that we have all the numbers straight, before that was called IPX-203, the follow-on drug was sometimes called IPX-066A, right?

A. That's correct.

Q. And you also sometimes call IPX-203 the second generation of IPX-066, right?

A. Rarely, but that has occurred.

Q. Now, Mr. Nestor, you are the president of the Specialty Pharma Division at Impax, right?

A. Correct.

Q. And Specialty Pharma is Impax's Branded Drug Division?

A. Yes.

Q. In 2009 and 2010, you would have been ultimately responsible for the general terms of an out-licensing agreement for a branded product, right?

A. Correct.
Q. And in 2010, Impax negotiated a development and co-promotion agreement with Endo, right?
A. Correct.
Q. And going forward, I will just abbreviate that as DCA, okay?
A. Fine.
Q. As the president of Impax's Branded Division, you had to sign off on the DCA deal with Endo, right?
A. Correct.
Q. And because you had to sign off on the deal, you think you probably would have had input on the milestone payments regarding IPX-203, right?
A. Correct.
Q. But sitting here today, you don't remember any specific input you had with respect to the milestone payments for IPX-203, right?
A. I don't have any specific memory, although I do remember we had discussions around what the -- I'm trying to think what would be the right word -- what might be the outlines of a structure for the DCA.
Q. So you remember those conversations, but you don't remember any general input you gave with respect to the milestone payments, correct?
A. Not specifically, but I would have given

general input into what we would be looking at from a parameters standpoint.

Q. Well, that was slightly different than my question. Let me ask it again.

You don't remember, sitting here today, the general input you gave with respect to milestone payments, correct?

A. Not specifically, no.

Q. Do you remember generally?

A. Generally, it would have been around the different phases we would have to take the product

JUDGE CHAPPELL: Hold it. Sir, you are probably not an expert at this. When someone rises to object, stop your answer. Even if you're in midsentence, stop.

THE WITNESS: I did.

MS. FABISH: Your Honor, this is outside the scope of our direct examination of Mr. Nestor. We did not discuss the negotiations of the DCA or Mr. Mengler's role in those negotiations.

JUDGE CHAPPELL: Response?

MR. LEEFER: Mr. Nestor testified about the development and co-promotion agreement and, in particular, he testified, for example, that he would not have been interested in pursuing a deal with Endo related to IPX-066.

On cross examination, I'm entitled to explore that and see, for example, whether that was his position at the time the negotiations took place.

JUDGE CHAPPELL: Based on the objection --

MS. FABISH: Your Honor --

JUDGE CHAPPELL: -- you will need to lay a foundation that brings it within the scope of his

now.

BY MR. LEEFER:

Q. Mr. Nestor, in your direct examination, you testified that your opinion was that you were not interested in partnering with Endo on IPX-066. Is that right?

A. That's correct.

MS. FABISH: Object, Your Honor.

JUDGE CHAPPELL: Basis?

MS. FABISH: This is also beyond the scope of our direct examination.

JUDGE CHAPPELL: Are you asking him about negotiating?

MR. LEEFER: I am asking him what he recalls, if anything, about those negotiations.

JUDGE CHAPPELL: But if he didn't have anything to do with the negotiating, why are you asking him about negotiations? He's a fact witness. He's not here to tell us about negotiations if he wasn't a negotiator.

MR. LEEFER: Mr. Nestor just testified a few minutes ago that he would have been the person ultimately responsible for an out-licensing deal like this one with Endo, and he also testified that he would have signed off on that kind of deal.

MS. FABISH: Whether or not Mr. Nestor would have ultimately signed off on the terms of the deal does not bear on his involvement in the negotiations. As he just testified and as Your Honor noted, he was not involved in those negotiations.

And in addition, I do not believe that I asked Mr. Nestor any questions regarding the negotiations of

the DCA.

JUDGE CHAPPELL: The objection's sustained until you lay a proper foundation that this witness has any knowledge that he can provide to us about

about all these things he said yes to that he's involved in. He did not say negotiations, so negotiations are not allowed.

MR. LEEFER: Okay, Your Honor. I understand.

BY MR. LEEFER:

Q. Ms. Wint, please pull up CX 2625, and, Mr. Nestor, a copy of this is also in the white binder next to you.

Mr. Nestor, the top email here on CX 2625 was sent by --

JUDGE CHAPPELL: Do we have an objection?

MS. FABISH: Thank you, Your Honor. I would like to object to this document as outside the scope of direct as well. It appears to be bearing on due diligence, which is another topic that I did not discuss with Mr. Nestor.

JUDGE CHAPPELL: I haven't heard a foundation on due diligence. Pull the document off the screen until you lay a foundation. Sustained.

I heard him say he signed off on something. You can ask him about signing off on something. The things he said yes to you, those are allowed. Those are within his area of competence here.

MR. LEEFER: Yes.

JUDGE CHAPPELL: Other than that, no fishing,

unless it's for impeachment or credibility, because it is cross, but you can't turn him into a negotiator or a due diligence person. You can't do that.

MR. LEEFER: I am not trying to, Your Honor. I am trying to explore Mr. Nestor's direct testimony that he was not interested in partnering on IPX-066, and on cross, I want to explore whether that's consistent with the facts of which he has --

JUDGE CHAPPELL: So that's impeachment?

MR. LEEFER: -- personal knowledge.

JUDGE CHAPPELL: That's impeachment?

MR. LEEFER: Yes, Your Honor.

JUDGE CHAPPELL: That's allowed. Go ahead.

MR. LEEFER: Thank you.

BY MR. LEEFER:a.Md1B RY MR. LEEFER:--H7( )TjEMC /P ≮MCID 1

Q. Now, the email below that, which was also sent on May 22nd, 2010, was an email to Endo indicating that it should have access to something called a data room, correct?

A. Correct.

Q. And this email was sent by David Paterson?

A. Yes.

Q. Mr. Paterson reported to you, correct?

A. That's correct.

Q. Mr. Paterson was responsible for out-licensing IPX-066, correct?

A. Mr. Paterson was responsible for all out-licensing activity at that point.

Q. That includes out-licensing IPX-066, correct?

A. Including IPX-066.

Q. This email exchange -- and you have a full copy of this exchange in your binder if you would like to look at it -- does not mention IPX-203, does it?

A. No, it does not.

Q. And at no point in this email chain did you indicate that Impax was not interested in partnering with Endo on IPX-066, correct?

A. Correct, and there's a reason for that.

Q. Let's take a look at page 3 of this email, CX 2625-3.

JUDGE CHAPPELL: Sir, thank you for cutting off your answer by just saying there's a reason for that. If that's something your counsel or someone on your side feels like is important, that will come out on redirect.

THE WITNESS: Okay.

JUDGE CHAPPELL: But thank you for keeping your answers to yes or no, if possible.

THE WITNESS: Sure.

BY MR. LEEFER:

Q. Let's, Ms. Wint, please zoom in on the bottom email here.

This is an email from Robert Cobuzzi to Chris Mengler, correct?

A. That is the case, yes.

Q. And in this email, the first line, Mr. Cobuzzi writes, "Thank you for taking the time to speak this evening about IPX-066."

Do you see that?

A. I see that.

Q. Now, you don't know why Mr. Mengler was speaking to Mr. Cobuzzi about IPX-066, do you?

A. Not specifically relative to this email, I don't.

Q. We can take that down. Thank you.

On May 26th, 2010, Endo sent a term sheet to Impax concerning a co-promotion deal. Is that right?

A. I don't have the specific date, so I can't verify that.

Q. Let's take a look at CX 2930, which, again, is in your binder, and we will pull up a copy here. Actually, let's look at CX 2930-2, and if we can zoom in on the top half there, please.

Mr. Nestor, this document is titled "Draft Term Sheet." Do you see that?

A. I see that.

Q. And it's dated May 26, 2010. Do you see that?

A. I see that.

Q. Let's go back to the first page, the cover email. Please zoom in on the top email, Ms. Wint.

You received a copy of this email on May 26, 2010, correct?

A. Yes.

Q. Now, this term sheet from Endo identified the product that would be the subject of this co-promotion agreement as IPX-066 and all improvements, modifications, derivatives, formulations, and line extensions thereof, correct?

A. I have no memory. I don't see it written down here.

3018 11

12

13

14

111112

15-4

of interest,

1111

11

Q. Again, Mr. Nestor, do you recall testifying at your deposition that you could not recall explicitly sharing that opinion with anyone else at Impax?

A. I -- could you read back to me what I just -- what I said earlier?

JUDGE CHAPPELL: Are you asking the witness whether he recalls testifying in a deposition or whether something's a fact or not? Let's just make sure it's clear.

MR. LEEFER: Currently I'm asking whether he recalls his deposition testimony. The next question will be to establish what that testimony was and how it compares to his testimony here today.

JUDGE CHAPPELL: Go ahead.

THE WITNESS: I don't remember the deposition testimony.

BY MR. LEEFER:

Q. Let's take a look at your deposition transcript, and if you could turn in your binder to the second tab, this is a copy of your deposition transcript.

A. Which --

MR. LEEFER: Your Honor, for the record, CX 4033 is a copy of Mr. Nestor's deposition transcript which is in evidence as part of JX 2. It is subject to